

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

FEDERAL AUTOMATED VEHICLES POLICY

PUBLIC MEETING

Conducted by NHTSA

Monday, December 12, 2016

8:33 a.m.

The U.S. Army Conference and Event Center

2425 Wilson Boulevard

Arlington, Virginia 22201

(202) 366-0846

Reported by: Dylan Hinds

Capital Reporting Company

## A P P E A R A N C E S

1		
2	Dee Williams	Erika Jones
3	Mark Rosekind	Rebecca Yoon
4	Thomas Karol	Jackie Glassman
5	Paul Scullion	Norma Krayem
6	Leigh Merino	Steven Shladover
7	William Wallace	Steve Wood
8	Thomas Lehner	Christine Hines
9	Catherine Curtis	Adam Thierer
10	Joshua Fikentscher	Michael Clamann
11	David Strickland	Ryan Hagemann
12	Russ Martin	Cary Coglianese
13	Paul Hemmersbaugh	Ian Adams
14	Marc Scribner	Michelle Atwell
15	Reginald Govan	Yvonne Clarke
16	Peter Kurdock	
17	Debbie Sweet	
18	Timothy Mullens	
19	Bryant Walker Smith	
20	Kerry Kolodziej	
21	Rodney Slater	
22	Sean Kane	

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

C O N T E N T S

	PAGE
Welcome	4
NHTSA Leadership	4
Opening Listening Session on Section II:	
Model State Policy	12
Panel I: Safety Assurance	60
Panel II: Pre-Market Approval	93
Panel III: Imminent Hazard Authority	125
Panel IV: Expanded Exemption Authority	157
Panel V: Post Sale Tools to Regular Software	
Changes	186
Panel VI: Tools	224
Closing remarks	257

1 P R O C E E D I N G S

2 MS. WILLIAMS: Okay. Well, good morning,  
3 everyone. We're going to go ahead and get started. I  
4 know some folks are probably still arriving given the  
5 weather this morning. I did hear traffic was pretty  
6 bad, but it would have been worse. We don't have  
7 freezing rain so that's good.

8 So, again, good morning. I'm Dee Williams  
9 and I'm currently serving as the team leader for the  
10 Federal Automated Vehicles Policy Implementation Team.

11 Oh, sorry about that. I'm echoing a bit.

12 So I just wanted to thank everyone for  
13 joining us this morning. This is the second in a  
14 series of public meetings that we're holding since the  
15 release of the U.S. Department of Transportation's  
16 Federal Automated Vehicles Policy. And that was  
17 released on September 20th of this year.

18 However, before we get started I'd like to  
19 introduce Dr. Mark Rosekind, the 15th Administrator  
20 for the National Highway Traffic Safety  
21 Administration, to give some opening remarks.

22 DR. ROSEKIND: Good morning, everybody.

1 (Chorus of good morning.)

2 DR. ROSEKIND: Do it again, come on. Good  
3 morning, everybody.

4 (Chorus of good morning.)

5 DR. ROSEKIND: Okay. It's like if we're  
6 going to start that way. So thanks, everybody, for  
7 being here today.

8 At NHTSA our mission is all about saving  
9 lives on America's roadways. And for over 50 years  
10 now we've carried out that mission by writing and  
11 enforcing strong regulations to make vehicles safer.

12 Fighting against drunk driving, building a  
13 national consensus on seat belt use, and so many other  
14 efforts that have already saved hundreds of thousands  
15 of American lives, but we have far more work to do.  
16 And you know that those -- that work is being measured  
17 by some very alarming numbers.

18 In 2015, we lost -- I should do this as a  
19 test sometime. We lost -- give me the number.

20 DAVID: 36,000.

21 DR. ROSEKIND: 35,092. Thank you, David.

22 DAVID: You're welcome, Administrator.

1 DR. ROSEKIND: And we know that exact number  
2 because every one of those was a father, a mother,  
3 son, or daughter, a colleague, a friend and that's why  
4 the folks at NHTSA know that number exactly.

5 And unfortunately that problem is getting  
6 worse because, as you know, just recently we announced  
7 that that number of fatalities is actually going up in  
8 the first half of the year by 10.4 percent.

9 And so it's against this particular backdrop  
10 that the Department of Transportation, under the  
11 leadership of Secretary Foxx, has been working so hard  
12 on our efforts to accelerate the safe deployment of  
13 automated vehicle technologies.

14 Because while automated vehicles really  
15 carry enormous potential to transform mobility,  
16 reshape our transportation system, it really is their  
17 awesome potential to revolutionize roadway safety that  
18 has all of us at NHTSA so motivated.

19 And there's one more number that explains  
20 why we are so focused on this area. That number is  
21 94. That's percentage of crashes that can be tied  
22 back to human choice or error. And that's the choice

1 to speed, drive drunk, send a text behind the wheel,  
2 or misjudge the stopping distance.

3 That 94 percent represents the untold  
4 potential of automated vehicle technologies. We  
5 envision a future where advanced technologies not only  
6 help reduce crashes, but a world with fully self-  
7 driving cars that could hold the potential to  
8 eliminate traffic fatalities altogether.

9 The Federal Automated Vehicles Policy which  
10 the Department issued on September 20th is the world's  
11 first comprehensive government action to guide the  
12 safe and efficient development and deployment of these  
13 technologies.

14 In our view this policy's the right tool at  
15 the right time. It answers a call from industry,  
16 state and local governments, safety and mobility  
17 advocates, and many others to lay a clear path forward  
18 for the safe deployment of automated vehicles and  
19 technologies.

20 But this policy's not the final word. It is  
21 designed to be nimble and flexible, to evolve over  
22 time that allows us to stay at the leading edge. To

1 the end, the policy identifies 23 next steps that will  
2 help guide the evolution of the policy.

3 The first of those next steps is why we're  
4 here today. We've received comments on the entire  
5 policy and we've committed to holding a series of  
6 public workshops on the individual components of the  
7 policy.

8 We held our first public workshop just last  
9 month on policy overall and on the first section  
10 specifically. And we heard from a wide ranging group.  
11 That means we're getting feedback that's proven  
12 helpful in guiding our next iteration of the policy.

13 Today we're here to discuss two critical  
14 components of the policy. The first is the Model  
15 State Policy. And for the last 50 years there has  
16 been a fairly clear division of responsibility between  
17 the federal government and the states for the  
18 oversight and regulation of motor vehicles.

19 Generally speaking, it has been the federal  
20 government's responsibility to regulate motor vehicles  
21 and equipment safety while the states have regulated  
22 drivers and traffic laws.



1           That division of responsibility may be much  
2 less clear in a highly automated vehicle where  
3 increasingly the vehicle's automated systems become  
4 the driver. The Model State Policy delineates the  
5 federal and state roles for the regulation of these  
6 vehicles and it outlines the approach we recommend to  
7 states as they consider the regulation of testing and  
8 operation of automated vehicles on their public roads.

9           Our goal is to build a consistent national  
10 framework for the development and deployment of  
11 automated vehicles so that users can take their  
12 vehicles across stateliness just as they can do today  
13 and so that developers are building toward a single  
14 set of standards rather than 50.

15           The Model State Policy confirms that states  
16 retain their traditional responsibilities for vehicle  
17 licensing and registration, traffic laws and  
18 enforcement, and motor vehicle insurance and liability  
19 regimes.

20           At the same time the policy reaffirms that  
21 the federal government will continue to be responsible  
22 for the oversight of vehicle safety and design

1 including automated features.

2 The policy was developed in close  
3 coordination with the American Association of Motor  
4 Vehicle Administrators or AAMVA, individual states,  
5 and a range of other stakeholders.

6 It suggests recommend areas for states to  
7 consider in the development of their own regulations  
8 including testing regimes and registration. It also  
9 identifies a number of areas that need to be further  
10 discussed and developed including how law enforcement  
11 will interact with highly automated vehicles and the  
12 development of a consistent approach to insurance and  
13 liability challenges.

14 The second section that we will discuss  
15 today is the modern regulatory tools. This section  
16 identifies 12 potential new tools, authorities, and  
17 resources that could aid the safe deployment of new  
18 lifesaving technologies and enable the agency to be  
19 more nimble and flexible.

20 Today's governing statutes and regulations  
21 were developed before highly automated vehicles were  
22 even a remote notion. And for that reason current

1 authorities and tools alone may not be sufficient to  
2 ensure that highly automated vehicles are introduced  
3 safely and to realize their full safety promise.

4 This challenge requires NHTSA to examine  
5 whether the ways in which the agency has addressed  
6 safety for the last several decades should be expanded  
7 and supplemented.

8 The new tools identified in this section  
9 include premarket approval, expanded exemption  
10 authority, imminent hazard authority, new research and  
11 hiring tools, and others that may better equip the  
12 agency in the future as more technologies move from  
13 the lab to the road.

14 And just to be clear, these tools are  
15 offered for consideration by policymakers, industry,  
16 advocates, and the public as we move forward.

17 The policy is already a product of  
18 considerable public input and its evolution will be  
19 based on the feedback we continue to receive. Your  
20 participation today will help the department to  
21 continue to improve this policy in a manner that  
22 reflects the ideas and concerns that we hear from you.

1           We're in an important moment. We have an  
2 industry that is rapidly innovating and we have a  
3 government that is inspired about what this technology  
4 means for the future of safety.

5           We view the best path forward as having the  
6 entire community from industry to safety and mobility  
7 advocates to the general public working together in a  
8 committed way with safety at the top of the agenda.

9           Again, thank you for being here today.  
10 We're looking forward to hearing from all of you and  
11 what you have to say. Thanks.

12           MS. WILLIAMS: Thank you, Administrator  
13 Rosekind. So, again, we want to thank Administrator  
14 Rosekind for paying tribute to those lost from roadway  
15 crashes by continuing to drill in the senseless  
16 numbers of lives taken. Again, these are our family,  
17 our friends, our coworkers, our neighbors, the list  
18 goes on; however, also reminding all of us why it's a  
19 truly exciting time to be part of roadway safety.

20           So now for some additional context for  
21 today's event. As the agency has emphasized many  
22 times since the release of the policy, it lays a

1 national framework for the safe testing and deployment  
2 of new automotive technologies that have enormous  
3 potential for improving safety and mobility for all  
4 Americans.

5 For today's meeting during the morning  
6 session, as Dr. Rosekind detailed, we're seeking  
7 specific input on the Model State Policy. As we know,  
8 states have already begun passing laws and developing  
9 regulations surrounding highly automated vehicles.

10 A national dialog is necessary to gather  
11 additional information on any potential challenges  
12 foreseen, suggestions for clarification, and  
13 recommended improvements to assist in avoiding a  
14 patchwork of inconsistent laws and regulations.

15 This session is to achieve this objective  
16 and will be an opening listening session style whereby  
17 I will call out the names of the individuals who have  
18 requested to provide oral technical comments in  
19 advance, but will also provide an opportunity at the  
20 end for open mic.

21 Our focus is on gathering feedback regarding  
22 the states, manufacturers, and other entities and how

1 they have understood and interpreted the Model State  
2 Policy. Then, also as Dr. Rosekind detailed, the  
3 afternoon session of the meeting the agency will seek  
4 specific input on the Modern Regulatory Tools section,  
5 which is Section IV.

6 This session will focus on gathering  
7 feedback on the new tools and authorities discussed in  
8 that section as well as other ideas and suggestions to  
9 assist in the safe deployment -- development, testing,  
10 and deployment of highly automated vehicles.

11 It's going to consist of six moderated  
12 sessions each lasting about 35 minutes. And you'll  
13 get additional details from the moderators during the  
14 afternoon session.

15 So, again, we are very grateful you've  
16 chosen to be here with us today and for those that  
17 joined us back on November 10th. And following the  
18 new year do look out for those other opportunities for  
19 the additional meetings and additional sessions for  
20 input as we continue to implement the next steps that  
21 are laid out in the back of the policy.

22 So that said, I just want to take a moment

1 to introduce the rest of my team -- Debbie Sweet, Josh  
2 Fikentscher, and Michelle Atwell.

3 So for any of our guests if you have any  
4 questions during the course of today, please see any  
5 of them or any of the other NHTSA staff on hand and  
6 they're more than willing to assist you.

7 And if there are media in the room, we do  
8 have a table set up in the back so we do just ask that  
9 you check in with Becca and register with her and  
10 she'll make sure that you have everything you need.

11 Just a few other additional housekeeping  
12 items. So at this time, if you haven't done so  
13 already, I do ask you to silence any devices you have  
14 with you.

15 In the unlikely event of an emergency, of  
16 course, see the red exit signs. And your restrooms  
17 they're going to be off this doorway to your left.  
18 There's men's and women's right there.

19 We'll break by noon or sooner. It just  
20 depends on the number or oral technical comments that  
21 folks request to make. So when we do break there's  
22 various businesses around the area that you can grab

1 lunch or even coffee or snacks.

2 This meeting is being live webcasted today  
3 so we welcome those watching both virtually and, of  
4 course, you all for joining us here in the room as we  
5 continue to find ways to collaborate and work together  
6 to implement this policy.

7 We're here to listen and we look forward to  
8 continuing the discussion in the days to come. And  
9 with that in mind I'm now going to begin calling the  
10 names of those who indicated during registration that  
11 they wanted to provide oral technical remarks this  
12 morning.

13 So when I do so, I would ask you to come to  
14 one of the microphones, speak your name and also the  
15 agency or organization you're representing so that our  
16 court reporter can definitely get that detail down.

17 So to get us started, I'd like to ask Mr.  
18 Ryan Hageman to start our day. Is Ryan in the room?

19 And he may not be. I do know he's one of  
20 our panelists for this afternoon, as well. He could  
21 be stuck in traffic or otherwise so I will go onto the  
22 next name.



1 Do we have Emily Frascaroli from the Ford  
2 Motor Company?

3 Okay. And I will come back. I'll circle  
4 back on some of these names. How about Thomas Karol  
5 with Mutual Insurance Companies?

6 Great.

7 MR. KAROL: I guess -- I guess it is  
8 important just to show up so. My name is Tom Karol.  
9 I'm the general counsel federal with the National  
10 Association of Mutual Insurance Companies.

11 We represent -- we represent over 1,400  
12 insurance company members providing more than 170  
13 million policyholders with 43 percent of the  
14 automobile insurance policy market.

15 We applaud the NHTSA commitment and  
16 coordination, stakeholder engagement, education and  
17 pledge our support in developing this important  
18 component.

19 The NHTSA policy proposes a Model State  
20 Policy which confirms that states retain their  
21 traditional responsibility for vehicle licensing and  
22 registration, traffic laws enforcement, and motor

1 vehicle insurance and liability regimes.

2 The NHTSA further reiterates that states are  
3 responsible for determining liability rules for highly  
4 automated vehicles. States should consider how to  
5 allocate liability among highly automated vehicle  
6 owners, operators, passengers, manufacturers, and  
7 others when a crash occurs.

8 The NHTSA proposes that in the future the  
9 states may identify additional liability issues and  
10 seek to development consistent solutions. It may be  
11 desirable to create a commission to study liability  
12 and insurance issues and make recommendations to the  
13 states.

14 With respect to insurance and liability,  
15 NHTSA suggests convening a commission to study these  
16 particular issues and make recommendations. In  
17 recognition of the overall efforts of the NHTSA -- and  
18 this suggestion, in particular, NAMIC today pledges  
19 its support for further development and will  
20 enthusiastically provide its expertise and experience  
21 to any such group the NHTSA proposes. Thank you.

22 MS. WILLIAMS: Thank you. Paul Scullion,

1 Association of Global Automakers.

2 MR. SCULLION: Good morning. My name is  
3 Paul Scullion, Safety Manager of the Association of  
4 Global Automakers. We're a trade association  
5 representing international motor vehicle manufacturers  
6 and original equipment suppliers. Our OEM members  
7 include Toyota, Honda, Nissan, Hyundai, Kia, and  
8 Subaru.

9 We welcome the opportunity to provide  
10 comment and the intent of these remarks is to  
11 reinforce and supplement the detailed written comments  
12 provided to NHTSA on November 22nd.

13 Given the significant benefits of  
14 technology, it's important that we have the right  
15 policy frameworks in place to foster innovation and  
16 investment at both the state and federal level.

17 NHTSA has taken an important first step in  
18 demonstrating leadership with release of its federal  
19 policy by consistent national approach for this is  
20 critically important as automated vehicles will  
21 advance safety, mobility, and sustainability.

22 In our written comments we noted a number of

1 unclear and conflicting statement between the guidance  
2 and model policy that are already creating significant  
3 challenges and questions at the state level.

4 Although the agency has indicated its plans  
5 to update the guidance in the future, we believe it's  
6 critical some of these issues be address expeditiously  
7 and the revision or correction to the policy should be  
8 published in the near term to address any significant  
9 issues or inconsistencies.

10 These clarifications should be provided as  
11 NHTSAs -- as part of NHTSA's planned stakeholder  
12 engagement and education on the policy also.

13 With regards to the specifics off the Model  
14 Policy, we agree with NHTSA that the shared objective  
15 is to ensure that the establishment of consistent  
16 national framework rather than a patchwork of  
17 incompatible laws and support many of the statements  
18 and recommendations in the Model Policy.

19 We agree with the basic division of  
20 responsibility between NHTSA and the states with NHTSA  
21 being responsible for vehicle safety issues and states  
22 responsible for driver licensing, registration,

1 traffic law enforcement, and insurance.

2 The division of this responsibility  
3 maintains the ability of vehicle manufacturers to  
4 produce a single fleet of products for the U.S.  
5 market.

6 Allowing individual states to regulate the  
7 design and performance of automated vehicles would  
8 lead to a patchwork of different requirements and this  
9 would require manufacturers to develop vehicles  
10 meeting multiple design targets which would increase  
11 costs and divide development resources.

12 The additional burden of having to meet  
13 multiple design targets would also significantly  
14 impair and delay efforts to test and deploy  
15 technology.

16 We were encouraged the document included  
17 strong statements that this guidance is not intended  
18 for states to codify as legal requirements for the  
19 development, design, manufacturing, and testing of  
20 automated vehicles and that NHTSA strongly encourages  
21 states to allow DOT alone to regulate the performance  
22 of highly automated vehicle technologies and vehicles.

1           There are, however, a number of conflicting  
2 statements between the operational guidance and the  
3 Model Policy on this matter. Specially Section C2D  
4 and C2H for the Model Policy includes suggestions that  
5 as part of the application for testing states should  
6 seek to require manufacturers include a safety and  
7 compliance plan for testing vehicles which should  
8 include a self-certification of the testing and  
9 compliance to NHTSA's vehicle performance guidance.

10           States requiring compliance with the  
11 guidance for testing or operation would create massive  
12 regulatory uncertainty since determinations could be  
13 inconsistent with the federal government.

14           We've already seen this being proposed at  
15 the state level and I'm concerned this approach would  
16 essentially create a two-step regulatory system.

17           Other issues of concern include Section 2D  
18 which recommends that states require manufacturers and  
19 other entities meet all applicable FMBSS for testing.  
20 This conflicts with the intent of the Fact Act which  
21 allows manufacturers to test vehicles that are not  
22 FMBSS compliant under certain conditions.

1 Another troubling aspect of the policy is  
2 that it includes language that could be read as  
3 encouraging states to regulate highly automated  
4 vehicle test programs including such measures as the  
5 application process, issues -- issuance of permits,  
6 and jurisdictional permission to test.

7 We do not see it being in the best interest  
8 if agency, manufacturers, or the public for states or  
9 localities to regulate or prohibit testing of vehicle  
10 systems. This would create obstacles to the  
11 deployment of such safety technologies.

12 The Model State Policy should be clarified  
13 to explicitly state that the regulation of motor  
14 vehicle testing is a federal responsibility.

15 Some additional comments related to the  
16 Model Policy. On the issue of state laws, the vehicle  
17 performance guidance discusses compliance with  
18 federal, state, and local laws as the agency provides  
19 an example of circumstances where human drivers have  
20 the ability to temporarily violate certain motor  
21 vehicle traffic laws such as the example where  
22 crossing the double lines for a broken -- broken down

1 vehicle that might be in the path.

2 And this raises an important question. In  
3 the ethical consideration section NHTSA states that  
4 vehicles are expected to address potential conflicts  
5 within the context of certain ethical objectives of  
6 safety, mobility, and legality.

7 It could be suggested, however, that this  
8 issue is not so much related to how the vehicles  
9 resolves these conflicts, but rather the way in which  
10 the traffic law is drafted.

11 In the example provided, it would be more  
12 straightforward to ensure the traffic laws provide  
13 necessary exceptions to address potential conflicts so  
14 that rules could be more clearly followed to remove  
15 legal uncertainty.

16 So, for example, in the double lines example  
17 there may be an exception written in law where there  
18 are circumstances where it's okay to pass.

19 While a number of states are focused on  
20 developing regulations related to the testing or  
21 operation of automated vehicles, we believe it'd be  
22 appropriate for NHTSA to consider in future revisions



1 to the guidance a recommendation that states review  
2 existing traffic laws to identify reasonable and  
3 consistent commonsense exceptions where necessary to  
4 reduce potential conflicts of safety mobility and  
5 legality.

6 Global Automakers strongly recommends that  
7 NHTSA work closely with government stakeholders and  
8 representative organizations to consider the  
9 development and maintenance of a database comprised of  
10 all applicable laws that may be adhered to at the  
11 federal, state, and local level across the United  
12 States.

13 There's another issue related to the  
14 definition of deployment. The guidance defines  
15 deployment as the operation of a highly automated  
16 vehicle by members of the public who are not employees  
17 or agents of the designer, developer, or manufacturers  
18 of the highly automated vehicle.

19 However, this definition may more accurately  
20 describe operations, not deployment. We would note  
21 that manufacturers do not deploy vehicles in the sense  
22 that they, themselves, would not operate the vehicle.

1           If NHTSA is seeking to use the term  
2 deployment, we believed it'd be more suitably defined  
3 as something, for example, as making commercially  
4 available to the public autonomous vehicle or  
5 aftermarket autonomous technology.

6           One final point on the issue of the safety  
7 assessment letter. Finally, we note the NHTSA has  
8 ongoing efforts in the deployment of a safety  
9 assessment letter template.

10           While we are generally supportive of the  
11 concept, office safety assessment letter is a  
12 mechanism for providing premarket assurance to  
13 consumers and policymakers and other stakeholders.

14           We are concerned that states may seek to  
15 mandate the submission of a safety assessment letter  
16 as a prerequisite for testing or deployment. We think  
17 this would be inconsistent with the model policy.

18           Additionally, we have significant concerns  
19 with regards to the inclusion of potentially sensitive  
20 and competitive information on the safety assessment  
21 letter and how this information would be protected  
22 isn't part of established process. If not addressed,

1 it could have potential to set back the pace of  
2 innovation.

3 It's important that we get this right and we  
4 reiterate that NHTSA should issue a draft of the  
5 safety assessment letter and underlying process prior  
6 to its finalization.

7 Thank you for the opportunity to provide  
8 comments today.

9 MS. WILLIAMS: Thank you. Eric Williams  
10 with Tesla Motors.

11 Okay. How about Leigh Merino with MEMA?

12 MS. MERINO: Good morning. My name is Leigh  
13 Merino and I serve as the senior director of  
14 regulatory affairs for the Motor and Equipment  
15 Manufacturer's Association or MEMA.

16 MEMA represents over 1,000 vehicle suppliers  
17 that manufacture original equipment and aftermarket  
18 components and systems for passenger and commercial  
19 vehicles. Our members lead the way in developing and  
20 deploying a wide range of advanced driver assistant  
21 systems or ADAS, vehicle-to-vehicle technologies, and  
22 other advanced vehicle safety innovations.

1 Suppliers are critical in the ongoing  
2 development and implementation of those technologies  
3 which are the building blocks necessary for highly  
4 automated vehicles to reach their full potential.

5 MEMA supports the iterative process and  
6 guidance approach of NHTSA's Federal Automated Vehicle  
7 Policy, or I'll call FAVP herein, to create a national  
8 framework recognizing that the federal and state  
9 governments must work together to establish policies  
10 that accelerate deployment of these technologies while  
11 also balancing public safety and building trust.

12 Federal leadership working closely with the  
13 states is essential to avoid a potential patchwork of  
14 varying state laws and requirements. However, even  
15 though voluntary, the federal guidelines essentially  
16 become a defect- -- become defective requirements. As  
17 such, the current FAVP may lead to various states  
18 requiring NHTSA's voluntary guidance as a condition  
19 for testing and deploying automated vehicle  
20 technologies in their states.

21 It's critically important to get the  
22 foundational policy as clear as possible in these

1 early stages to prevent uncertainty among the  
2 government and industry stakeholders because  
3 misunderstandings may inadvertently delay technology  
4 evaluation and development.

5           Consequently, MEMA urged NHTSA to clarify  
6 key aspects of the policy in the immediate future. We  
7 also ask NHTSA to treat systems in vehicles that are  
8 being evaluated and tested differently from those that  
9 are in production and deployed.

10           As it relates to the State Model Policy, in  
11 the FAVP NHTSA proposes a framework of requirements  
12 among which are the assertion that each test vehicle  
13 follows the performance guidance set forth by NHTSA  
14 and meets all applicable Federal Motor Vehicle Safety  
15 Standards.

16           MEMA strongly believes that states should  
17 not codify the NHTSA vehicle performance guidance by  
18 way of its state laws, policies, and/or the  
19 application requirements for automated vehicle  
20 testing.

21           The challenge with FAVP recommendations to  
22 the states is that often test vehicles are modified

1 from their original off-the-lot condition in order to  
2 be evaluated and tested. Modifications could include  
3 disabling certain features or adding instrumentation  
4 to the vehicle.

5 This modifications process is a longstanding  
6 common industry practice for test vehicles in  
7 evaluation. The operators of these test vehicles are  
8 highly trained driving professionals indoctrinated in  
9 the test protocol and are informed and aware of the  
10 modifications.

11 During a test evaluation of vehicles with  
12 automated systems trained drivers are constantly  
13 monitoring the driving environment and will take  
14 control of the vehicle during critical situations.  
15 Furthermore, the trained driver takes due care to  
16 comply with all applicable traffic laws just as  
17 currently required with drivers operating SAE level 0  
18 to 1 vehicles.

19 Developers and testers must fully evaluate  
20 the test vehicle under a variety of conditions in  
21 order to fine tune and enhance the automated system  
22 and ultimately prepare the system for production.

1 Real world exposure on public roads is a  
2 critical stage in vehicle and vehicle equipment  
3 development which is one of the key reasons MEMA's  
4 comments emphasize the need for test vehicles and  
5 production vehicles to be addressed differently in the  
6 AV policy.

7 We would also like to point out that Section  
8 24404 of the Fast Act of 2015 allows for vehicle  
9 manufacturers to test and operate vehicles that do not  
10 meet FMVSS provided they are not offered for sale.

11 This provision as currently worded only  
12 applies to OEMs and does not include component  
13 manufacturers. In a November 15th Congressional  
14 hearing on self-driving vehicles, MEMA noted this  
15 point and asked the members of Congress to clarify  
16 this provision at their first opportunity.

17 Nevertheless, in the interim NHTSA must  
18 explore how this matter can be addressed and clarified  
19 in the context of the FAVP and its Model State Policy.  
20 Doing so will avoid the impact of unintended  
21 consequences that may hinder supplier development and  
22 innovation of automated systems and prevent

1 opportunities for real world testing experiences in  
2 various states.

3 Therefore, MEMA reinforces our plea to NHTSA  
4 to recognize the differences between testing and  
5 production vehicles and to amend the FAVPs Model State  
6 Policy recommendations to allow for modified test  
7 vehicles that are not fully compliant with FMVSS.

8 These and other clarifications to the FAVP  
9 must be addressed by NHTSA at the earliest possible  
10 time to avoid confusion for all entities and avert  
11 potential delays of current or future test  
12 evaluations.

13 Thank you for your consideration of MEMA's  
14 comments.

15 MS. WILLIAMS: William Wallace, Consumer's  
16 Union.

17 MR. WALLACE: Good morning. I'm William  
18 Wallace with Consumer's Union. And Consumer's Union,  
19 the policy and mobilization arm of Consumer Reports,  
20 thanks you today for the opportunity to share oral  
21 comments on the Model State Policy portion of the  
22 Federal Automated Vehicles Policy. We appreciate the



1 work done by NHTSA, AAMVA, and other stakeholders to  
2 complete this section of the policy.

3 With technology rapidly advancing it's  
4 appropriate to clearly describe and delineate federal  
5 and state roles in regulating automated vehicles. In  
6 part, this exercise should be carried out to ensure  
7 that as long as a car is safe a motorist can do as  
8 NHTSA suggests and drive across stateliness without a  
9 worry more complicated than did the speed limit  
10 change.

11 However, we warn against going too far in  
12 the name of avoiding a patchwork. As the agency seeks  
13 to achieve a reasonable degree of consistency among  
14 state laws, NHTSA should not support any policy that  
15 would unduly restrict the ability of states to protect  
16 safety on public roads.

17 We would particularly oppose measures that  
18 would preempt state authority without strong federal  
19 safety standards being in place for automated  
20 vehicles.

21 Like the rest of the AV policy, the Model  
22 State Policy is, of course, voluntary guidance. So,

1 as Administrator Rosekind has said, what the states  
2 actually implement is their call. Under current  
3 policy this is appropriate.

4 With the absence at this time of enforceable  
5 standards, citizens and their elected state  
6 representatives should retain the right to take action  
7 to keep their roads safe. On the details of the Model  
8 State Policy, while the policy includes several areas  
9 of useful guidance to the states, we are concerned  
10 that it may understate the advisory role NHTSA can and  
11 should play under this policy to ensure safety.

12 Under the framework established by the  
13 policy states are effectively responsible for deciding  
14 whether to grant permission for AVs to be tested,  
15 operated, and used on public roads. They have the  
16 final call.

17 We are very concerned that states often lack  
18 the technical motor vehicle safety experience --  
19 expertise necessary to make this determination and  
20 that this framework will leaves states in an a tenable  
21 position unless they extensively consult with a well-  
22 informed better resource to NHTSA.

1 NHTSA seems to somewhat share this concern  
2 about states' capabilities recognizing in the next  
3 step section of the Model State Policy that states may  
4 not have the resources to develop a deep understanding  
5 of the technologies being deployed and suggesting that  
6 the agency will, in conjunction with vehicle  
7 manufacturers, explore a mechanism to help state  
8 officials gain a better understanding.

9 While this education program could be useful  
10 for informing states about technologies that are  
11 already on the market, it is no substitute for  
12 independent technical expertise. Therefore, if the  
13 current framework remains in place, as opposed to  
14 having something closer to a premarket approval  
15 process, we would strongly encourage NHTSA to take an  
16 active role in assisting states with their approval  
17 decisions. Including by indicating whether the agency  
18 has verified that a vehicle meets the most up-to-date  
19 version of NHTSA's performance guidance.

20 We are also concerned that state governors,  
21 motor vehicle administrators, or other executive  
22 branch officials may grant permission for an automated

1 vehicle to be deployed on public roads without its  
2 safety having been sufficiently ensured. We urge  
3 NHTSA to establish a federal policy that discourages  
4 states from making this mistake as it could profoundly  
5 jeopardize consumer safety.

6 NHTSA should communicate clearly and  
7 forcefully with a state governor if it believes safety  
8 has not been sufficiently ensured for a vehicle that  
9 the state intends to permit on its public roads.

10 The policy's model framework for states  
11 include several areas in which it is appropriate and  
12 beneficial to consumer safety for states to regulate  
13 the testing, deployment, and operation of AVS.

14 This includes issues related to requirements  
15 for drivers of deployed vehicles, titling of these  
16 vehicles, law enforcement considerations, and  
17 insurance. However, there are additional steps that  
18 NHTSA should recommend the states take.

19 First, NHTSA should recommend that state  
20 require dealers, rental companies and other retailers  
21 to clearly communicate the limitations of automated  
22 systems to consumers. We are very concerned that the

1 significant potential for driver confusion over AV  
2 capabilities will lead to crashes particularly of cars  
3 with the Level 2 and Level 3 systems whose  
4 capabilities can most readily be overstated.

5 In making this recommendation, NHTSA should  
6 urge states to set requirements that ensure retailers  
7 work closely with manufacturers, NHTSA, and other  
8 stakeholders to determine the appropriate information  
9 to communicate to consumers and the most effective  
10 method and timing of this communication.

11 Second, NHTSA should recommend that states  
12 prohibit the operation of vehicles automated driving  
13 systems if needed equipment has been significantly  
14 damaged and not repaired.

15 We appreciate that the policy's safety  
16 assessment already asks entities to prohibit vehicles  
17 from operating in HAV mode if sensors or critical  
18 safety control systems are damaged. Instead of being  
19 voluntary, NHTSA should recommend that this  
20 prohibition be a part of state laws nationwide.

21 Once again, thank you for your work on this  
22 policy and for your consideration of our comments.

1 MS. WILLIAMS: Thomas Lehner from MEMA. Do  
2 you also have additional remarks you would like to  
3 make?

4 MR. LEHNER: Thank you. No. My colleague,  
5 Leigh Merino, covered it. Thank you.

6 MS. WILLIAMS: Great. Peter Kurdock,  
7 Advocates for Highway and Auto Safety.

8 Okay. Do we have Joan Claybrook, former  
9 NHTSA Administrator? I haven't seen her yet this  
10 morning. Okay.

11 Catherine Curtis with AAMVA.

12 MS. CURTIS: Good morning. I'm Cathy  
13 Curtis, Director of Vehicle Programs at the American  
14 Association of Motor Vehicle Administrators, also  
15 known as AAMVA. I'm speaking today on behalf of AAMVA  
16 and the AAMVA autonomous vehicle working group.

17 Automated vehicles represent one of the most  
18 significant innovations impacting transportation  
19 sector since the advent of the motor vehicle. This  
20 technology has the potential for significantly  
21 improving safety on our roadways and increasing the  
22 mobility of society in general.

1 AAMVA applauds the federal government's work  
2 to ensure these technologies continue to deliver as  
3 consumer protections while at the same time  
4 encouraging innovation.

5 We believe the Model State Policy provides  
6 guidance that creates a framework for consistent  
7 regulations across the states. We think this guidance  
8 serves as a very good starting point and agree with  
9 NHTSA that the guidance must be updated on an ongoing  
10 basis.

11 We also believe that some areas can be  
12 approved upon in the short term while other areas need  
13 more discussion with government and industry  
14 stakeholders.

15 AAMVA appreciates that NHTSA considered  
16 AAMVA autonomous vehicle working group's input in  
17 formulating the section of the policy as our members'  
18 expertise is an essential resource for the development  
19 of the comprehensive policy.

20 AAMVA involve -- AAMVA's involvement ensures  
21 state interest and vehicle technology innovators work  
22 in tandem to provide a level of consistency in AV

1 testing and deployment across jurisdictional lines.

2 AAMVA reiterates that their input towards  
3 this section was not just a single organizational  
4 consideration, but that multiple states with different  
5 interests collaborated on the recommendations made to  
6 NHTSA.

7 Now for a few comments on the details of the  
8 Model State Policy. While we understand that there  
9 are benefits of grouping SAE Level 3, 4, and 5  
10 vehicles together into a single highly automated  
11 vehicle HAV designation, there still will be need --  
12 will be a need for specific level classification to be  
13 used by the states and NHTSA when appropriate.

14 AAMVA believes that this grouping of  
15 distinct classifications into one term may cause some  
16 confusion. We would also like to point out that  
17 Section 1B provides information on the establishment  
18 of jurisdictional automated vehicle technology  
19 committees in states.

20 AAMVA members have expressed the committee  
21 membership should also include legislative and  
22 executive state government representatives as well as



1 economic development advisers.

2 Policy at the state level will be defined by  
3 state legislatures and lead executives making their  
4 integration into the decision making process  
5 essentially.

6 Also AAMVA notes that the policy does not  
7 address the platooning of vehicles, including  
8 commercial vehicles. While AAMVA understands that  
9 platooned vehicles may be incorporated in aspects of  
10 connected vehicle technology working in tandem with  
11 autonomous vehicle functionality, AAMVA requests  
12 clarification on whether NHTSA intends for this policy  
13 to apply to connected vehicles.

14 Section 5B states that fully automated  
15 vehicles are driven entirely by the vehicle, itself,  
16 and require no human driver, SAE Levels 4 and 5, at  
17 least in certain environments and under certain  
18 conditions.

19 The footnote attached to the statement  
20 provides a very important distinction by reference.  
21 Some vehicle may be capable of being entirely driven  
22 by the vehicle, itself, or by a human driver. For a

1 dual capable vehicle the states would have  
2 jurisdiction to regulate and license the human driver.

3 AAMVA believes that this statement is  
4 important to the discussion of HAVs and state  
5 licensing jurisdictions. AAMVA recommends that NHTSA  
6 consider incorporating this footnote directly into the  
7 policy, itself, to ensure that there's no confusion  
8 regarding the authority of the state to establish the  
9 licensing laws associated with autonomous vehicle  
10 regulation.

11 In the glossary, driver is defined as the  
12 following: For the purpose of this policy, the human  
13 operator of an HAV when not operating in a full  
14 automated mode.

15 AAMVA requests clarification whether it's  
16 NHTSA's intent that the person sitting in the driver  
17 seat of a Level 3 or 4 vehicle would be responsible  
18 for distracted driving or any other rules of the road  
19 violations if the vehicle is operating in fully  
20 automated mode.

21 Also operator is defined as an occupant of  
22 an automated vehicle who is not responsible for the

1 driving task, but is still responsible for certain  
2 aspects of the journey.

3 AAMVA requests clarification on whether this  
4 definition is meant to cover those vehicles that may  
5 be remotely operated or tracked. If this is the  
6 intent, those terms may need to be incorporated into  
7 the definition.

8 Section one of the full policy titled  
9 Vehicle Performance Guidance describes the types of  
10 data that NHTSA will require to be documented and  
11 recorded by manufacturers for retrieval by NHTSA.

12 While this information will be made  
13 available to NHTSA, AAMVA suggests that the Model  
14 State Policy also mention the availability of the data  
15 to the states. Should this data be only made  
16 available to NHTSA, the states may need to request the  
17 same information be submitted to them and by any  
18 entity conducting testing within that jurisdiction.

19 A single provision of this data to federal  
20 and assess- -- to a federal and assessable source  
21 would eliminate redundancies in data collection and  
22 reporting between both federal and state

1 jurisdictions.

2 AAMVA supports a suggestion that a  
3 commission that includes government and industry  
4 stakeholders study liability insurance issues and make  
5 recommendations to the states. And AAMVA offers their  
6 expertise in this area.

7 In summary, it is the highest priority of  
8 AAMVA to support our members' work to create a  
9 consistent approach to ensuring vehicles are tested,  
10 deployed, and operated safely -- safely. The Model  
11 State Policy provides the framework for that approach.

12 AAMVA is energized by the activity in the  
13 autonomous vehicle sector and emphasizes that it is  
14 important that no single entity dictate the terms of a  
15 safe testing and deployment of autonomous vehicle  
16 technology.

17 We believe it'll take a coordinated effort  
18 amongst government and industry stakeholders to get  
19 this lifesaving technology into the nation's roadways  
20 as safely and quickly as possible.

21 AAMVA thanks NHTSA for the opportunity to  
22 comment on this important safety issue and for NHTSA's

1 continued partnership and support as we work  
2 collaboratively on this potential lifesaving  
3 technology.

4 MR. FIKENTSCHER: Thank you. I would just  
5 like to go on record with stating that these are  
6 voluntary guidelines. NHTSA is not requiring that any  
7 entity submit anything to us yet.

8 MS. CURTIS: Thank you.

9 MS. WILLIAMS: Okay. Do I have Sean Kane,  
10 the Safety Institute representing Consumers for Auto  
11 Reliability and Safety, also known as CARS?

12 MR. KANE: Hi, good morning. And I'm here  
13 on behalf of CARS and also the Safety Institute.  
14 We're 501(c)(3) non-profit organizations that address  
15 issues around consumer safety and consumer product  
16 safety.

17 So with respect to the Model Policy, you  
18 know, our concerns really that they are not going to  
19 preempt the current state requirements and the laws  
20 that are in place for the states.

21 So one of the issues, you know, we've seen  
22 is this idea that the preemption of some of the

1 regulations could come into play and create a  
2 significant issue for folks. When you have a Model  
3 State Policy overriding some of the concerns, for  
4 example, the state laws around what constitutes a  
5 safety-related problem, they are dealt with in the  
6 state laws so those are real concerns for both of our  
7 organizations.

8           You know, I was here to really talk about  
9 the imminent hazard aspect of it and what those  
10 restrictions are that would be addressed in the next  
11 panel presumably.

12           But in terms of the other issues that we  
13 want to make sure that are addressed, for example, the  
14 -- we have reports from the California DMV, which, you  
15 know, requires manufacturers to provide disengagement  
16 information about what's happening in those vehicles  
17 that are on the road that are having disengagements.

18           We feel that real-time data is a very  
19 important aspect of all of these types of issues that  
20 -- we've heard a lot about 94 percent of people are  
21 the real problem creating errors, but we have real  
22 scant data and that data's not real-time available.

1           Having available data and information from  
2 all the stakeholders would play an important role in  
3 understanding what policies are going to work and how  
4 they're going to work going forward whether it's the  
5 imminent hazard issues, whether it's the safety  
6 problems, or whether we're going to end up having  
7 regulations that would set the foundation for the  
8 safety of these vehicles.

9           We have real concerns that the policy that  
10 is out there is just that, it's a policy. And I think  
11 we've seen over and over again that many of the crisis  
12 that have been the underpinnings of the problems that  
13 find their way into the enforcement side have their  
14 underpinnings in a lack of a solid regulatory  
15 framework by the agency.

16           So we would certainly encourage the agency  
17 to look at codifying and having some baseline  
18 regulations that ensure the safety of these vehicles  
19 as we go forward.

20           I've heard from others here that, in fact,  
21 the states aren't equipped to handle some of the  
22 complexities of that and they would be looking to the

1 agency for that.

2           Some of those, you know, have talked about  
3 the other models of certification through the FAA, for  
4 example. And that may be one way to look at is as we  
5 go forward because absent any of the structure or the  
6 infrastructure for a regulatory environment we end up  
7 with a situation where the agency doesn't have the  
8 underpinning, the expertise and they're relying on  
9 self-certification which can be a real problem in  
10 terms of understanding the complexities as we go  
11 forward.

12           So I think in short, you know, the big  
13 concern is what happens absent a regulatory  
14 environment. Understanding that everyone's looking  
15 for move forward and move forward quickly and not  
16 interrupt technology, but at the same time having  
17 those protections in place and setting a minimum  
18 baseline to ensure that the vehicles that are coming  
19 onto the road have met a minimum baseline.

20           And that can be -- that should happen across  
21 the United States. It shouldn't be in some type of  
22 patchwork of a model or even some general policy



1 areas. And that could save, frankly, many of millions  
2 if not billions of dollars in the long wrong.

3 It may take an additional effort, it may  
4 slow some things down. But if we're going to go  
5 forward here rather than putting the cart before the  
6 horse setting a minimum baseline of safety standards  
7 that we all can say, yes, we're on those pages as we  
8 move forward so we don't end up with a patchwork as  
9 well I think benefits everybody involved and it's all  
10 stakeholders involved. Thank you.

11 MS. WILLIAMS: Thank you. May I have David  
12 Strickland, our former NHTSA Administrator, who is now  
13 with Venable on behalf of the Safe Driving Coalition.

14 MR. STRICKLAND: Thank you, Dee, and good  
15 morning, everyone. On behalf of the Self-Driving  
16 Coalition for Safer Streets I am happy to provide this  
17 statement for the record in response to NHTSA's public  
18 meeting on the Model State Policy section of the FAVP.

19 The coalition appreciates NHTSA's commitment  
20 to obtaining feedback regarding all aspects of the  
21 policy. Self-driving technology has a great potential  
22 to enhance public safety and mobility especially for

1 the elderly and the disabled, reduce traffic  
2 congestion, and improve environmental quality.

3 The Coalition's mission is to promote the  
4 benefits of fully self-driving vehicles and support  
5 the safe and rapid deployment of these innovative and  
6 potentially lifesaving technologies.

7 We believe that it is the fully automated  
8 levels, that is SAE Levels 4 and 5, where we see the  
9 greatest opportunities for safety and mobility.

10 The discussion of the Model State Policy is  
11 timely given the ongoing and expanded state activity  
12 in the highly automated vehicle space. Since NHTSA's  
13 deadline for comments on the policy, several states  
14 have continued to push forward with HAV-related  
15 agendas.

16 For example, just last week the Texas House  
17 of Representatives Committee on Transportation held a  
18 hearing on automated vehicles. And we suspect that  
19 other states will likely explore this matter  
20 throughout the 2017 legislative season.

21 The Coalition believes that it is crucial  
22 for NHTSA to take a strong leadership position in

1 clearly defining the federal and state  
2 responsibilities when it comes to HAVs. The federal  
3 government's exclusive mandate to promulgate and  
4 enforce the Federal Motor Vehicle Safety Standards has  
5 been observed for decades and we do not believe that  
6 HAVs present a reason to deviate from that well-  
7 established precedent.

8 We commend the agency for encouraging states  
9 for focus on their traditional areas of jurisdiction  
10 such as licensing, traffic enforcement, and setting  
11 insurance requirements; however, we are concerned that  
12 the policy still provides leeway for states to fill in  
13 gaps and build their own regulatory framework for HAVs  
14 outside of their normal areas of jurisdictional  
15 control.

16 We encourage NHTSA to signal to state and  
17 local entities against rushing into legislating simply  
18 because a subject matter is new and novel. The  
19 Coalition also calls upon NHTSA to leverage its  
20 existing authority and position as a federal vehicle  
21 safety authority to safeguard against overlapping  
22 regulation by state and local governments.

1 Inconsistency at the state and local levels  
2 will harm innovation and slow the deployment of this  
3 technology that has the potential to save thousands of  
4 lives. Should state and local governments move to  
5 enact disparate regulatory frameworks, it will reduce  
6 NHTSA's ability to ensure that this country can move  
7 forward on safety.

8 The success of automated technologies  
9 depends on access to public roads. State  
10 municipalities play a great role and we look forward  
11 to working with them to achieve scalable solutions.

12 To the extent states wish to act in this  
13 area, the Coalition strongly urges them to examine and  
14 address existing laws and regulations that may serve  
15 as an impediment to HAV testing and deployment rather  
16 than implementing restrictive requirements that may,  
17 in fact, lead to more barriers to HAV operations.

18 Thank you all so very much for your hard work  
19 on this policy and to continued transparency in these  
20 workshops. And we look forward to working with you in  
21 the months and years going ahead. Thank you so much.

22 MS. WILLIAMS: Thank you. Russ Martin with

1 AAA.

2 MR. MARTIN: Hi, good morning. My name's  
3 Russ Martin. I'm the manager of states relations for  
4 AAA.

5 AAA is a not-for-profit member services  
6 organization dedicated to advancing road safety.  
7 We've advocated for safer roads and safer mobility for  
8 over 100 years and we represent more than -- or  
9 server, rather, more than 56 million members in the  
10 United States and Canada.

11 AAA and the motoring public are intensely  
12 interested in the possibility of autonomous vehicles  
13 and AAA clubs across the states are already working  
14 with policymakers on state laws and regulations across  
15 a broad swath of mobility and safety issues.

16 AAA aims to ensure that AV policies designed  
17 to safely maximize the benefits of technologies for  
18 consumers. So as we turn to the federal level, thank  
19 you so much for national leadership on this issue  
20 embodied in the Federal Automated Vehicle Policy and  
21 the opportunity to provide remarks today.

22 Today we're suggesting a short set of

1 improvements of the Model State Policy to promote a  
2 more straightforward and consistent consumer  
3 experience across the HAV space.

4 In its Model State Policy NHTSA recommends  
5 that HAVs have the capability to make minor technical  
6 violations of state laws in certain situations for  
7 safety and expediency. And like some other  
8 commentators, we urge NHTSA to recommend that states  
9 explore whether and how to formalize this elasticity  
10 of laws for HAVs or at least guidelines for how such  
11 laws ought to be enforced.

12 We suggest a collaborative process between  
13 states, HAV developers, and researchers to identify  
14 common scenarios which may require exemptions to  
15 existing state laws.

16 Once these scenarios are identified, new  
17 state laws ought to be considered to provide the  
18 appropriate exemptions. But states should only allow  
19 HAVs to perform these maneuvers which violate the  
20 usual decorum when it's safe to do so and would  
21 require HAVs to yield to vehicles and other potential  
22 hazards.

1 Second, NHTSA urges HAV developers to  
2 consider ethical programming for HAVS; however, not  
3 only can HAV developers anticipate ethical programs in  
4 programming, but states can also take action to  
5 mitigate these ethical crisis.

6 For example, states should consider laws to  
7 prevent people from purposely disrupting HAV systems.  
8 States could adopt a graduated system of laws  
9 criminalizing the intentional disruption of HAV  
10 operation.

11 This could range from misdemeanors to  
12 felonies, index to potential and actual safety impact,  
13 and exempts safely conducted white hat research.

14 States should also examine roadways for  
15 design flaws that could lead to ethical conflicts.  
16 When we think about the trolley car program they  
17 suggest high speed, low visibility, pedestrian dense,  
18 single-lane roads where these problems were most  
19 likely to arise.

20 But states could find these locations and  
21 deploy solutions to reduce the risk. They could lower  
22 speeds, remove sensor obstructions, discourage

1 pedestrian roadway entrance. They could also ask or  
2 require entities testing or deploying HAVs to help to  
3 identify these instances before an actual ethical  
4 dilemma plays out and risk to life and property.

5 And, finally, AAA agrees the federal  
6 government is best suited to offer a national  
7 framework on AV governance and oriented all the  
8 stakeholders in this space in the same direction.

9 The Division of Regulatory Responsibilities  
10 outlined in the policy makes sense, but we would offer  
11 one clarification. It's not just the federal  
12 government, but states and other entities that are  
13 also -- that also share a lot of responsibility for  
14 communicating with and educating the public about  
15 motor vehicle safety issues.

16 So AAA urges NHTSA to consider the full  
17 range of potential communications channels and  
18 partners to distribute safe messages about vehicle  
19 automation and safe mobility.

20 So thank you for the opportunity to provide  
21 your comments today and we welcome the opportunity to  
22 answer any questions. Thanks.



1 MS. WILLIAMS: Ben Husch with the National  
2 Conference of State Legislatures. No.

3 Okay. So that's actually who we had -- who  
4 signed up in advance. And I'm just going to run  
5 through a couple of the names to see if anyone did  
6 join us.

7 Is Ryan Hagemann here?

8 How about Emily Frascaroli from Ford?

9 Eric Williams, Tesla Motors?

10 MR. WILLIAMS: Yeah, pass.

11 MS. WILLIAMS: You're going -- okay. Peter  
12 Kurdock. And I didn't see Joan.

13 Okay. Do we have anyone else who is here  
14 this morning who would like to offer some oral  
15 technical remarks on the Model State Policy portion of  
16 this program?

17 Silence. Okay. Well, if I could have done  
18 it differently, I probably would have flipped the  
19 sessions to have the Modern Regulatory Tools in the  
20 morning and then that way everyone could have been  
21 going home early this afternoon, but that said we're  
22 going to break at this time until after lunch.

1 Okay. Thank you.

2 (Off the record.)

3 MS. WILLIAMS: Okay. So we're just hitting  
4 1 o'clock now so we're going to go ahead and get  
5 started. So I just want to welcome or welcome back  
6 those who weren't able to join us this morning.

7 Just a quick recap. So during the morning  
8 session of today's meeting we covered the Model State  
9 Policy portion of the Federal Automated Vehicles  
10 Policy.

11 So during this session we're actually now  
12 going to focus on Section IV of the Policy which is  
13 called Modern Regulatory Tools. And if you weren't  
14 here this morning, I'll just give also a little detail  
15 as to how this session's going to work.

16 As you can tell, we're going to have very  
17 structured panels, six of them to be exact. And we're  
18 going to cover the potential authorities that may  
19 foster the automated vehicle innovation including  
20 safety assurance, premarket approval, imminent hazard,  
21 expanded exemptions, and tools related to post sale  
22 for the regulation of software updates along with a

1 variety of others that were -- are proposed.

2 So each panel, again, six in total, they're  
3 going to last approximately 35 minutes. And during  
4 the last five minutes of each we're going to ask the  
5 moderators to open up the panel to see if anyone in  
6 the audience has questions.

7 So to facilitate this process and given the  
8 limited time for each panel, there are going to be  
9 index cards passed out and some pens. And if you want  
10 to pose a question to a panelist, we just ask you to  
11 write it down and there will be a couple volunteers  
12 that are going to come through the aisles and collect  
13 those cards.

14 So we're probably, then, going to pick one  
15 or two and any of the other ones we'll try and cover  
16 in a different forum or consider otherwise.

17 So before we get started, again, I would  
18 just like to remind everyone if you do have a wireless  
19 device, which I think we all do or maybe multiple, if  
20 you could take the time and turn them off, silence  
21 them, that would be great.

22 And let's go ahead and get started. Our

1 first panel this afternoon it's going to be on Safety  
2 Assurance and it's going to be moderated by Mr. Paul  
3 Hemmersbaugh. He's our chief counsel for NHTSA.

4 Paul?

5 MR. HEMMERSBAUGH: Thanks. Is this working?

6 MS. WILLIAMS: So --

7 MR. HEMEMRSBAUGH: You got to press the  
8 button, though. Now it's working.

9 Welcome back. I hope we'll have a good  
10 discussion to keep the onset of the early afternoon  
11 naps away.

12 We're going to talk today on this panel  
13 about premarket assurance and -- or premarket safety  
14 assurance. And one of the things I was thinking is I  
15 was hearing her ticking through the topics is that  
16 these topics are not necessarily, you know, sort of  
17 distinct and hermetically sealed from one another. So  
18 you'll excuse us if some of us bleed over into  
19 premarket approval on occasion.

20 The -- what we mean by premarket assurance,  
21 premarket safety assurance is testing risk analysis,  
22 gathering of data regarding vehicle or equipment that

1 is conducted by the designer, developer, or  
2 manufacturer that's intended to demonstrate that the  
3 design and manufacturing process has incorporated  
4 standards, testing, and criteria that assure the safe  
5 operation of new motor vehicles -- and this is the key  
6 -- before they're deployed on public roads.

7 So that's kind of the definition that we're  
8 using. We're -- it's the -- other definitions are  
9 certainly possible and -- but that's the one that I'd  
10 like to use as our working definition.

11 And one more parenthetical about that. I  
12 think that it's in our way of thinking under the  
13 Federal Automated Vehicles Policy it's the intention  
14 is to provide the government agency and consumers some  
15 level of assurance that vehicle design and  
16 manufacturing process have followed industry best  
17 practices, the agency guidance, and other performance  
18 criteria, again, before the vehicles or the equipment  
19 is rolled out for public use, consumer use.

20 And I'd like to introduce the panel. First  
21 we have on my right here Marc Scribner. Marc's a  
22 research fellow at the Competitive Enterprise

1 Institute. He focuses on transportation, land use,  
2 and urban growth policy issues.

3 Those issues include infrastructure  
4 investment in operations, transportation safety and  
5 security, risk and regulation, privatization of public  
6 finance, urban redevelopment and property rights, and  
7 emerging transportation technologies such as automated  
8 road vehicles and UAS.

9 Our second panelist we're privileged to have  
10 the chief counsel of the FAA, Reggie Govan. Reggie is  
11 chief counsel for the FAA, as I said. The office of  
12 chief counsel provides legal support and legal advice  
13 in support of the FAA administrator and all agency  
14 operations are headquarters, regions, and centers.

15 Reggie has a diverse legal practice  
16 background as corporate counsel, litigator, and  
17 legislative counsel. Prior to joining the FAA, he  
18 served as managing associate general counsel of  
19 Freddie Mac.

20 And finally we have Peter Kurdock. Peter's  
21 the director of regulatory affairs for Advocates for  
22 Highway and Auto Safety. Prior to joining the

1 Advocates in 2013, he served in the legislative  
2 departments of several non-profit organizations. He  
3 also served as a legislative aide to U.S. Senator  
4 Frank Lautenberg and Congressman Bill Pascrell, both  
5 of New Jersey. For them he handled transportation  
6 issues.

7 So having introduced our panelists, my first  
8 question is for each of them -- and I think we'll just  
9 sort of go down the line and get your views.

10 As a general matter, does the safety  
11 assurance approach offer significant safety benefits  
12 over and above those provided by the current  
13 manufacturer's self-certification to FMVSS compliance?

14 Again, this, I should say, doesn't -- as of  
15 right now it doesn't provide anything. But does it  
16 hold -- I think -- I think I -- well, just because it  
17 doesn't exist for us.

18 But what I meant to say does it hold the  
19 potential to provide additional benefits or different  
20 benefits or different downsides from the current FMVSS  
21 self-certification?

22 Marc?

1 MR. SCRIBNER: Yeah. I think it would -- as  
2 a gen- -- whoop. As a general matter, I think it  
3 would depend how each tool would be -- would be  
4 conceived and implemented.

5 And as a general matter, we have two sort of  
6 thoughts on this that I thought we could maybe tease  
7 out a little bit later. I think before beginning any  
8 serious discussions about premarket safety assurance  
9 tools, NHTSA would need to articulate precisely why the  
10 self-certification regime is inadequate.

11 You know, is the regulatory process too slow  
12 making -- updating Federal Motor Vehicle Safety  
13 Standards to reflect this new technology in the  
14 context of traditional automotive -- or auto equipment  
15 manufacturer self-certification?

16 Is that -- is that problematic? Does that  
17 prevent us realizing some of these safety benefits as  
18 early as we could?

19 If yes, I think NHTSA may wish to consider  
20 appealing to Congress to fix the longstanding self-  
21 certification regime rather than augmenting it with  
22 these premarket safety assurance tools.



1           But if NHTSA can articulate a basis for  
2 these premarket safety assurance tools to augment  
3 self-certification, we do believe that the agency  
4 needs to carefully weigh the additional potential  
5 benefits of a given tool against the potential delay  
6 cost and price rises that could result, you know, from  
7 these ultimately being deployed to consumers.

8           And that's because, you know, these  
9 technologies hold great promise in offering massive  
10 safety benefits to the future so we think that you  
11 need to keep sort of an eye on this. The fact that,  
12 you know, this technology as it's being developed and  
13 as it's being deployed may offer some of the greatest  
14 safety benefits that have -- you know, any technology  
15 ever deployed. And that perhaps additional scrutiny  
16 could -- could reduce the -- use realizing those  
17 benefits as early as we could.

18           MR. HEMMERSBAUGH: Great. Thanks, Marc.  
19 We'll turn to Reggie next. But, Reggie, I'd like you  
20 to also comment on the FAA's experience with premarket  
21 safety assurance and how you think it may or may not  
22 be instructive as to the regulation of automated motor

1 vehicles and equipment.

2 MR. GOVAN: So the aviation framework is  
3 fundamentally different than the framework that you're  
4 used to and operate in. And just in a very broad  
5 outline, the FAA -- the aviation regulatory framework  
6 has been very proscriptive and the FAA essentially has  
7 controlled all things from almost from the conception  
8 in someone's brain like in the Matrix to the rolling  
9 off of -- off an assembly line.

10 And the FAA certifies everything in between  
11 -- the aircraft, the component parts, certify the  
12 airman, the pilot who's going to be flying it, we  
13 certify the mechanics and the maintenance operation,  
14 everything is federalized soup to nuts.

15 And that has actually worked brilliantly.  
16 The FAA's regulatory standards are the platinum  
17 standard for safety regulation in aviation and have  
18 largely been -- have influenced and been adopted  
19 throughout the world.

20 The reality is that the standards that we've  
21 relied upon have really come out of an assessment of  
22 accidents. And accidents are far and few these days

1 and so the agency has been shifting to a very  
2 different model. Not that the regulations are going  
3 by the wayside, but we are really trying to do two or  
4 three different things simultaneously.

5 One is to shift from very proscriptive  
6 regulations to performance-based standards in which  
7 the means of compliance would probably primarily be  
8 based upon voluntary consensus standards in the  
9 industry.

10 But that doesn't preclude the innovator or  
11 the entrepreneur from coming in with their own means  
12 of compliance and being able to satisfy whatever the  
13 performance-based safety standard is. So, A, we're  
14 really relaxing if you will the regulatory regime.

15 Secondly, we're relying upon a great deal of  
16 voluntary information sharing in the industry. It  
17 started off among the commercial air carriers through  
18 a twice-a-year meeting where there's just an opening  
19 up the books, if you will, on safety issues so that  
20 the entire industry can know what each operators  
21 experience is on a broad range of matters. And  
22 together with the FAA the industry and the FAA can

1 develop responses.

2 Most of the issues that are discussed are  
3 not about regulatory compliance. These are safety  
4 issues that either don't violate regulations or that  
5 there's some latent problems that only manifest  
6 themselves in an accident.

7 If sort of all the holes in Swiss cheese in  
8 10 to 12 different pieces would line up and there's a  
9 very low probability that would ever happen and so  
10 it's really an attempt to be proactive and  
11 preventative and to go well beyond whatever the  
12 regulatory compliance requirements are.

13 And, third, we rely upon a great deal of  
14 voluntary reporting programs that industry has for  
15 their employees to report to industry and to the FAA  
16 collaboratively whatever problem or experience they  
17 have. And often times these are, again, not  
18 regulatory compliance issues, but they do help  
19 identify latent safety issues.

20 The framework in which a lot of that is  
21 happening is a requirement for both air carriers and  
22 airports to adopt safety management systems which are

1 really the aviation equivalent of the financial  
2 reporting and disclosure practices that publicly  
3 listed companies have with respect to, you know, their  
4 earnings and sales releases and all that.

5 My own view is that most of industry has  
6 probably a more robust set of internal practices than  
7 what the FAA would be requiring initially out of the  
8 box by way of a regulation, but that's not true across  
9 the board.

10 And so the safety management systems that  
11 are being adopted now to meet the initial round of  
12 regulatory requirements are setting a floor and  
13 programs agency -- industry programs would be more  
14 robust over time than what the regulations require.  
15 But that's simply the aviation industry has always  
16 taken its safety mandate very seriously and often  
17 times goes well beyond whatever the federal regulatory  
18 requirements are.

19 MR. HEMMERSBAUGH: Thanks, Reggie. Peter,  
20 one of the things I'd be interested in your augmenting  
21 whatever you were going to say about this is whether  
22 you think -- and just for the audience information, a

1 pretty good example of what we mean by premarket  
2 safety assurance are the -- the vehicle performance  
3 guidance safety assessment letter. And so that's the  
4 kind of thing we're talking about.

5 And what I'm interested in is we've made  
6 that voluntary and we certainly have reasons that it's  
7 voluntary. But what I'd be interested in, Peter, is  
8 whether you think that this sort of premarket safety  
9 assurance is necessarily workable only if it's  
10 mandatory or if voluntary standards can work in  
11 addition to whatever else you were going to say.

12 MR. KURDOCK: So, first, before I address  
13 that question, Paul, thank you to NHTSA and to the  
14 folks here for having us.

15 I think it's important, too, as a safety  
16 organization -- and it's something that Marc touched  
17 upon -- is we are very, very hopeful that autonomous  
18 vehicles has the potential to save significant amount  
19 of lives, prevent significant amount of crashes.

20 Sadly, the last couple years we've seen some  
21 significant upticks in the lives lost on highways due  
22 to crashes. So we are very, very optimistic that the

1 technology will save those lives.

2           Unfortunately, like the crash that happened  
3 in Florida in May, gives us great pause as to what  
4 manufacturers are already currently putting on the  
5 road. So our public comments did address the fact  
6 that the letter is voluntary.

7           We think it should be mandatory as well as  
8 what strikes us quite interestingly about the letter  
9 is if it's voluntary and the information that a  
10 manufacturer chooses to provide is voluntary they  
11 simply can provide whatever information they choose to  
12 and the agency has no recourse to require them to  
13 submit additional information that they may need.

14           Now, they may go back to the manufacturer  
15 and politely ask them to provide the information they  
16 would like and the manufacturer can simply say no  
17 thank you and there's nothing that the agency can do  
18 at that point and they've already wasted a significant  
19 amount of resources. So that's a significant concern  
20 for us.

21           Turning to really what our comments -- to  
22 public comments to the docket which you can all see is

1 we talked a lot about reorganizing this policy around  
2 functional safety, the functional safety requirements.

3 And functional safety really is the way we  
4 define it in our public documents. It's always been  
5 if the vehicle's not tested to one test, it's not  
6 designed to a series of tests. It's really assured  
7 that in any type of situation that the vehicle on a  
8 public road is going to encounter they're able --  
9 going to be -- handle that -- that situation safely.

10 And, frankly, from all we've learned from  
11 the public disclosure of what happened back in May  
12 with the crash. If that manufacturer engaged in a  
13 robust and an appropriate functional safety process,  
14 they would have caught that defect that occurred and  
15 very likely could have prevented that crash that cost  
16 that gentleman his life.

17 So that's really where we look at it, but  
18 and a final thing I would put on for Advocate's, too,  
19 is that we're very concerned about it seems to be the  
20 stance of the agency that this is somehow -- that all  
21 autonomous vehicles and automated vehicles are somehow  
22 so different that the -- you know, the regime that's



1 worked for the agency for 50 years and they talk  
2 about, you know, vehicles standards and technology  
3 have saved 600,000 lives -- more than 600,000 lives  
4 that somehow that doesn't apply here and we don't  
5 accept that.

6 MR. HEMMERSBAUGH: Okay. I think what I'd  
7 -- we've got a common thread here in that there's some  
8 question about are voluntary -- why would you have  
9 voluntary standards and are they in any sense superior  
10 to or do they offer advantages over the FMVSS, which  
11 is slightly different from what we started with.

12 But, Marc, for example, I think you said  
13 that one of the questions NHTSA should ask before  
14 embarking on any sort of set of premarket assurance or  
15 safety assurance is why are these -- or are these  
16 measures necessary.

17 And one of the things I'd like to throw out  
18 and have each of you address or talk about is in light  
19 of the fact that very few of the Federal Motor Vehicle  
20 Safety Standards cover automated vehicle functions and  
21 in light of the fact that it has taken us in recent  
22 years eight to ten years to issue a final rule FMVSS

1 standard, the last I think three of four took eight to  
2 ten years, is there -- does that give you some feeling  
3 or notion that it makes sense as these new  
4 technologies are rapidly developing and coming online  
5 whether we're making rules or not, does that strike  
6 you as an appropriate or reasonable justification for  
7 using premarket safety assurance tools as opposed to  
8 rules?

9 MR. SCRIBNER: I think it could, but, as I  
10 said earlier, I think that the question really comes  
11 down to what specific benefits is the specific tool  
12 going to provide over the -- over the existing Federal  
13 Motor Vehicle Safety Standard and self-certification  
14 regime.

15 I think there's a potential and there may be  
16 a case in the future for mandating the safety  
17 assessment letter as we said in our public comments,  
18 but we also raised a number of concerns with the  
19 current safety assessment letter or the elements  
20 contained, the 15 point checklist.

21 And particularly on some of the data and  
22 cybersecurity components there and privacy components

1 there which I think would need to be addressed before  
2 moving forward. These are some of the issues that --

3 MR. HEMMERSBAUGH: Uh-huh.

4 MR. SCRIBNER: -- that I think NHTSA really  
5 needs to grapple with before moving forward or really  
6 even asking that question of whether or not this  
7 should be mandated or not.

8 So I don't think we're at the stage at least  
9 where we are with the safety assessment letter to even  
10 really consider mandating it just because I think  
11 there's much more work to do in this early voluntary  
12 stage before we can move on.

13 MR. HEMMERSBAUGH: Are you concerned that  
14 vehicles are going on the road without -- would  
15 otherwise go on the road without standards at least  
16 for an interim period?

17 MR. SCRIBNER: I'm less concerned. I mean, I  
18 think the developer have all -- have all taken a  
19 fairly cautious approach. Now, we can think of one  
20 developer who may not have taken quite as cautious of  
21 approach, but it's also important to keep in mind that  
22 if we're talking about highly automated vehicles as

1 NHTSA defines them it's not at all clear that that  
2 technology in question would be covered by the Level  
3 3, Level 4, Level 5 SAE levels that cover highly  
4 automated vehicles.

5           So and that's another thing worth  
6 considering, as well. I know other commenters raised  
7 that. Should NHTSA be looking more closely right now  
8 at some of these lower levels of automation where  
9 you're seeing some of the technology's actually being  
10 deployed to consumers as opposed to focusing as much  
11 on the highly automated vehicles.

12           MR. HEMMERSBAUGH: Okay. Thanks. I'm going  
13 to jump over to Peter for a moment. Skip over Reggie  
14 and we'll come back to Reggie.

15           Peter, similar question to you. Given that  
16 rules, our standards, have taken eight to ten years in  
17 recent years to promulgate and that there doesn't seem  
18 to be -- there may be, but there doesn't seem to be a  
19 prospect for reducing that time substantially.

20           And if you disagree with that you can say.  
21 But, you know, it's not immediately apparent what  
22 could make those -- these more -- if anything, more

1 technical standards get faster.

2 How does that affect your view of whether  
3 and to what extent NHTSA should use this premarket  
4 safety assurance tools and particularly voluntary  
5 tools?

6 MR. KURDOCK: Yeah. So, first of all, you  
7 know, we -- definitely the agency should be using  
8 those premarket assurance tools. There's no doubt  
9 about that. We can debate all day here long and it's  
10 a conversation for another day about the length it  
11 takes the agency to issue regulations and there's no  
12 need to go down that road now.

13 But one of -- I think one of the things I  
14 want to point out, too -- and I agree with Marc  
15 absolutely. He made an excellent point about how we  
16 do think the agency should be focusing less on the  
17 highly automated vehicles that necessarily may be  
18 years, decades away depending on who you talk to and  
19 the technology that's already in a lot of these cars.

20 But one of the things that we outlined in  
21 the functional safety approach that we advocate for in  
22 our public comments is that it gives the manufacturers

1 a great deal of leeway on what tests that they want to  
2 put their technology to to prove to the agency that  
3 the technology that they're putting into these cars  
4 right now can perform safely in all types of different  
5 situations.

6 Now we think the agency very well could at  
7 some point in the near future require a certain set of  
8 basics tests. But those beyond that the manufacturer  
9 can perform whatever tests they want and bring those  
10 to the agency and that data to the agency to prove to  
11 them that the technology is safe and it gives the  
12 manufacturers a great bit of leeway.

13 I know that's a surprise probably to hear  
14 from a safety advocate, but I think that's one of the  
15 great benefits of the functional safety analysis.

16 MR. HEMMERSBAUGH: Are you saying, though,  
17 that those standards should or can supplant rule-made  
18 standards or they just augment the --

19 MR. KURDOCK: I think they augment. I think  
20 they aug- -- I mean, I think they're certainly -- you  
21 can't just have the wild west and have every  
22 manufacturing kind of playing by their own rule out

1 there and no standards whatsoever on what is safe and  
2 what is not safe and everything's voluntary and the  
3 market's just going to take care of itself because we  
4 see where that is right now when we've already had  
5 some instances that are of great concern to the safety  
6 community.

7 MR. HEMMERSBAUGH: Right. I guess I would  
8 challenge the notion that premarket assurance is no  
9 standards whatsoever. I think they are industry  
10 practices --

11 MR. KURDOCK: No. And I'm not saying that,  
12 yeah.

13 MR. HEMMERSBAUGH: -- standard performance  
14 criteria that are established by industry.

15 MR. KURDOCK: Right.

16 MR. HEMMERSBAUGH: So it may not be  
17 established by a government agency but there are  
18 certainly standards --

19 MR. KURDOCK: Yes.

20 MR. HEMMERSBAUGH: -- that they would comply  
21 with.

22 MR. KURDOCK: Absolutely.

1 MR. HEMMERSBAUGH: Reggie, recently I think  
2 maybe with UAS and some other regulations the FAA has  
3 been going a little bit more toward sort of a  
4 certification model.

5 And I'm curious as to why that is and what  
6 advantages the agency sees in the certification model  
7 because it sort of seems like FAA is over here looking  
8 at it from a premarket assurance standpoint and  
9 they're looking over at sort of the other pool which  
10 are self-certification.

11 We're both thinking about that the grass is  
12 greener or something. And I'm just curious as to the  
13 thought and rationale for that.

14 MR. GOVAN: I'm perfectly situated in  
15 between Marc and Peter and that's pretty much where  
16 the agency's moving actually is between the two of  
17 you. It's fascinating to listen to it.

18 Aviation is such a different business and a  
19 different community of businesses. There is simply no  
20 discussion among the manufacturers, among the carriers  
21 about one or another's safety system being to one  
22 company or another's competitive advantage.



1 Sure. There's proprietary and intellectual  
2 property, proprietary information and intellectual  
3 property and the like, but at the end of the day the  
4 books are open when it comes to anything having to do  
5 with safety. And I suspect that's not the case among  
6 the -- in the automobile industry.

7 The reality is that there are very serious  
8 -- several things are motivating a shift. One is that  
9 there is a reality here of new technology. And it's  
10 the pace of the development of new technology, but  
11 also the rapid commercialization of that technology.

12 Those two things combined simply, to put it  
13 bluntly, means the agency has a hard time keeping up  
14 with what's going on out there if we're going to rely  
15 upon our traditional tools.

16 Secondly, I think the enlightened view is  
17 that the old model of overly prescriptive where you  
18 specify each and every thing is ultimately a little  
19 anti -- maybe not anti-competitive, but anti-  
20 innovation and it does affect the pace of innovation  
21 and the commercialization of that innovative  
22 technology.

1           But some forms of self-certification have  
2 always been a part of FAA processes. The label we use  
3 is different. They're called delegations. We have --  
4 Boeing has some delegations from the FAA where there  
5 are Boeing employees who act in the stead of the FAA  
6 in ensuring compliance with various manufacturing  
7 processes, design standards, and the like.

8           The general feeling is that those practices  
9 don't go far enough and so there's a live debate in  
10 Congress about whether Congress should direct us to do  
11 more to rely on self-certification. But the reality  
12 is as we shift from prescribed to performance-based  
13 standards, and the means of compliance with those  
14 standards will primarily be voluntary consensus  
15 standards, there is an opportunity, as I said earlier,  
16 for kind of a different approach and a new way of  
17 thinking about how to comply separate from the  
18 consensus.

19           So that's an area where we're loosening up,  
20 but we're also actively considering proposals for some  
21 forms of self-certification in some of the new rules  
22 primarily around the use of drones. And that's simply

1 because drones are a fundamental shift in -- it's a  
2 new entrant that requires a fundamental shift in how  
3 we think about the regulation of a form of aviation  
4 that doesn't have a person in the controls.

5 MR. HEMMERSBAUGH: Thanks. I would be  
6 interested -- we had, as probably everybody knows, we  
7 had a hearing like this regarding our safety  
8 assessment letter. And then this morning, of course,  
9 we had the Model State Policy and we had gathered  
10 public views on that.

11 But I would be interested in if each of you  
12 could give your thoughts -- to the extent you've taken  
13 a look at the -- the safety assessment letter and the  
14 criteria that we use there -- are those criteria  
15 sufficient to provide the kind of safety assurance  
16 that we need for the American public?

17 And if they are not, where do you think  
18 there's room for development or improvement?

19 Marc?

20 MR. SCRIBNER: Yeah. As I said, before, you  
21 know, I think there's -- I actually think the  
22 categories of how -- that would show how NHTSA is

1 thinking about these issues are appropriate. I think  
2 these are aspects and these reflect industry best  
3 practices as they exist right now.

4 I think further refinement, however, is  
5 needed in a number of these different categories.  
6 And, like I said earlier, as we develop more in our  
7 comment letter the data, the privacy, the  
8 cybersecurity areas -- areas where NHTSA, frankly,  
9 doesn't have a lot of experience and is currently in  
10 this -- at the beginning of this learning process.

11 But fortunately I think as we recommend that  
12 NHTSA should turn to other federal expert agencies,  
13 namely the Federal Trade Commission, on how they deal  
14 with -- how they deal with privacy.

15 You know, we have the Auto Ice (sic) Act set  
16 up right now. Industry is thinking long and hard  
17 about this. I mean, this is the beginning for many of  
18 them, as well, particularly the OEMS rather than some  
19 of the tech companies who've gotten involved.

20 Cybersecurity is going to be a growing  
21 issue, privacy's going to be a growing issue, and data  
22 ownership and management's going to be a growing

1 issue. But, like I said, I think one thing that  
2 concerns us or would concern us about mandating the  
3 safety assessment letter at this point -- whether at  
4 the state level as we've unfortunately seen draft  
5 rules from California that go in that direction or at  
6 the federal level -- is that, particularly with  
7 respect to data ownership, right now there's a lot of  
8 concern at this early stage of the technology  
9 development where do we draw that line between what  
10 is safety critical data that NHTSA could use versus  
11 proprietary information that ought to be -- that ought  
12 to be protected by that private developer.

13 And I think it's not at all clear where that  
14 line is going to be drawn yet and I think that's an  
15 important -- going forward, that's going to be an  
16 incredibly important discussion that, you know,  
17 industry is going to have and NHTSA's going to have.

18 MR. HEMMERSBAUGH: Lots of questions. We're  
19 going -- speaking of questions, we're going to take  
20 questions from the audience in just a moment. And I'm  
21 going to skip over Reggie and give Peter a shot at  
22 that question.

1 MR. KURDOCK: This is like a lot of  
2 flyovers, right. That was a bad joke.

3 So I think -- and our public comments  
4 reflect this, too -- is that we think that the agency  
5 is right in kind of the topics that they have  
6 identified in the letter, but they need -- we feel  
7 like they need to be more specific to make sure that  
8 they're actually getting kind of that subsetted data  
9 in each topic that allows them to get some real useful  
10 information to see what's out there.

11 I think we're concerned -- I know especially  
12 our engineer on staff is concerned that, you know, if  
13 they remain so obtuse that there's just this huge  
14 amount of information and it really isn't all that  
15 useful why we certainly as a safety organization, you  
16 know, are always in favor of, you know, complete and  
17 honest disclosure.

18 We want to make sure that, you know, all the  
19 information that the agency is getting -- you know, we  
20 understand the agency's -- you know, the agency's  
21 limitations. That they're going to be able to -- to  
22 be able to analyze this information. It's the type of

1 information that they necessarily need to make -- make  
2 those critical decisions.

3 So I think a little bit more detail on those  
4 topics would be quite helpful.

5 MR. HEMMERSBAUGH: And I think we have  
6 indicated both in our public statements and in the  
7 guidance, itself, or the policy, itself, that we do  
8 regard this as an iterative process.

9 That we're going to get more information and  
10 that we're going to continue to collaborate with some  
11 of the agencies that have a little more expertise in  
12 cyber privacy and security and so forth.

13 Although we certainly did collaborate with  
14 them in the first instance to develop this. And I  
15 think part of what we're seeing here is that  
16 cybersecurity and privacy controls and so forth are  
17 very much of an emerging issue area and everybody's  
18 learning as we go along.

19 I don't know if we have any questions, do  
20 we?

21 MR. FIKENTSCHER: We have one question. Are  
22 motorcycles included in NHTSA's pre-emergent safety

1 assurance program before HAVs are certified as safe?

2 MR. HEMMERSBAUGH: Are they included before  
3 HAVs are certified as safe? I'm not entirely sure  
4 what they mean by that question, but we intend to  
5 include in the guidance all automated motor vehicles.

6 And so if the -- if the question is whether  
7 there should be some time to certify all other kinds  
8 of vehicles before motorcycles, I -- that's not the  
9 intention.

10 We have a couple minutes in case if anybody  
11 out in the audience has a question that they'd like to  
12 offer.

13 MS. SWEET: Hang on a second, Paul, if I  
14 can. So that question came from Rick. If you could  
15 stand up and hop to a mic for a moment if you don't  
16 mind.

17 Just to clarify, are you looking for whether  
18 or not the motorcycle is included as one of the motor  
19 vehicles to be -- to have a safety assessment letter  
20 or are you looking for motorcycles to be included in  
21 what is looked at by the -- by the automated vehicle  
22 like as they can see and detect and everything like



1 that?

2 So I guess it's --

3 (Speaker off mic.)

4 MS. SWEET: Can you do a microphone just for  
5 the web folks and the court reporter?

6 So I think that was one of the -- and since  
7 it's also something that's come up in the comments I  
8 just would like --

9 RICK: Yeah.

10 MS. SWEET: -- you to clarify that, as well,  
11 just for the general public.

12 RICK: We just want to make sure that the  
13 automobile manufacturers that design these systems  
14 also include motorcycles in the algorithm and software  
15 and hardware so that they can be recognized before  
16 anything happens.

17 So that's what we want some assurances from  
18 NHTSA and also the manufacturers. That's what we're  
19 looking for.

20 MR. HEMMERSBAUGH: Okay. I obviously can't  
21 provide any manufacturer assurance, but, I mean, I  
22 think it is our intention to be inclusive and to take

1 the lessons learned with respect to automated  
2 technologies and so forth and that they should be  
3 equally applicable to motorcycles, as well, to the  
4 extent they're -- it's a good fit.

5 RICK: All right. Thank you.

6 MR. HEMMERSBAUGH: Thank you.

7 MR. FIKENTSCHER: Re FAA airlines and  
8 carriers enjoy liability protection in exchange for  
9 opening their books on safety. Will NHTSA support the  
10 same in regards to FAV manufacturers?

11 MR. HEMMERSBAUGH: One of the things our  
12 administrator, Marc Rosekind, is fond of saying at  
13 these things is don't make new policy. So I'm not  
14 going to try to make any policy pronouncement on that  
15 and I suspect Congress would have something to say  
16 about that before we did that so.

17 MR. GOVEN: I do want to say it's not clear  
18 to me that there's a limitation on liability at all.  
19 I mean, the agency in exchange for voluntary  
20 disclosure of a range of issues, not just safety  
21 issues, has, in fact, not immunized the participants  
22 in these programs.

1           The agency continues to be able to take  
2 enforcement action against intentional and reckless  
3 misconduct, against falsification, a whole range of  
4 matters. But for your less serious matters we do say  
5 that the disclosure would protect because our ultimate  
6 goal is not a \$25,000 civil penalty, it's to develop  
7 the data and the information that then could be spread  
8 throughout the industry so that we up everybody's  
9 safety game.

10           And it's not clear to me that, you know,  
11 trying to fine tune these proposals so that they meet  
12 some eventuality yet to come is the right approach.  
13 Let's get the conversation stated, let's get the  
14 information in, let's figure out what we don't know  
15 and then figure out what's the best response when we  
16 find out what we don't know.

17           MR. KURDOCK: Hey, Reggie, I think it would  
18 be helpful, especially for me, could you talk more  
19 about when you say "less serious matters," what, you  
20 know, an example of that would be?

21           MR. GOVAN: Well, it's the non-intentional,  
22 the non-reckless matters that are honest mistakes

1 rather than falsifications. There's a continuum of  
2 misconduct out there or a continuum of non-compliance.  
3 And the intention misconduct is the worst form and  
4 that is something that the agency will always take an  
5 enforcement action.

6 And as you go down that list of severity  
7 when something is truly an honest mistake and can be  
8 remedied with other than a civil penalty action, the  
9 agency's more than happy to do it.

10 Sometimes that's corrective action in terms  
11 of a change of manufacturing process or in terms of  
12 hiring a different quality of staff in a particular  
13 department, hiring a different type of engineer. For  
14 an airman it may be going back and getting a refresher  
15 training just like they do when you get too many  
16 tickets in your car for speeding. There's a whole  
17 range of things. But there's -- immunity is not the  
18 case.

19 MR. HEMMERSBAUGH: Thanks, Reggie. And  
20 thanks to all of our panel members. And I think I'll  
21 try to keep us close to on schedule for the first  
22 panel. And, Tim, you've got a challenge to see if you

1 can get it done more quickly than I did.

2 Premarket Assurance next -- Approval next.

3 MS. WILLIAMS: So while we set up the second  
4 panel I just want to introduce our moderator will be  
5 Mr. Timothy Mullens. He's an attorney adviser to the  
6 Office of the Secretary of Transportation.

7 And this will continue that discussion on  
8 Premarket Approval so there will be a little bit of  
9 overlap with this panel.

10 MR. FIKENTSCHER: Guys, I'd like to clarify  
11 a little bit. This is an opportunity to ask questions  
12 of the panel, not directly to NHTSA about things  
13 inside of the policy. Thank you.

14 MR. MULLENS: Just let me know when we are  
15 ready to start.

16 MS. WILLIAMS: Yeah. Go ahead and take it  
17 away if you're ready.

18 MR. MULLENS: Okay. Great. So good  
19 afternoon. I'm Tim Mullens with the Department's  
20 Office of General Counsel. I'm here to discuss the  
21 section and policy on Premarket Approval Systems for  
22 automated vehicles.

1 As Paul had indicated, there are some  
2 significant overlap obviously between premarket  
3 assurance and premarket approval, but they are  
4 fundamentally different in that premarket approval  
5 goes a bit further and requires that the regulator  
6 actually affirmatively approve a vehicle before it is  
7 allowed for sale.

8 And we discussed that a fair amount in our  
9 policy document primarily at a preliminary level and  
10 sort of more and more for the floating the idea and  
11 asking questions about how it could be designed for  
12 automated vehicles.

13 And we requested comment and we received  
14 quite a bit of comment on that. And so I'm hoping  
15 this panel today will allow us to talk through some of  
16 those issues and just sort of the concept, in general.

17 Before we begin, though, I'd like to  
18 introduce our panelists. To my right is David  
19 Strickland. David Strickland's currently a partner in  
20 Venable's regulatory group where he focuses on  
21 transportation policy, consumer protection, Internet  
22 privacy, data security, and legislative and government

1 affairs.

2 Prior to joining Venable, Mr. Strickland  
3 served as the administrator of NHTSA where he worked  
4 on a number of issues, including the first statement  
5 that the Department had issues on automated vehicles  
6 back in 2013.

7 Prior to joining NHTSA, Mr. Strickland spent  
8 eight years on the staff of the United States Senate  
9 Committee on Commerce, Science, and Transportation as  
10 Democratic Senior Counsel.

11 To David's right is Bryant Walker Smith.  
12 Mr. Smith is an assistant professor in the school of  
13 law and the school of engineering at the University of  
14 South Carolina. He's also an affiliate scholar at the  
15 Center for Internet and Society of Stanford Law School  
16 and chair of the Emerging Technology Law Committee of  
17 the Transportation Research Board of the National  
18 Academy of -- International Academies.

19 Mr. Smith's research focused on risk,  
20 particularly tort law and product liability in  
21 technology, including automation and connectivity and  
22 mobility including safety regulation.

1 He has the honor of teaching a first-ever  
2 course on self-driving cars and is considered an  
3 expert throughout government, industry, and media.

4 Our final panelist is Mr. Tom Karol. Mr.  
5 Karol serves as general counsel in the federal -- for  
6 -- in Federal, I think that's how you say it, in the  
7 National Association of Mutual Insurance Companies or  
8 NAMIC.

9 There Tom represents NAMIC in Washington on  
10 issues impacting private property casualty insurance  
11 companies and is primary management of NAMIC's  
12 response to Dodd-Frank legislation and regulation.  
13 He's also the leader of NAMIC's investment services  
14 practice.

15 So it's in the policy and in -- obviously in  
16 the response in the comments we've seen this has been  
17 an issue of significant interest and it -- because it  
18 raises quite a bit of number of issues.

19 And I think we want to start it off by  
20 starting at the higher level and as the discussion  
21 goes on, maybe working our way down. So just for our  
22 panelists we can just go, I think, one at a time.



1           If you want to just quickly describe how you  
2 think a premarket approval system could work for  
3 automated vehicles. And to the extent you find it  
4 useful comparing it to the existing systems for  
5 vehicles including NHTSA's self-certification system  
6 and type approval used by the European Union.

7           MR. STRICKLAND: We negotiating on the spot  
8 here.

9           MR. SMITH: That's generous of you. Thank  
10 you, David.

11          MR. STRICKLAND: Thank you, Professor.

12          MR. SMITH: So as you noted in your  
13 introduction, there is not one definition of approval.  
14 And, in fact, the range from assurance to approval  
15 really is a spectrum.

16          Thanks -- thanks in large part to NHTSA's  
17 leadership we now have one unified set of definitions  
18 of levels of automation so in no way am I trying to  
19 upset that, but I'll note that those levels came in  
20 part from Tom Sheridan's earlier levels of automation.  
21 And those levels are actually instructive when we  
22 think about what approval might be.

1           So just replace computer with developer and  
2 human operator with regulator in those definitions and  
3 we have a sense of how a regulator might approach a  
4 developer.

5           So you could have in the most extreme kind  
6 of type approval where the developer needs to present  
7 a specific product that the developer, then, approves  
8 in that specific form as a one-time approval.

9           But you can move into much more flexible  
10 regimes, including ones that start straddling with  
11 assurance where, for example, the developer notifies  
12 the regulator what it is doing or where the developer  
13 notifies that regulator and the regulator has an  
14 opportunity to veto that action rather than require  
15 affirmative approval or where the developer presents  
16 it and the regulator has the option to say yes or no,  
17 but not the obligation and moving lower and lower to  
18 the point that the greater control is exercised.

19           And that's useful in thinking about this  
20 because we're encountering a regime where a lot of  
21 things are going to be flux. What we mean by a car or  
22 a product or a service is going to change. As over-

1 the-year updates effect more of the fleet, product  
2 will not be static. It will be dynamic.

3 NHTSA, much like FAA, is seeing a world  
4 where a relatively small number of developers could  
5 rapidly expand into a much larger and more diverse  
6 group of developers, manufacturers, and deployers and  
7 that diversity will raise a lot of issues that may be  
8 new or difficult for the agency.

9 Regulating a large automaker may be  
10 fundamentally different than regulating a startup and  
11 may require new tools.

12 It will also potentially require a  
13 commitment to funding and staffing. And I want to put  
14 that out there really not for the -- not for NHTSA or  
15 the DOT's ears, but for -- but for Congress's ears  
16 that if you ask more you do need to provide more.

17 And ideally you would see funding tagged to  
18 the workload. Conversely you might even see the  
19 specific regulatory tools that are used tagged to the  
20 funding so that an agency with lots of resources could  
21 do a detailed type of approval. An agency with very  
22 few would have to rely necessarily and perhaps legally

1 on a different set of tools.

2 This is one of the reasons why I have  
3 advocated for what I call the public safety case which  
4 is returning back to the developer and saying tell us  
5 what your safety philosophy is, tell us what safety  
6 means to you, how you will define it, measure it,  
7 monitor it over the lifetime of the system.

8 That's similar in some ways to the  
9 functional safety that was introduced in the previous  
10 session and could provide some of that flexibility  
11 within the context of type approval without being such  
12 an extreme form of that premarket approval.

13 MR. MULLENS: Great. So, David, would you  
14 like to respond?

15 MR. STRICKLAND: I knew that Bryant would be  
16 very open and thoughtful about the possibilities in  
17 regimes of how you structure premarket approval so I  
18 could be able to do this.

19 I don't think a premarket approval scheme  
20 works at all on this context. See, I actually up here  
21 giving good information. Good negotiation, right?

22 MR. SMITH: Nice run in, yeah.

1 MR. STRICKLAND: Yeah, exactly. This is  
2 truly a notion of if it's not broken don't fix it. I  
3 think ultimately when you're talking about finding a  
4 way to leverage resources of an agency that needs, as  
5 Bryant alluded to, to be able to grow its resources in  
6 this particular regime to be able to put together  
7 whatever notion of a premarket approval process may  
8 be.

9 It will be limited in scope as to what it  
10 promises the American public in terms of its safety  
11 promise. Ultimately, as Professor Smith was talking  
12 about, a more iterative regime which is captured by  
13 self-certification where there is an exchange of  
14 constant information as things develop and things are  
15 in flux.

16 I think that premarket approval I think in  
17 some instances in federal governments and other  
18 agencies rings a bit hollow. We could talk about the  
19 -- the premarket approval processes in the Federal  
20 Drug Administration where, frankly, it is actually  
21 used as actually a shield for, frankly, lowest common  
22 denominator.

1           And I think that's probably a concern of  
2 many is like how do you speak to a process that make a  
3 promise to the American people which, frankly, will  
4 never ultimately be fulfilled and the only thing it's  
5 going to do is, frankly, slow technologies down that  
6 can aim at -- specifically at 35,092 that died last  
7 year.

8           So I think from a perspective of my  
9 coalition members and many the continuation of the  
10 self-certification regime, the liability  
11 responsibilities that you have for issuing any new  
12 technology into the marketplace that never goes away.  
13 And having an iterative process with the agency  
14 talking about how you sort of -- how -- what is your  
15 long-term philosophy on how you sort of deal with  
16 safety.

17           But, more specifically, as you develop new  
18 technologies having that, frankly, open process which  
19 we've all worked with for a very long time have proven  
20 very successful. And I don't think there is a need to  
21 go to a premarket approval process for additional  
22 assurances.

1 MR. MULLEN: Thank you, David. Mr. Karol,  
2 if you'd like to make your introductory remark.

3 MR. KAROL: I'm in the unique position of  
4 following two brilliant people with different opinions  
5 and trying to come up with something new to say so.

6 We have a unique position in that we are a  
7 highly regulated industry. We report as much as  
8 anybody does and we understand the burdens of  
9 reporting and pre-reporting and continually reporting.

10 On the other side our business is basically  
11 based on the continued analysis of the frequency and  
12 severity of incidents based on historical trend so we  
13 need that type of information.

14 So I think that we're -- overall our opinion  
15 would be that preapproval could have certain benefits,  
16 but the key is to focus on the right information.  
17 I've been part of other tasks and other organizations  
18 where they collect a basket of information that really  
19 doesn't tie into safety necessarily.

20 And most of the safety information we have  
21 is actually responsive, not predictive. It's not a --  
22 we don't predict an accident based upon the

1 intelligence or the education of a person, but  
2 basically get the historical data after it's happened.

3 So I think the preapproval -- I think the  
4 collection of data and the review of data relevant to  
5 actual incidents when something goes wrong that's the  
6 really relevant information.

7 And the -- NHTSA has a number of abilities  
8 to enhance advanced data recorders, require further  
9 reporting after incidents happen, things like that.  
10 One of the things that the FAA's doing with drones now  
11 is after an event -- after an incident has happened  
12 more reporting there.

13 We think that's the type of most valuable  
14 information relevant to both safety and our business  
15 and that's where we would come down.

16 MR. MULLENS: Great. Thank you. So I think  
17 after everyone's made their initial statements maybe  
18 it makes sense since Bryant had a positive -- more  
19 positive take and David came down pretty quickly on  
20 that so maybe we should have Bryant to kind of -- and  
21 you were sort of in the middle, which was good.

22 MR. KAROL: Thank you.



1 MR. MULLENS: Focusing on the information  
2 which I think is important. I think it goes to maybe  
3 where I think people are closer on it. But maybe if  
4 you wanted to say why you think maybe it would work or  
5 maybe even how -- what David and also Tom described as  
6 -- or David iterative process and Tom's focused on  
7 information, maybe similar to where you're talking  
8 about with your continuum of what approval means.

9 MR. SMITH: Sure. Sure. No, I'm happy to  
10 be the strawman here. Because, to be clear, I'm not  
11 advocating for an extreme form of prior approval so we  
12 can talk about what the best approach would be or we  
13 can talk about were NHTSA on its own or more likely  
14 through Congressional direction to implement one of  
15 these more extreme versions, what would be a good way  
16 of going about it.

17 And I would maybe try to selfishly reconcile  
18 what we've each said and say that there are a number  
19 of tools that are currently or could be available to  
20 NHTSA which can be deployed in a way that might mimic  
21 premarket approval in some ways.

22 So the kinds of letters and inquires that

1 NHTSA is empowered to do and does do today is a way of  
2 intervening even before a product might reach the  
3 market, mandating particular information, and then  
4 setting the agency up to intervene at the point that  
5 it might see a problem.

6 Now, that's not the same as requiring every  
7 developer to go before the agency and get specific  
8 approval, but if implemented it may have the same  
9 effect.

10 Likewise, the combination of the 15-point  
11 safety assessment that we've seen along with state  
12 authorities and NHTSA's other existing regulatory  
13 authorities in some ways can function as this  
14 premarket approval in a soft form.

15 So a state might require that a developer  
16 file one of these letters as a condition of operating  
17 on that state's road. And the policy is a bit of two  
18 minds on this possibility depending on the section  
19 that you read, but it seems like a possible approach  
20 for a state to take.

21 At the point that such a letter is filed,  
22 the NHTSA could, very consistent with existing law,

1 essentially look a little more favorably on the  
2 companies that are complying than the ones that are  
3 not in its investigations, in its follow up, in its  
4 handling. Not favoritism, but simply recognizing the  
5 information that's available and has been presented.

6 All of these are ways of providing that  
7 shack of constructing some kind of gateway through  
8 which developers might pass without setting up the  
9 agency for a yes/no assessment on every single design  
10 decision or every single product or product iteration  
11 that comes out.

12 I share David's concern particularly with  
13 respect to the FDA not only for the floor problem, but  
14 also for the capacity problem. When we're talking  
15 about real potential safety innovations we do not want  
16 a regulatory system where approvals are measured in  
17 years or decades rather than months. And unless you  
18 fix the resource side that's the reality for these  
19 technologies.

20 MR. STRICKLAND: Just to follow up on what  
21 Bryant was saying. I think ultimately, you know, you  
22 ask a question of what does premarket approval do what

1 self -- self-certification does not.

2           And the only answer I've been able to call  
3 up -- and I turn to my panels or others -- is  
4 basically if there is a need that there is some larger  
5 and more inherent risk created by the technology or to  
6 be able to calm the public about accepting the  
7 technology because there's some imprimatur being  
8 placed upon this technology by the federal government.

9           And I, frankly, don't see there being a  
10 need. Ultimately it's going to be about the  
11 performance of the technology, frankly, in the  
12 marketplace in a way that is self-assured.

13           If you think about other active safety  
14 systems that are, frankly, in the status quo right now  
15 which are the foundational steps to full self-driving,  
16 you didn't need to sell the public on a premarket  
17 notion. They basically -- you made basically  
18 companies lean view, made the safety case, made the  
19 business case and took on their own risk to do so.

20           And I think ultimately regardless of I think  
21 what Bryant is talking about is probably, to be  
22 perfectly blunt, is, frankly, a more robust

1 interaction on the self-certification process which I  
2 think fits the regime properly. Some notion of  
3 whatever checkmarks that need to be checks I don't  
4 think will ever be complete enough. I think we'll  
5 always -- you know, it will be an impediment and,  
6 again, ultimately what is the question that we're  
7 trying to answer with.

8 And I am always all ears, you know, if folks  
9 decide to go in this direction in advocacy about we  
10 need premarket approval because of X, but I remember  
11 my time in meeting with my European counterparts when  
12 I was serving with the agency.

13 Premarket approval was basically it was like  
14 the David Strickland version of preparing for an exam  
15 in college. You cram like hell for one car to get  
16 through the premarket approval process in Europe and  
17 then it gets left alone, which I think is horrible for  
18 safety and I don't think it really does anything.

19 MR. SMITH: Yeah. But it worked well for  
20 you.

21 MR. STRICKLAND: Well, you know, I just got  
22 lucky. But I think that, you know, as Bryant said, I

1 mean, clearly we're going to have to have some new  
2 thinking about the self-certification process and how  
3 we evolve in thinking about a performance standard  
4 versus maybe a process standard in thinking about  
5 software, things of that nature, yes.

6 But I think that the regime works for this  
7 as well as it does for anything we work in technology.

8 MR. KAROL: Well, one of the concerns that I  
9 have predominantly is, it was touched on by David, is  
10 just the pure complexity of this. You're going to  
11 have a car or a vehicle built not by one entity, but  
12 by probably literally hundreds of entities where these  
13 -- this software and the technology has to integrate.

14 And to be able to be smarter than all of  
15 those collectively and have a pre-review process that  
16 really provides you some level of comfort is going to  
17 require an enormous amount -- as Bryant said, an  
18 enormous investment in terms of making the federal --  
19 making NHTSA have the ability internally or externally  
20 be smarter than the collective businesses out there  
21 creating things every day.

22 So I'm worried that if you're creating too

1 high of a standard the public is going to start  
2 assuming that that level is provided there without the  
3 investment being made.

4 MR. MULLENS: So -- go ahead.

5 MR. KAROL: Oh, just to add two things to  
6 this fascinating discussion. First, I would also be  
7 concerned at any point that the agency reaches a  
8 decision that could then be subject to subsequent  
9 challenge by other parties. So that's another level  
10 of delay which we might not consider as the regulatory  
11 model, but as part of the broader judicial model.

12 The second is I think we're principally  
13 looking at premarket approval as the approval, as the  
14 stick, but there are ways that it could be designed as  
15 a carrot. So you could have the existing regime  
16 complete with existing FMVSS or existing regulatory  
17 requirements that might conceivably be inconsistent  
18 with some visions for automated driving.

19 And in alternative to meeting those or  
20 another way of meeting those could be what is an  
21 essentially a premarket approval process where a  
22 developer goes and says, well, here is why our system

1 is reasonably safe and why we are able to achieve  
2 reasonable safety notwithstanding these impediments in  
3 the FMVSS.

4 This is consistent with the current limited  
5 scale exemptions model and also very consistent with  
6 the FAA's approach. And so there is -- it's another  
7 way of conceiving this that might be less harsh and  
8 burdensome while still providing that flexibility.

9 MR. MULLENS: So one question I had as you  
10 guys have been talking about, you know, some struggles  
11 with premarket approval or using kind of maybe a  
12 beefed up self-certification or kind of more safety  
13 assurance is to what extent do you think that the  
14 regulatory regime is actually the driving issue and  
15 rather following the technology challenges?

16 So what is sort of inherent in the  
17 difficulty in regulating automated vehicles or other  
18 sorts of advanced technology that premarket approval,  
19 you know, says government says it can -- it's only  
20 allowed if we say it's safe, but the other regimes  
21 have a little bit more of a back and forth. But  
22 they're still fundamentally answering the same



1 question of when is this vehicle safe.

2 MR. STICKLAND: I think ultimately the  
3 difficulty is when you're actually have the machine as  
4 an operator. And an operator has to basically absorb  
5 the multi-variable notion of chaos and how to respond  
6 to it.

7 And there's -- with the FMVSS and the  
8 particular performance tests it is a -- wrote here's  
9 how we expect this vehicle to perform. It has to  
10 brake within a certain number of feet, has to be able  
11 execute a J-turn and kick in the electronic stability  
12 control to make sure we don't have -- all those things  
13 are very much point blank questions about performance.

14 Where you now have a vehicle that ultimately  
15 when it's going to be -- it reaches the higher levels  
16 of automation it's going to have to manage the entire  
17 driving task and all aspects of it.

18 And there's no way that you can actually put  
19 together a test that, you know, that's going to say,  
20 yes, this can handle all operations. Ultimately it's  
21 going to have to be some notion of software process  
22 and then it's going to be, you know, an attestation to

1 being able to perform safely on the roads.

2 And then ultimately it's going to turn into,  
3 then, frankly, reviewing the performance of the fleet,  
4 performance of a vehicle, and then if it isn't  
5 performing as expected then you go through the defect  
6 and recall process.

7 So I think that's the reason why how do you  
8 set up a premarket approval process it's just it  
9 impacts self-certification, as well, in terms of the  
10 being an operator is not a performance test. It's  
11 going to be a long-term observation and making sure  
12 that, frankly, those that are put in that vehicle on  
13 the road have taken the initial, you know, liability  
14 analysis and making sure the vehicle performs as  
15 expected.

16 It's a Sig Sigma way of handling the  
17 particular operational domain and I think that's the  
18 -- I think that's the reason why it's so difficult.

19 MR. SMITH: Yeah. Regulation should correct  
20 market failures. And so we should ask in this case  
21 whether there are or are likely to be specific market  
22 failures that require reform of or replacement of the

1 existing regulatory system.

2 One potential market failure could be a lack  
3 of legal clarity. But here I expect that there is  
4 enough incentive within industry to analyze existing  
5 law and to determine whether that law is sufficient  
6 for the particular vision of automated driving. And  
7 if it's not, to seek legal change consistent with that  
8 vision.

9 So unless there are smaller companies or  
10 disadvantaged concepts that are going to be ill served  
11 by the existing economic verses that might not be  
12 something that agencies have to worry all that much  
13 about.

14 What are the market failures? Well, one  
15 might be safety. And we've spoken a lot about that.  
16 And it's true that the diversification of the industry  
17 could present new safety challenges and new failures  
18 of the existing model. That's worth looking into.

19 And the third -- and David really alluded to  
20 this -- was trust. Is it possible that the general  
21 public will be unable to get the information they want  
22 or need about these systems in order to determine the

1 relative safety or will be unable to understand or  
2 process that information in a way that means they  
3 trust too much or trust too little in these systems.

4 And that's where NHTSA can -- can convey the  
5 trust of the federal government -- and I use that very  
6 earnestly because I think that there is that trust --  
7 onto new technologies that do have real lifesaving  
8 potential.

9 MR. KAROL: I think the only thing I would  
10 add to that is it will never be completely safe.  
11 There will be an accident, there will be a series of  
12 accidents. It's basically trying -- from our business  
13 we're just calculating what's the likelihood, what's  
14 the down side of that.

15 And I think the conveyance of that to the  
16 general public would be also that -- a key part, too,  
17 is that we're not going to round all the corners.  
18 We're not going to make everything perfect. There's  
19 going to be something that happens.

20 And no matter how much you disclose to them  
21 nobody reads those little things you get for your  
22 software updates, nobody reads any of the -- I would

1 have -- most people don't read their insurance  
2 contract, most people don't read their --

3 MR. STRICKLAND: I love my insurance  
4 contract, Tom.

5 MR. KAROL: Yeah. The night before you sign  
6 it. But, you know, it's going to be the conveyance  
7 of, hey, you know, we're doing the best we can. We're  
8 reasonably there is all you can expect.

9 MR. MULLENS: All right. Thank you. It  
10 seems like we've seen -- I've seen a number of index  
11 cards coming their way so if you guys want to read  
12 whatever one's you've selected.

13 MS. SWEET: Hang on one second. All right.  
14 I apologize. Okay. Established safety commitment is  
15 not driven by certification requirements whether a  
16 self-certification or type approval rather driven by  
17 reputation, liability, risk aversion, and ethics.

18 Concern seems to be more with startups. Why  
19 not consider separate requirements for startups?

20 MR. SMITH: Volkswagen. No. So --

21 MR. STRICKLAND: Yeah. He went there.

22 MR. SMITH: It's true. There are a lot of

1 forces that will act on established companies that  
2 will not act on startups and that's why I talk about  
3 market failures.

4           There are financial considerations including  
5 liability considerations, reputational considerations  
6 that certainly effect financial considerations all of  
7 which are part of the regulatory picture when we ask  
8 whether the market is functioning to achieve goals or  
9 not. And in some cases it will and others it will  
10 not.

11           We've seen states that are more comfortable  
12 with this approach of asking who's acting rather than  
13 what they're doing. Nevada establishing entry  
14 barriers, Michigan in legislation that was signed just  
15 last week likewise setting up sort of these special  
16 categories of privileged actors.

17           And that makes more sense in some ways as we  
18 shift from products to really actors and activities as  
19 the locust of regulation.

20           MR. STRICKLAND: And ultimately especially  
21 in the context of testing on public roads when you  
22 think -- think the notion of having entry barriers to

1 entry to make sure that you have companies that are --  
2 have a very healthy risk assessment regime are  
3 properly capitalized so that they have financial  
4 responsibility for any mistakes that may happen on the  
5 road that may lead to a crash.

6 Those are all incredibly important. And  
7 ultimately it's what the agency does to this day which  
8 is there is a range of actors which the agency is very  
9 familiar with. Some of them are very large and very  
10 sophisticated, some of them are very small and  
11 occasionally obstreperous and occasionally violative  
12 of, frankly, the FMVSS's policies laughing.

13 And they deal with that right now today.  
14 And the last thing we want to ever do is to be -- be a  
15 barrier that's too high so that innovators and  
16 innovations that may not necessarily be at the largest  
17 most sophisticated companies are there.

18 But we need to be able, as Bryant said, have  
19 those assurances that we protect the entire ecosystem  
20 from risks that may not be appropriately dealt with.  
21 And so focusing just on startups and leaving everybody  
22 else alone may not necessarily be the right

1 temperature of the oatmeal, but I think finding sort  
2 of that place where, yes, you have particular levels  
3 of barriers of entry to make sure that those players  
4 are doing the right things in the place. And new  
5 entrants having a pathway where there may have to be  
6 more of an upfront, I guess, proof to the agency may  
7 be a process. But I don't think there should ever be  
8 a notion where the agency as a regulator should just  
9 simply, you know, write off the largest most  
10 sophisticated just because they are.

11 MR. FIKENSTSCHER: We have two more  
12 questions. I'll give you the less difficult I think  
13 of the two first.

14 This discussion is focused on who does the  
15 certification, but what they are certifying is a more  
16 important question to consider. Given that precisely  
17 defined FMVSS's do not exist in the automation domain,  
18 what should be certified?

19 MR. KAROL: As I said in the first, we think  
20 that the post-incident date is the most relevant. We  
21 think that, you know, finding out when something is  
22 actually happened and doing the post-mortem, the



1 forensic of that, going back and finding what are the  
2 common factors there.

3 It's not a -- we think that, you know,  
4 whether the states, the federal does it or, frankly,  
5 the insurance companies is a backstop that has not  
6 been discussed because you can certified everything  
7 you want. But if we find that the numbers are wrong  
8 your insurance is going to be so high that you  
9 probably can't have this thing.

10 So there's going to be a cooperative effort  
11 between the entities there and they'll -- you know,  
12 someone will look at, you know, whether the systems  
13 work, someone will look at the range ability of the  
14 material.

15 We're going to look at what's the likelihood  
16 we're going to lose money.

17 MR. SMITH: Here I would shift from  
18 performance standards in the specific way that the  
19 FMVSS implements them to really process standards.  
20 And -- and certify or ask whether the developer and  
21 deployer has a reasonable approach to the activity,  
22 whether that activity is testing onto deployment.

1           That is have they presented a plan that  
2 shows that they understand the risks? That they have  
3 broadly considered not just those risks in the design  
4 of their system, but ultimately in the implementation  
5 and ongoing monitoring and eventually termination of  
6 that system, whether they have the competencies and  
7 the care and the credibility to be worthy of trust.

8           This is -- this is very similar to  
9 functional safety in many ways. And it's less about  
10 are you checking boxes are you meeting specific  
11 requirements and more about are you making a  
12 reasonable good faith effort.

13           And that's how I would judge any application  
14 or proposal or review depending on the regime and ask  
15 not is it correct, not is it precisely mapped on a  
16 specific requirements, but all things considered, is  
17 it reasonable.

18           And previously at these I've talked about  
19 ways that courts and agencies can ask this question  
20 and really the case law that's developed to assist  
21 them in answering that.

22           MR. STRICKLAND: I agree with Bryant. It's

1 going to be some type of a process system to evaluate  
2 a self-driving system. I think the 15-point safety  
3 assessment letter sort of talks about some of those  
4 things like about, you know, identify your operational  
5 design domain as an example.

6 Not necessarily this is what you need to do,  
7 but explain to us your process of what you did.  
8 Because this technology's going to be evolved in  
9 multiplicitous different ways from every designer and  
10 manufacturer.

11 And ultimately the goal is I think, as  
12 Bryant said, making sure that, you know, the deployer  
13 of the technology will stopple and took care of those  
14 basic notions of functional safety I think it going to  
15 -- ultimately where this is going to have to land for  
16 the regulator to be able to have thoughtful and  
17 efficient input in making sure that those reasonable  
18 safety issues are taken care of.

19 MR. MULLENS: Okay. Do we have one more  
20 question?

21 MR. FIKENTSCHER: We really don't have time  
22 for the last question.

1 MR. MULLENS: Oh, okay.

2 MR. FIKENTSCHER: So I think we're going to  
3 call it as this.

4 MR. MULLENS: Great. All right. Well,  
5 thank you all so much. It sounds like we hopefully  
6 had a good discussion on both premarket approval, but  
7 also just any sort of process that goes a little  
8 beyond the traditional self-certification process that  
9 gets a little more involved in the discussions and  
10 processes that went into making the vehicle and the  
11 software. Thank you.

12 MS. WILLIAMS: So big thanks again to our  
13 first panels. And as we're setting up the third  
14 panel, this panel's going to be on Imminent Hazard  
15 Authority. And it's going to be moderated by Ms.  
16 Kerry -- Kerry, can you pronounce your last name for  
17 me?

18 MS. KOLODRIEG: Kolodrieg.

19 MS. WILLIAMS: Kolodrieg?

20 MS. KOLODRIEG: Kolodrieg.

21 MS. WILLIAMS: Apologize. I didn't want to  
22 butcher it. And she serves as a trial attorney for

1 NHTSA. So we're just going to get them set up.

2 And for the panelists when you are ready to  
3 speak there is a little speaker button that you'll  
4 have to hit that'll light up your microphone. Yep.  
5 You got it.

6 So following this panel we will take a 15-  
7 minute break. So, Kerry, when you're ready go ahead.

8 MS. KOLODRIEG: Thank you. My name is Kerry  
9 Kolodrieg. I'm the acting assistant chief counsel for  
10 litigation and enforcement. And we're here to talk  
11 about the Imminent Hazard Authority proposal in the  
12 policy.

13 I think most of my panelists are known to  
14 the audience, but I'll take a brief minute here to  
15 introduce them.

16 Next to me is the Honorable Rodney Slater.  
17 He served as the 13th Secretary of Transportation from  
18 1997 to 2001. Secretary Slater previously served as  
19 administrator of the Federal Highway Administration  
20 from 1993 to 1997.

21 Secretary Slater currently is a partner at  
22 the law firm Squire, Patton, Boggs where his practice

1 focuses on, no surprise, transportation. He's one of  
2 the firm's corporate compliance advice practice  
3 leaders.

4 Specifically in the area of vehicle safety,  
5 Secretary Slater has led Toyota's Safety Advisory  
6 Panel and he currently serves as the independent  
7 monitor of FCA.

8 Next we have Sean Kane who is a safety  
9 researcher and advocate who founded and serves as the  
10 president of Safety Research and Strategies. Mr. Kane  
11 has a long history of working on vehicle safety issues  
12 having begun work with the Center for Auto Safety in  
13 1991.

14 He's worked on a wide range of vehicle  
15 safety issues including Firestone tires, Toyota  
16 unintended acceleration, heater core ruptures, engine  
17 fires, failed electronics, and other tire issues.

18 He's testified before Congress in the  
19 National Academy of Sciences and he regularly provides  
20 comments, testimony, and data to both NHTSA and the  
21 CPSC.

22 And then we have Erika Jones who is a

1 partner at the law firm Mayer Brown. Her practice  
2 focusing on regulatory matters involving vehicle  
3 safety and consumer product safety and related  
4 litigation.

5 Before joining Mayer Brown, Ms. Jones served  
6 in a variety of capacities in the federal government  
7 including as NHTSA's chief counsel from 1985 to 1989  
8 and as special counsel to the NHTSA administrator from  
9 1981 to 1985.

10 So, as I mentioned, we're here to talk about  
11 Imminent Hazard Authority. This authority would  
12 enable NHTSA to require manufacturers to take  
13 immediate action to mitigate safety risks that are so  
14 serious and immediate as to be imminent hazards.

15 So the first question is really the  
16 foundational one: Should NHTSA have this authority?  
17 Is it necessary? Would it be useful?

18 Give it over first to Secretary Slater.

19 MR. SLATER: Thank you, Kerry, and thanks  
20 for the opportunity to --

21 UNKNOWN SPEAKER: It's the third one in.

22 MR. SLATER: Right there? Very good. I

1 guess I should have started out by saying that I've  
2 always wanted to come to a NHTSA comment hearing so  
3 that I could learn about the specifics of making the  
4 speakerphones work and that sort of thing.

5 But let me say at the outset that I really  
6 want to commend NHTSA and the Department of  
7 Transportation in playing a leadership role and  
8 engaging the industry as we really try to get a grip  
9 on this dynamic, frankly, transition and  
10 transformation across the automotive industry.

11 And I think that NHTSA's done a great job  
12 providing leadership with it's -- with its principles  
13 and with this kind of hearing. And I'm just very  
14 pleased to be a part of the effort.

15 First of all, the Grow America Act, as you  
16 know, Kerry, actually had a provision that provided  
17 for the Imminent Hazardous Authority -- Imminent  
18 Hazard Authority.

19 You did not -- you mentioned it as a part of  
20 the tool book -- tool package, but you didn't  
21 necessarily take a position on it in the guidelines.  
22 I think that it's actually something that NHTSA should



1 have this authority.

2 If you look across the Department of  
3 Transportation, frankly, all of the other modes of  
4 transportation have this kind of authority. And when  
5 you look to the premiere role that NHTSA plays in the  
6 safety of the movement of Americans and in the  
7 leadership that it provides to its counterparts across  
8 the globe, this is the kind of authority that it  
9 should have and I think would use wisely.

10 I know that some of the comments that you've  
11 received thus far by the OEMs have not been altogether  
12 supportive of that. And the Auto Alliance has also  
13 expressed itself on this point, as well.

14 But one or two of the OEMs, as I recall, did  
15 respond positively but wanted some assurance that  
16 NHTSA would not exercise, you know, the authority  
17 responsibility without some checks and balances and I  
18 think over the course of the discussion we can talk a  
19 bit about that.

20 I would say that I think that NHTSA sought  
21 to address that issue again in the Grow America Act by  
22 saying that it would make this initial determination

1 and then the OEM would be afforded an opportunity to  
2 respond before a final decision. So I think that  
3 there was, at least in that effort, an attempt to try  
4 to balance the considerations, but, frankly, there may  
5 be other things that could be considered, as well.  
6 And I'm sure, as I've said, that we'll talk a bit  
7 about that over the course of this session.

8 MS. KOLODRIEG: Thank you. Sean, do you  
9 have any thoughts?

10 MR. KANE: Sure. Thank you. And, you know,  
11 I think right out of the box I'd say in having  
12 imminent authority is another tool for the toolbox and  
13 we're certainly in favor of that tool for the agency.  
14 And I think that serves some purposes.

15 But also I'll be a bit provocative and say,  
16 you know, it's really something that has to be  
17 properly supported and I'd also say that it's far from  
18 settled that the agency has the wherewithal right now  
19 to do this kind of imminent authority.

20 What I think was alluded to by the Secretary  
21 is there needs to be parameters. I mean, what we've  
22 already seen is, you know, the -- we're missing some

1 of the things that need to happen before that. And  
2 may -- I think there's the cart before the horse here.

3 Ultimately, if you're going to have these  
4 Imminent Hazard Authority, what you should be looking  
5 at first and foremost is preventive. What can be  
6 preventatively?

7 And I think if we looked back at the crises  
8 that have landed us in places like, you know, Takata  
9 or even some of these other areas from, you know,  
10 General Motors to Toyota going back to Firestone since  
11 you mentioned it that many of these things are rooted,  
12 in fact, in a lack of robust standards that have been  
13 in place to begin with.

14 The lack of a robust standard, the lack of  
15 an agency depth and understanding of the complexities  
16 of the issues associated with those things, and their  
17 inability to use the tools that are already in their  
18 toolbox effectively.

19 And so, again, I'll be provocative and say  
20 that I think a lot of those things need to happen in  
21 this cart before the horse is the there, but the --  
22 you know, there is certainly a place for this going

1 forward. And I think we're going to talk about that  
2 next is like what are some of the parameters that we  
3 could use it in.

4 But at the end of the day, you know,  
5 preventing the imminent hazard is really, I think,  
6 where we should be focusing first and foremost.

7 MS. KOLODRIEG: Thank you.

8 MS. JONES: I'm going to be a bit  
9 provocative, too, and say I think you already have it.  
10 You already have Imminent Hazard Authority. You have  
11 the authority under Section 30118 to order a recall  
12 after giving notice to the manufacturer and you have  
13 authority under 30163 of the Safety Act to enjoin any  
14 violation of that order.

15 And I don't know what more you get with  
16 imminent hazard than you already have. It's a very  
17 elegant tool. The Safety Act is -- has proven over  
18 the years to empower the agency to compel recalls.  
19 And the fact you haven't needed to use the judicial  
20 side of your authority for many years at least against  
21 a major manufacturer is a testament to the fact that  
22 when there are imminent hazards they are generally

1 recognized.

2 I can't envision an imminent hazard that  
3 wouldn't also be a safety-related defect. Well, to  
4 Sean's point, perhaps ones that haven't happened yet.  
5 But once they're in the market, once there is an  
6 imminent hazard arising in a product the -- it's going  
7 to overlap with the definition and the judicial  
8 construction of safety-related defect.

9 And the agency has ample authority right now  
10 without needing new legislation to order that recall  
11 and a court to enjoin a violation of that order and to  
12 compel the action by the manufacturer.

13 So I don't think you need new authority, but  
14 I would urge you to take a look at the Consumer  
15 Product Safety Act which is a sister agency to NHTSA  
16 except that they don't regulate in the motor vehicle  
17 space.

18 They've had Imminent Hazard Authority for  
19 decades and they don't use it. They don't use it.  
20 Even when they had exploding Samsung telephones, when  
21 they had Bucky Balls that were adhering inside  
22 people's intestine, they used the conventional process

1 of urging a voluntary recall which in those cases one  
2 went to a recall and one did not, but that is the  
3 process that they've chosen to use.

4 And the reasons may vary from chairman to  
5 chairman, but it's -- they certainly have not invoked  
6 that authority in at least 25 years and it's been on  
7 their books.

8 So I think one has to ask why not and what  
9 is it about the tools that you don't have that -- or  
10 what is it about the tools you do have that is  
11 inconsistent with getting a rapid recall when you need  
12 one.

13 MS. KOLODRIEG: Thank you. Let's assume  
14 that Congress does give us additional authority --  
15 Imminent Hazard Authority, under what circumstances --  
16 and I'll throw this to Sean first because you  
17 mentioned parameters.

18 What are the parameters? When is there an  
19 imminent hazard and the agency should take action?

20 MR. KANE: You know, that's a great  
21 question. And I think that looking at it as a  
22 baseline, you know, there has to be something

1 definable here. And I think this has been one of the  
2 significant criticisms of the agency over the years is  
3 that there really isn't a defined process particularly  
4 in the enforcement side as to how things get handled.

5 And so the absence of a process that's  
6 definable we've heard about this being art and science  
7 and there's no definable way. We've seen criticisms  
8 from these -- from the IG, we've seen criticism from  
9 the GAO about this and it plays out in real time.

10 There isn't a process why which certain  
11 things get addressed in the same way. And I think  
12 I'll give it a real time example of let's take some of  
13 the bridge technologies that have led us to the steps  
14 that we've been watching that are moving us towards  
15 autonomous where we have electronic stability control  
16 systems, for example, which we think we can all agree  
17 have been, you know, fabulously successful.

18 But at the same time absent a functional  
19 standard in place, okay, what we're seeing is failures  
20 within those systems that are taking the loss of  
21 control and taking the control away from drivers in  
22 the inconsistent application on the enforcement side.

1           So one manufacturer does a recall to fix  
2 that, okay, another manufacturer doesn't. And at what  
3 point -- you know, where are these definitions coming  
4 into play?

5           So, again, I think go back to the cart  
6 before the horse. If we're missing some of these core  
7 standards, then we can go back and history and look at  
8 each of these crisis which have really been rooted in  
9 the absence of underlying robust, modern standards in  
10 which the agency is -- has a depth of understanding of  
11 those standards we're going to continue down this  
12 path.

13           So Imminent, you know, Hazard Authority I do  
14 agree is another tool for the toolbox just like EWR  
15 was a tool for the toolbox, but it's got to be  
16 properly applied and the parameters and definitions  
17 need to be put in place in a way that I think the  
18 agency has been unwilling to do in the past and has  
19 been criticized by many for not doing that.

20           MS. KOLODRIEG: Thank you. Erika, I'll toss  
21 it to you next. You had mentioned CPSC has this  
22 authority and doesn't use it. I'd ask you to think



1 about the automated vehicle context specifically and  
2 if you think there's any differences here where it may  
3 need to be applied or not.

4 MS. JONES: Certainly there are differences  
5 when you're dealing with emerging technologies that  
6 are not as well understood as conventional  
7 technologies and that you may need to use the tools  
8 you have and any new ones that Congress gives you to  
9 address things that haven't been addressed before. So  
10 they don't necessarily have precedence or a set of  
11 parameters because at some point you're dealing with  
12 it for the first time so you have to make the rules as  
13 you go.

14 But I would note that, as Secretary Slater  
15 mentioned, many of the other -- perhaps all of the  
16 other modes at DOT have Imminent Hazard Authority but  
17 they are generally directed to an individual taking a  
18 plane out of service, a truck out of service, a rail  
19 car out of service and they have very rapid -- after  
20 that occurs the manufacturer, the operators, the case  
21 may be gets a very quick opportunity to get it back  
22 into service. It's very laser like targeted to an

1 individual issue.

2 That doesn't fit your regulatory model. You  
3 don't regulate the driver, you don't regulate the taxi  
4 cab fleet, you don't regulate -- you regulate only one  
5 leg of that stool and it's the manufacturer. And your  
6 authority generally is not exercised in isolated  
7 cases.

8 You look for a fleet wide problem or a  
9 general problem in a number of vehicles where the  
10 Imminent Hazard Authority of taking them out of  
11 service, which would be the parallel model to the  
12 other modes, doesn't really work.

13 Your tool is a recall if it is out of -- if  
14 it's unsafe or in the case of something that hasn't  
15 been built yet to regulate against it. And perhaps  
16 what you need is more flexibility on that front to be  
17 able to prevent something from coming to market which  
18 wouldn't really fit imminent hazard, but it might be  
19 some form of short-term temporary rule making that you  
20 could -- you could pause button on some technology.

21 I think somebody mentioned to me that Comma  
22 One might -- I think that's what it's called, Comma

1 One that it was a form of automated technology that  
2 could be retrofitted into certain vehicles. And you  
3 sent them a special order and they decided to pull out  
4 of the market.

5 But perhaps if you'd had the ability to  
6 block that from coming to market until it was better  
7 understood and that would bleed back into last panel's  
8 discussion of premarket approval.

9 But the Imminent Hazard Authority that other  
10 modes have doesn't -- doesn't really fit. And I'm not  
11 seeing where the recall side is lacking in power in  
12 the Safety Act right now.

13 MS. KOLODRIEG: Secretary Slater, do you  
14 have a reaction to that how NHTSA compares to other  
15 modes and how their might be an imminent hazard for a  
16 NHTSA situation?

17 MR. SLATER: Sure. Well, first of all, I  
18 think Erika raises an interesting point in that the  
19 law, frankly, could be read technically to assume that  
20 NHTSA already has the authority.

21 A thought comes to mind when I think about  
22 that Thoreau once said that it matters not so much

1 what you look at, but what you see. And so NHTSA has  
2 an opportunity to look at its regulations in new ways  
3 as it deals with new situations and I think that there  
4 may be something to that.

5 I'd also like to maybe lift up here the  
6 proactive safety principles. This initiative that  
7 NHTSA's now engaged in where it's actually reaching  
8 out to the industry to help it sort of see beyond the  
9 gray and to bring greater clarity to, say, best  
10 practices as it relates to recalls or best practices  
11 as it relates to, quote, "the implementation of  
12 autonomous vehicle technology".

13 I mean, all I'm saying is that you could  
14 extend it to include some of those kinds of  
15 considerations, as well, and especially as you think  
16 about maybe looking at the current laws from a  
17 different perspective and in a new light. So I think  
18 that there is actually something to that.

19 I will say this, though. There's a  
20 difference in clearly having the power and not using  
21 it. But a lot of times having the power makes it  
22 easier for you not to have to use it because those who

1 know you have the power recognize that.

2 MS. JONES: And I'd say the number of  
3 recalls that occur each year without exercising the  
4 current power is testament to that.

5 MR. SLATER: Yes. I agree with that.

6 MR. KANE: You know, and if I could add,  
7 too, I think one of the underlying things that you got  
8 to look at is real-time data. I think one of the  
9 problems you're going to have with imminent authority,  
10 especially with these emerging technologies, is how  
11 fast and how real the data is.

12 I think we all know looking at EWR how  
13 challenging that can be to really identify problems,  
14 you know. And so what are we going to be doing to  
15 like, you know, have some type of real time, real  
16 time, short-fused type of EWR type of analysis?

17 I mean, we've studied the EWR data and find  
18 that it's a great tool to help us identify where  
19 recalls aren't working, okay. That's not really an  
20 early warning as much as it is really going back in  
21 time.

22 So, again, looking at what can be done

1 preventively if we're going to look at imminent  
2 hazard, what does that mean?

3 Well, imminent hazard isn't Takata.  
4 Imminent -- I mean, Takata's been going on for a  
5 decade, okay. That's not an imminent hazard. But  
6 we're dealing with modern technologies. These  
7 problems -- you know, and I think to your point is,  
8 you know, the agency does, in fact, have authority.

9 But also to your point, Secretary, is that,  
10 you know, this is another tool that can really help  
11 the agency maybe move things along and, you know, give  
12 them that additional piece. But certainly those  
13 parameters and the data collection is going to be a  
14 big piece of that.

15 MR. SLATER: Yeah. You know, I'd like to  
16 follow up just a little bit on this point. I think  
17 that with an agency like NHTSA with all of its  
18 responsibility and with, frankly, the limited number  
19 of people and sometimes resources that having that  
20 stick is sometimes a good thing that allows you to  
21 then use the carrot a lot more.

22 Now, having said that, again, I want to go

1 back to something that NHTSA's doing now that I just  
2 think is quite significant and quite transformative.  
3 And that is the engagement of the industry so that you  
4 can be preventative in your approach to dealing with  
5 some of these challenges.

6           There is a shared value and responsibility  
7 to be enjoyed by NHTSA working closely with industry.  
8 And I know that Bryant in his comments earlier used  
9 the word "trust" probably six or seven times in his  
10 comments.

11           That's really the glue that makes it  
12 possible for a regulatory agency that everyone  
13 recognizes has to have the stick to actually be in a  
14 position working with an industry that is a lot more  
15 proactive to really do its work more with the carrot  
16 than with the stick.

17           MR. KANE: You know, and I would add to that  
18 I think that, you know, what we're seeing, too, is  
19 what you said is what you look at and how you deal  
20 with that. And for the agency to be able to do its  
21 job properly they also have to have the depth of  
22 understanding and the institutional knowledge and

1 baseline to be able to deal with these kinds of  
2 issues.

3 We can take Toyota's a very good example of  
4 that where for many years we had assertions from  
5 Toyota that certain things would not happen with its  
6 diagnostic systems that, in fact, were readily  
7 disproven.

8 The agency was unable to do the diagnostics  
9 and understand what that really meant in context. So  
10 we went up with problems that absolutely continue even  
11 after recall scenarios that are occurring.

12 So, you know, my point of view is it goes  
13 back to the idea that robust regulation and modern  
14 regulations need to be in place first and foremost to  
15 be able to get us to the point where we're going to be  
16 eliminating crises or then when they get it the -- or  
17 we're not going to just toss them over to the  
18 enforcement side.

19 I think it's a poor use of resources to toss  
20 these big crises problems into the enforcement side of  
21 the business when, in fact, they can be dealt with on  
22 the preventative side through good standard and good



1 rulemaking.

2 MS. JONES: An interesting side note to that  
3 is that in the Consumer Product Safety Act Imminent  
4 Hazard section if they do exercise that authority,  
5 they're required to begin rulemaking to address the  
6 underlying issue. And it's part of that same  
7 provision. Maybe that's why they don't use it.

8 MR. SLATER: Yeah. That's a good point.

9 MS. KOLODRIEG: I think we're ready for  
10 questions.

11 MS. SWEET: Actually, I have a question  
12 personally.

13 So you guys have talked about the  
14 preventative measures and having requirements and  
15 regulations in place. CPCC has jurisdiction over  
16 15,000 different types of products.

17 Voluntary standards or federal regulations  
18 can't cover 15,000 different products so having that  
19 as the first step can't always happen. So I guess  
20 what is that CPSC has in their box of tools that NHTSA  
21 doesn't to make it so that they can have Imminent  
22 Hazard Authority that NHTSA doesn't?

1           And I guess I'm looking at Sean on this one  
2 because he said it a couple times. So what does CPSC  
3 have in their box that we don't have at NHTSA that  
4 gives them an okay for Imminent Hazard Authority that  
5 NHTSA does not?

6           MR. KANE: You know, I'm not sure that the  
7 Imminent Hazard Authority is really the key thing  
8 here. I think, you know, with CPSC, as you know, most  
9 of the products that fall under their jurisdiction are  
10 unregulated products, right. And so they have a wider  
11 array of hazards to assess that would I think make the  
12 argument that they may need to have an Imminent Hazard  
13 Authority justification even more so than the agency  
14 here.

15           That said, you know, with NHTSA I think one  
16 of the things that needs to happen is setting the  
17 baseline for those regulations that have really lagged  
18 where CPSC doesn't have the same level of regulatory  
19 authority.

20           You know, they -- if they have the -- they  
21 have to allow the industry to develop a voluntary  
22 standard. If the voluntary standard proceeds and can

1 address problems then -- which can take a very long  
2 time, then they don't have the ability to necessarily  
3 go forward with the regulation.

4 I think in this case what we've been  
5 watching is a very slow train. This idea of  
6 autonomous vehicles and where we are in the complexity  
7 of cars and why we're looking at, you know, imminent  
8 hazard now really has its roots in the 1980s where,  
9 you know, vehicles started to get more automated.

10 And what we saw a few years ago was the  
11 agency look at a functional safety standard that  
12 should have been published in 1989. And if we set  
13 these baselines for what can we set it for baseline  
14 for functional safety standards, then you start  
15 looking at a process approach to ensuring that the  
16 control systems that are in our vehicles are, in fact,  
17 you know, meeting a baseline of safety.

18 Then if they're not then you can look at the  
19 enforcement side of it easier and then you can also  
20 have the underlying institutional knowledge that gets  
21 you to the point where you can have imminent authority  
22 if you have complex problems that aren't being

1 addressed properly.

2 So I don't know if I'm even answering your  
3 question as well as I can, but I think there's some  
4 real differences between the two agencies and how and  
5 what they're having to do especially in context of  
6 motor vehicle.

7 MS. JONES: I believe the reason the CPSA,  
8 the Consumer Products Safety Act, has Imminent Hazard  
9 Authority is because they can't go directly to court  
10 to order a recall the way NHTSA can.

11 NHTSA can order the recall and take it right  
12 into court. The CPSC has to go in front of an  
13 administrative law judge. The last time they did that  
14 to try to get a recall it took three and a half years.

15 And so the imminent hazard is to bypass the  
16 ALJ authority but -- the ALJ process, but NHTSA  
17 doesn't have to do that.

18 MS. KOLODRIEG: So, Erika, you just  
19 mentioned the time it takes. And previously you'd  
20 talked about the tools that NHTSA already has, the  
21 current tool being the recall.

22 Are there any other elements -- say Congress

1 did give us this authority and we found an imminent  
2 hazard.

3 Are there any other elements that you think  
4 should or the agency should put into an order, an  
5 imminent hazard order, to really address something  
6 that truly is imminent in an emergency situation?

7 MS. JONES: In the order or in the law? Are  
8 you asking what the statute should provide or what the  
9 agency should say?

10 MS. KOLODRIEG: I guess either one, yeah.

11 MS. JONES: Well, I think the law has to  
12 provide for due process protection so that there is no  
13 risk of abusing the authority. And that can come in a  
14 number of flavors, but most common and the one that  
15 you have already is that it would be heard by a  
16 district court judge who would make the findings of  
17 fact and take the evidence. It can all be done very  
18 quickly, but it would not be a unilateral -- seeking  
19 it would be unilateral, but it would be -- a judge  
20 would make that decision.

21 As to what would be in the order I think  
22 that's going to depend on what the problem is that

1 you're addressing. The most likely would be you would  
2 want to stop sale and have a recall of a product  
3 that's presenting an imminent hazard. You would want  
4 it off the market and you would want it to be repaired  
5 or remedied or repurchased.

6 And I don't think that you're contemplating  
7 some sort of in-rem seizures. CPSC does have that in  
8 their imminent hazard authority. They can seize  
9 products that are violative.

10 I think the stopping sale is the functional  
11 equivalent of that and that's most likely the  
12 direction I would think you would go.

13 MS. KOLODRIEG: Secretary Slater, do you  
14 have any thoughts on that what either a statute should  
15 provide as far as what the review would be or what the  
16 order actually would mandate the manufacturer to do or  
17 not do?

18 MR. SLATER: Well, I was listening to Erika.  
19 I think she covered it pretty well. I will admit I  
20 was thinking about one other point that I wanted to  
21 make if I may.

22 We've talked about the need for the trust

1 between the industry and the agency and we've also  
2 talked about the toolbox and all of those things. And  
3 the one thing that we've alluded to but that we really  
4 haven't directly addressed is the whole issue of just  
5 people and talent.

6 I made reference to sort of resources and,  
7 you know, how NHTSA can -- has sometimes been,  
8 frankly, starved for resources and the like. I think  
9 that as we come to better appreciate what is happening  
10 across this industry there is the opportunity to make  
11 the case not only for improvements in policies and  
12 procedures, but also the need for appropriate  
13 investment in the work that the agency does.

14 I mean, we are the most mobile society in  
15 the world. And while we have a great mix of  
16 transportation, the automobile is still central to the  
17 way we move as a society.

18 And we're talking about an industry that is  
19 being revolutionized. We're talking about, you know,  
20 we're honoring the life of Senator Glenn. When you  
21 think about the power of the equipment, the force that  
22 lifted him to the far reaches of space, you have that

1 kind of power in an automobile that we drive on a  
2 daily basis.

3 And so much has changed that you can flip  
4 the hood and you know hardly where anything is in this  
5 moment. That's the reality of a new time in which we  
6 live and we need talented people on both sides of that  
7 equation to be involved in the process.

8 So I think that this is a great time, again,  
9 for NHTSA to step forward and play this leadership  
10 role that you're playing and dealing with this new  
11 dynamic. But it's also an opportunity to make the  
12 case that the agency really needs the resources that  
13 are necessary to do the work that you're doing.

14 And here we're talking about probably the  
15 most significant investment that we can make in the  
16 improved safety of the system from an automobile  
17 perspective.

18 And such a timely argument to be made when  
19 we're seeing, you know, a slight increase in the  
20 number of fatalities and injuries on our roadways on  
21 an annual basis. But this can significantly address  
22 that issue if we get it -- if we get it right.



1           And I think at the end of the way much more  
2 important than maybe the policy will be an investment  
3 in the people I think. And bringing great talent,  
4 continuing to bring great talent into the agency, that  
5 agency being able to sit across the table from some of  
6 the most well-financed OEMs in the world and to, you  
7 know, do the necessary back and forth to ensure that  
8 there is a balance, an appropriate balance of carrot  
9 and stick incentives when it comes to ensuring the  
10 safety of the traveling public.

11           So this is a magical moment I think to make  
12 that case as we're making the case about new powers  
13 and, you know, new dynamics as it relates to the  
14 relationship between the industry and the regulator.

15           MS. KOLODRIEG: I think I saw a question  
16 come in from the audience.

17           MS. SWEET: Yes. We might only have time  
18 for this one. Isn't a public announcement by the  
19 Secretary of Transportation that NHTSA is  
20 investigating a safety defect that presents an  
21 imminent hazard all that is needed?

22           An example, didn't Secretary Hood do that --

1 Secretary LaHood do that in the Toyota investigation?

2 MS. KOLODRIEG: Sean, would you like to  
3 respond to that?

4 MR. KANE: Well, you know, and it takes more  
5 than that. I mean, at the end of the day an imminent  
6 hazard announcement doesn't get us where we need to go  
7 if the problem isn't resolved.

8 And, you know, I think the context of this,  
9 too, can create some tiered effect. What happens if  
10 we have an imminent hazard authority as applied to one  
11 defect, does -- you know, are we going to have  
12 representation that those defect issues that are being  
13 investigated that are not considered imminent  
14 authority are somehow not as important?

15 And what kind of tiered system do we set up?  
16 We've already seen this shaking out. And I think, you  
17 know, this is shaking out in context of the issues  
18 around recalls currently where we've seen some  
19 representations by the National Automobile Dealers  
20 Association that, in fact, 6 percent of the recalls  
21 are hazardous. And, you know, this is not something  
22 we need to really be worrying about.

1           Are we going to set up a tiered system of  
2           which ones imminent, which ones are not? How is that  
3           going to play its way through?

4           And I'm concerned about how that really  
5           affects consumers' attitudes around recalls and what  
6           that means. So going forward, you know, if there is  
7           an imminent hazard authority which, again, we believe  
8           there should be a tool that is available to the agency  
9           making sure that the parameters are set, that there's  
10          good data and collection, there's good parameters that  
11          set -- and prescriptive measures that are clear, that  
12          are definable, that are based in solid data and  
13          science in terms of going forward.

14          But it also doesn't diminish those things  
15          that may not rise to the level of imminent hazard, but  
16          that are also safety problems. So, you know, these  
17          things are, I think, are really complex issues that  
18          have to be juggled within a mix.

19          And, you know, the idea that, you know, the  
20          agency is going to need more resources I think is  
21          absolutely the case. But in doing that, you know,  
22          this may be the argument why the agency also should be

1 looking at, you know, something beyond self-  
2 certification so that they are continuing to stay  
3 right there at the forefront of the technology.

4 Absent that, you're basically two years out  
5 of the industry and you're toast. You don't have that  
6 information anymore it's such a rapidly moving  
7 environment.

8 MS. JONES: I think Sean raises a really  
9 good point about tiering of recalls. There's a lot of  
10 research that shows that consumers who are not  
11 responding to a recall notice have self-selected out  
12 of thinking that it's important enough to participate.

13 And if we have a new and a super serious  
14 category called imminent hazard, is that going to  
15 adversely affect recall participation in non-imminent  
16 hazard recalls. I think that's a very valid point.

17 MS. KOLODRIEG: I think we're out of time,  
18 but I wanted to thank all the panelists. This was a  
19 great discussion.

20 MS. WILLIAMS: So we are now at 2:46. We're  
21 going to take a break until 3 p.m. and then start  
22 promptly with our fourth session. Thank you.

1 (A brief recess was taken.)

2 MS. WILLIAMS: Okay. So the break went by  
3 just as quick as these panels are. So we're going to  
4 go ahead and get started since we have three more to  
5 cover this afternoon.

6 So we've had lots of interesting discussion  
7 and I know we're going to have the same for the last  
8 three panels.

9 Our fourth panel is on Expanded Exemptions.  
10 It's going to be moderated by our very own Rebecca  
11 Yoon. She's an attorney adviser for NHTSA. And I'm  
12 going to turn it over to her.

13 MS. YOON: Okay. Thanks, Dee. And I am  
14 thrilled to see so many people still here. I would  
15 have figured that exemptions might be a bit of a  
16 sleeper compared to some of the other topics, but it  
17 is really relevant to my personal interest because I  
18 may be the attorney who has to respond to the  
19 exemptions that come in. So I'm really looking  
20 forward to hearing what folks have to say.

21 I'm going to start by introducing our  
22 panelists and then give a brief overview of the topic

1 and then we'll head right into questions.

2 So we have Jackie Glassman who's a partner  
3 at King and Spaulding. Jackie served as both chief  
4 counsel and acting administrator of NHTSA spearheading  
5 the reform of the corporate average fuel economy  
6 program and overseeing scores of rulemaking and  
7 enforcement actions.

8 She counsels clients on compliance,  
9 government, relations, litigation strategy, and  
10 building robust corporate safety programs. Welcome,  
11 Jackie.

12 We have Dr. Steve Shladover who founded the  
13 California Partners for Advanced Transportation  
14 Technology or PATH program, an R&D program at UC  
15 Berkeley, which has been a leader in intelligent  
16 transportation systems since 1986.

17 His focus at PATH is on cooperative systems  
18 and vehicle automation. Welcome, Steve.

19 And we have Norma Krayem who serves as a  
20 senior policy adviser and co-chair of the  
21 cybersecurity and privacy team at Holland and Knight.  
22 Norma also served previously as deputy chief of staff

1 at USDOT as well as the acting deputy administrator of  
2 the Federal Railroad Administration.

3 And she's been working on issues involving  
4 connected and autonomous vehicles including V2V and  
5 V2I issues for almost 20 years.

6 So thanks, everybody, for coming. Expanded  
7 Exemption Authority in the context of HAVs was one of  
8 the things that NHTSA wanted to look at as a potential  
9 future tool.

10 Our current authority allows us to exempt  
11 not more than 2,500 vehicles per year for a two-year  
12 period on the basis of equivalent safety. And there's  
13 a couple of things with our current authority that we  
14 thought might be worth exploring.

15 One thing is that 2,500 vehicles a pop  
16 doesn't give either manufacturers or the agency a lot  
17 of data to think about how automation might need to be  
18 regulated going forward.

19 And the other issue is the limited duration  
20 of exemptions can require frequent and repeated  
21 application renewals which creates uncertainty as to  
22 the availability of the exemption over a longer period

1 makes planning difficult for manufacturers who want to  
2 get these vehicles on the road.

3 So I think the first question I have for our  
4 panelists is -- and this will go for everybody. I  
5 think we'll just go down the line -- is whether we  
6 think the question of whether to expand the exemption  
7 authority is actually ripe.

8 So we're really interested in anything that  
9 can help us gather data on HAV performance, but if the  
10 exemption authority were expanded tomorrow which  
11 manufacturer's going to be trying to sell more than  
12 2,500 HAVs that can't meet current standards and when.

13 Or on the other hand, could it be important  
14 to expand the exemption authority sooner rather than  
15 later to give manufacturers a clear path toward being  
16 able to sell these vehicles even if they might not  
17 have them on lots tomorrow.

18 I think, Jackie, let's start with you.

19 MS. GLASSMAN: Thank you, Rebecca. I am  
20 thrilled to be part of this sleeper panel. I think  
21 that exemption authority -- the exemption authority  
22 that's currently in the statute, like most of the



1 FMVSS structure, was written in a very different  
2 environment at a very different time. And it was  
3 written to allow for particular pieces of technology  
4 to be evaluated even if they don't meet specific  
5 aspects of the FMVSS as they were written. And this  
6 is a somewhat different idea.

7 But looking at expanding the exemption  
8 authority or changing that authority is overripe.  
9 First, it's not clear that what we're going to see in  
10 terms of deployment is automatic sales to the open  
11 marketplace.

12 Especially when we're talking about highly  
13 automated vehicles we may see more controlled fleets  
14 being deployed probably in ride sharing programs where  
15 they're not testing, they are deployed. That may  
16 easily exceed the 2,500 limit. There's no reason to  
17 limit it temporally to three years or two years or  
18 five years total as is in the statute.

19 And the concept of saying, well, we're  
20 exempting you from a particular provision of the FMVSS  
21 might be reconsidered into thinking about we're  
22 deeming you to comply with particular provisions.

1           Because most of the time we're not looking  
2           at whether or not we're going to exempt the vehicles  
3           from the functional safety consideration of the FMVSS,  
4           but rather the means of testing that get us to saying  
5           that vehicle complies.

6           And if we can find a way -- and David and  
7           Bryant talked about various ways, various elements  
8           that you might consider for either deployers or OEMs  
9           or suppliers to be able to maybe through a safety  
10          assurance program or a very soft form or premarket  
11          review rather than premarket approval -- to say we  
12          have enough assurance that we're meeting the  
13          functional mechanism, the functional requirements of  
14          what the safety standards are there to ensure that  
15          that should be our next form of exemption.

16          MS. YOON: You know what, Steve, I think I'm  
17          going to skip you and go to Norma first and then let's  
18          come back to you for the last one if that's okay.  
19          Norma?

20          MS. KRAYEM: I would probably offer this. I  
21          think the discussion might be slightly overripe, as  
22          well. I do think that we need to be defining maybe

1 two major buckets.

2 The first is that the FMVSS has some basic  
3 standards that live beyond and talk about the outcome  
4 and the safety of the vehicle and that is still valid  
5 today.

6 I think we need to be identifying -- and we  
7 have and you've done a great job at this -- about the  
8 aspects of the vehicle that go beyond what the current  
9 regulations and law really talk about because we don't  
10 have any data.

11 The other side of this is to take a look at  
12 what is the timeline that we think that we're really  
13 going to be getting into full adoption whether it's  
14 from the OEMs or even from the traveling public.

15 If you need expanded exemption authority,  
16 you have to go to Congress for that. And while they  
17 certainly want to I think help advance the integration  
18 of the technology, they're going to want to know what  
19 problem you're trying to solve.

20 At the end of the day if we look at the  
21 levels of autonomy, we may be starting in the 0 to 3  
22 and then we may straight to 4 or 5. So I think we

1 need to look at a concurrent structure that says what  
2 do we need to update in the FMVSS, what is it that we  
3 need to understand, and I think then back our way into  
4 whether or not you really need to expand the exemption  
5 authority or the industry's going to go beyond where  
6 you are much more quickly.

7 MS. YOON: Yeah. Steve?

8 DR. SHLADOVER: Yeah. I don't think the  
9 question is so much about the expansion of the  
10 exemption authority, but how can you apply the  
11 exemption authority given that you need to show  
12 comparable safety to what you have today.

13 And I've heard a lot of comments in the  
14 court of the day in which people are implicitly  
15 assuming the automated vehicles will improve safety  
16 without questioning it. That's by no means proven for  
17 the higher levels of automation.

18 And, indeed, there are some serious  
19 technical problems about identifying what would you  
20 need to do to be able to assure that in one of those  
21 automated vehicles is no less safe than driving today.

22 The Rand Corporation published a really

1 interesting analysis of that earlier this year which  
2 predicated the number of hours or number of miles of  
3 driving you would need to do without crashes in order  
4 to be able to show that you were at least as safe as  
5 driving today.

6 And those are some very, very large numbers  
7 that they quickly concluded it would be unaffordable  
8 to do the testing that you would have to do in order  
9 to be able to show that you had at least the same  
10 safety as you had today.

11 MS. YOON: Yeah. I think I want to come  
12 back to that point after awhile. But before we do  
13 that I'd like to ask our law firm panelists how do you  
14 think, if you think, the Fast Act Provision that  
15 allows manufacturers who are already producing FMVSS  
16 certified vehicles to test new vehicles and equipment  
17 fairly freely would factor in the discussion of  
18 expanded exemptions?

19 MS. GLASSMAN: Well, I think it factors in  
20 in two ways. Number one, it goes to testing and not  
21 necessarily deployment. So that's one aspect. And,  
22 number two, is that the Fast Act Provision applies to

1 OEMs who have a history of complying with the safety  
2 standards.

3 And we limit it in that way because there's  
4 an assumption of the trust that we've been talking  
5 about throughout the day that says if these companies  
6 already comply with the safety standards and they are  
7 regularly certifying vehicles in good faith as being  
8 compliant with the standards, that we have a level of  
9 trust that they're going to -- they are going to test  
10 in a responsible way.

11 And testing on the public roads has been  
12 with us since the inception of the Safety Act. If you  
13 go back to the original legislative history of the  
14 Safety Act, you will see considerable amount of  
15 testimony from car companies saying, yes, we are -- we  
16 do advance safety. We test on the public roads.  
17 There's always been a controlled level of testing on  
18 the public roads by responsible, known companies that  
19 regularly interact with the agency.

20 There were a lot of comments to the docket  
21 that suggested, well, maybe that's too limited. What  
22 about the deployers? What about the suppliers? If

1 we're tier -- high tier suppliers should we also be  
2 able to have that right or that ability to do that?

3 And there's something to be said for that.  
4 The question is how do you define who should be able  
5 to do that and who should not?

6 MS. YOON: Norma?

7 MS. KRAYEM: I would agree with that. I  
8 also think that the intent of the Congress was to try  
9 and find a way when they knew that they had a vehicle,  
10 pun intended, to actually address some of these  
11 issues.

12 They didn't have a lot of time to have an  
13 in-depth debate about it when the bill at the end of  
14 the day was done, but also knew that a fair amount of  
15 time could pass before something came forward.

16 So, you know, I agree with Jackie's point.  
17 I also think there's a difference in this industry  
18 between the OEMs and people who have been doing this  
19 for quite some time and the technology disrupters.  
20 And we see that in a lot of other sectors.

21 And I think that the language, at least, in  
22 the Fast Act was a way to try and narrow in on a

1 portion of the section that generally people have a  
2 comfort level with.

3 MS. YOON: Yeah. That's a fair point.  
4 Okay. So assuming that we are interested in expanding  
5 the exemption authority, let's bring a couple of  
6 questions together.

7 What kinds of terms and conditions of  
8 exemptions do panelists think would best help the  
9 agency manage safety risks and why?

10 And then also how should manufacturers go  
11 about demonstrating equivalent safety to the agency  
12 and why?

13 I think this goes to Steve's point a little  
14 bit about the how many million miles do you need to  
15 drive or is that even a good metric.

16 So, Steve, let's start with you for that one  
17 and then we'll ...

18 DR. SHLADOVER: Okay. I think this is  
19 probably the central challenge in defining the  
20 regulatory approach not just for exemption authority,  
21 but more broadly.

22 What does it take to assure the safety of a



1 system that has to deal with this extremely  
2 complicated environment and perform extremely  
3 complicated functions?

4           What combination of on-road testing, test  
5 track testing, functional safety analysis and  
6 simulations could be put together to produce a package  
7 that then says, yes, this particular vehicle design  
8 will meet a comparable safety to what we have today?

9           As far as I know, nobody knows how to do  
10 that at this point. And I'd flag that as one of the  
11 highest priority actions.

12           The German government has recently initiated  
13 a project to try to make some progress on that  
14 bringing together the research institutions and the  
15 automotive industry within Germany. They're spending  
16 over 40 million euros over the next four years trying  
17 to find an approach that they can follow to gain that  
18 level of safety assurance.

19           I think this would be a good thing for the  
20 U.S. to either follow or to join with the activity in  
21 Germany so that we can develop some technical methods  
22 that are actually valid.

1 MS. YOON: We would love 40 million euros  
2 for sure. Norma, you want to take the next?

3 MS. KRAYEM: Just three quick points and  
4 building on what Steven said. First, there is a  
5 tremendous amount of work being done at the  
6 international level and we do need to be cognizant  
7 that whatever we do there is an international approach  
8 to standardization or harmonization, whatever terms  
9 makes us happy today. That's hugely important.

10 There is a discussion in the EU about a  
11 little bit what we're talking about here today. I  
12 think that's important.

13 The second big issue, again, is that  
14 identification of what is it that is inherently  
15 different about the use of fully autonomous vehicles.  
16 We are potentially looking at not just the awareness  
17 and the testing of the vehicle, itself, but the  
18 interaction of the fully autonomous vehicle with the  
19 human driver still in the other vehicle on the road.  
20 Those are different things than just testing whether  
21 or not the vehicle meets certain safety standards.

22 The third big issue I'll just mention is

1 cybersecurity issues. And, again, I think we've heard  
2 it from every panel that this is a very different  
3 scenario. And I think it's something that maybe DOT  
4 and DHS people need to come together on and talk about  
5 what that means because we don't have standards for  
6 cybersecurity in other sectors.

7 We do use different terms about what  
8 reliability means, but in very few instances are we  
9 talking about the safety and the lives of the  
10 traveling public. And, again, those are inherently  
11 different than I think what we've done at DOT and  
12 NHTSA in the past.

13 MS. YOON: Just to follow up on that. So  
14 you would recommend that sort of some kind of cyber  
15 requirement go along with terms and conditions for  
16 exemption.

17 I mean, if you're thinking in the context of  
18 equivalent safety, how would you compare a highly  
19 automated vehicle and the cyber requirements you would  
20 want to put on that to, say, I don't even know what  
21 vehicle? It's the comparable vehicle that you will be  
22 demonstrating equivalent safety against.

1 MS. KRAYEM: I think on the cyber side I'm  
2 not necessarily articulat- -- or advocating specific  
3 standards per se. We're still talking about safety  
4 and securities and the outcome, which is something  
5 that we're used to in the automotive industry.

6 You just have to identify where the -- which  
7 aspects of the vehicle are vulnerable to outside  
8 influence whether it's taking over the vehicle and  
9 putting in place or understanding what safety  
10 considerations the OEM has decided to offer to meet  
11 those risks.

12 I think that's going to depend on each  
13 vehicle. And we see that in other sectors.

14 DR. SHLADOVER: I think we need to keep in  
15 mind that the cyber threats to the automated vehicles  
16 are not fundamentally different from the cyber threats  
17 to all of the vehicles that are out on the road right  
18 now. So that's with us here and now with virtually  
19 any modern vehicle. This is not really fundamentally  
20 different.

21 But what is fundamentally different is that  
22 we now have technology embedded in the vehicles that

1 is making really, really complicated decisions about  
2 the driving environment. And when we think about the  
3 standards that are currently in place, things like  
4 FMVSS, they deal with very narrow aspects of vehicle  
5 performance and things that can be tested objectively  
6 in very carefully measured ways.

7           When we look at the behavior of a vehicle in  
8 the full complexity of traffic it's virtually  
9 impossible to construct a test scenario that will  
10 represent the complexity of that environment in a way  
11 analogous to what you would do for testing FMVSS  
12 compliance.

13           MS. YOON: Jackie, what do you think about  
14 terms and conditions of exemptions and equivalent  
15 safety? What would you say?

16           MS. GLASSMAN: Well, I think what we're  
17 hearing and listening to the conversation is the  
18 question of whether we ought to be trying to look at  
19 this as a comparative.

20           Do we pick a current vehicle and say we're  
21 comparing the level of safety to a traditional vehicle  
22 or do we try to undertake the question of how do we

1 define safety in this environment knowing that the  
2 highly automated vehicles have to share the road with  
3 traditional vehicles?

4 And should be -- and maybe that means we  
5 move more towards a functional safety definition as  
6 opposed to the very limited and narrow system  
7 component approaches of the FMVSS.

8 And maybe then if we do move towards a  
9 functional safety approach, how do we embed that into  
10 a program where the government has some role in the  
11 regulation?

12 But it takes us away from the strict and  
13 narrow consideration of whether we're comparing this  
14 to another -- the other thing I'll just say about the  
15 statistics in my recent experience trying to prove out  
16 a technology through the exemption process the  
17 technology worked very well, but just due to the  
18 statistics it was impossible to statistically prove  
19 any difference or a value to technology using this.

20 So even the original requirements, the  
21 original purpose of the exemption authority is almost  
22 impossible to prove given the statistics today.

1 MS. YOON: So, okay. Let's go with that.  
2 I'm hearing a couple people say statistics maybe don't  
3 work for proving equivalent safety. And, Jackie, you  
4 started in functional safety. Maybe that's how you  
5 start to get at how safe is safe, right?

6 But given the agency's authority is  
7 currently written, equivalent safety does seem to have  
8 some quantitative element. How would we tie these  
9 things together?

10 MS. GLASSMAN: Well, first we have to think  
11 about how we want to tie them together so that when  
12 that conversation takes place at Congress we have an  
13 approach that might last not four or six months and  
14 not be based only on the past, but can move us forward  
15 for another 10 or 20 years as this new environment  
16 develops.

17 Looking at what is equivalent safety you've  
18 got to look at what is the purpose. You first look at  
19 what is the purpose of each requirement. The purpose  
20 is to stop, make sure the vehicle stops when  
21 appropriate and an appropriate stopping distance.  
22 It's to steer appropriately and not lose control of

1 the steering. It's to not -- it's to not turn over  
2 under certain events.

3 If we can meet those kind of functional  
4 requirements, those kind of functional purposes, the  
5 methodology of how we test the vehicles to get to that  
6 point may be less significant.

7 So if we can focus more on the function and  
8 less on the testing methodology, it's not completely  
9 consistent with the original concept of self-  
10 certification but it's -- somehow we have to build off  
11 of the self-certification system to allow this to take  
12 place.

13 MS. YOON: Norma, you want to take that?

14 MS. KRAYEM: I just want to add one piece.  
15 The current structure is that the vehicle must perform  
16 to certain standards and then it's up to the human  
17 driver to make decisions about how they operate the  
18 vehicle safely.

19 And when we look at the different levels of  
20 autonomous vehicles, we're talking about the scaling  
21 where the vehicle, itself, it making almost 100 --  
22 well, ultimately 100 percent of the decisions. And



1 within that it has to communicate in some way to the  
2 human driver or give the human driver that ability to  
3 take the control of the vehicle back over.

4           When you go to Level 5 and it's highly  
5 autonomous, then -- and we're talking about on-demand  
6 vehicles and other things -- we still have to look at  
7 what that interaction is and even training, then, the  
8 human driver who's no longer used to operating the  
9 vehicle when they have to take back over, what they  
10 have to do. And, again, that just means a different  
11 level of outcome that the vehicle needs to meet.

12           Now, I'm not saying we have to decide all of  
13 that today because I don't think that we can. But I  
14 think as we talk about whether it's new regulatory  
15 authorities for NHTSA, we're trying to come up with a  
16 concurrent regulatory structure where we can move  
17 innovation forward, manage safety issues, but still  
18 allow the industry to bring innovation to us which is  
19 hugely important.

20           MS. GLASSMAN: Yeah. And remember, too,  
21 that the FMVSS apply at the point of sale. The point  
22 of for sale. On road safety risks are still handled

1 by the defects process.

2 DR. SHLADOVER: One thing I also wanted to  
3 bring up that we've talked about interacting with  
4 other vehicles. Remember our vulnerable road users.  
5 These vehicles also need to interact with pedestrians  
6 and bicyclists, not just with the other vehicles on  
7 the road.

8 And now we're dealing with another level of  
9 complexity in terms of how do they interact, how do  
10 they communicate with each other in a way that's going  
11 to ensure safety and proper coexistence on our limited  
12 road infrastructure.

13 MS. YOON: So, Steve, that's a good point.  
14 And I was thinking about what Jackie said about  
15 instead of thinking about automated vehicles or highly  
16 automated vehicles meeting the test that's in the  
17 FMVSS, maybe we go back to the purpose behind the  
18 test.

19 Why is the test there? Is the automated  
20 vehicle meeting the purpose?

21 But that's for when you have tests. In some  
22 of the cases interaction with vulnerable road users

1 and some of the stuff that Norma was talking about  
2 it's not just -- it's not just how does the vehicle  
3 perform in a limited context of what the FMVSS  
4 currently cover, but how does the vehicle perform,  
5 period, out there on the road making all the decisions  
6 that the human driver potentially would be making.

7 So if you're going back to the purpose, how  
8 do you define the purpose in sort of that outside the  
9 FMVSS context?

10 And Steve's reaching for it.

11 DR. SHLADOVER: I think if we try going too  
12 far in that direction we'll just run around in circles  
13 and we won't -- we won't really get very far because  
14 of the complexity of the problem.

15 I think it's going to be necessary to go  
16 back to something that came up in some of the earlier  
17 sessions which is about the functional safety process.  
18 How do you make sure that the process that the system  
19 developer followed has shown proper cognizance of the  
20 threats that are going to be encountered and that  
21 they've managed to deal with those threats?

22 You can't possibly go through all the

1 scenarios that'll be encountered with all the other  
2 road users. You couldn't possibly incorporate them in  
3 a test because the test would take forever to execute.  
4 And crashes will always occur in the corner cases.  
5 Those really rare cases that you probably didn't think  
6 about when you designed the system or that you  
7 couldn't fit into the test program.

8 So the notion that we can test our way to  
9 proving safety I think is a fallacious one.

10 MS. GLASSMAN: It's interesting to see the  
11 -- all three conversations morph together. The safety  
12 assurances, the premarket approval or premarket  
13 review, and the exemption or deemed to comply  
14 conversations fundamentally are the same conversation  
15 which is how do we define safety, how do we account  
16 for it, and how do we if not measure it -- how do we  
17 have a program that at least assures that everybody's  
18 taking the same considerations into account,  
19 developing them into the vehicles and putting on the  
20 roads vehicles that the government can have some level  
21 of objective oversight over?

22 DR. SHLADOVER: Government and consumers and

1 insurance industry and the political leadership all  
2 levels that need to have a level of comfort that we're  
3 actually not going to be degrading safety, but at  
4 least hopefully improving it, but certainly not making  
5 it any less than it is today.

6 MS. KRAYEM: The last piece I'll add is the  
7 whole discussion about machine learning and artificial  
8 intelligence is hugely important to the future of this  
9 industry. It's not something that the normal consumer  
10 understands. It's not something that every member of  
11 Congress understands.

12 And while we're saying 94 percent of all  
13 crashes in the United States are because of human  
14 error, we have to think ahead to what that -- and you  
15 mentioned this in your documents -- the ethical  
16 consideration about machine learning and artificial  
17 intelligence and the decisions that the vehicle will  
18 make.

19 These are much harder things to deal with on  
20 the right here and the now and how to test. But I do  
21 think from a public acceptance standpoint that's  
22 something I know that NHTSA's looking at trying to

1 manage and it's hugely important. Again, not  
2 something we're going to fix today, but that's a  
3 factor that's going to be challenging to test against  
4 as we go forward.

5 MS. YOON: Absolutely. I think it's  
6 interesting how far maybe the discussion has veered  
7 from the original expanded exemption authority  
8 question so I'm going to bring it back around at the  
9 end, which is fine.

10 But this is -- no. This is better. But  
11 given everything that we've discussed I asked at the  
12 beginning do you think the expanded exemption  
13 authority question is ripe.

14 It sounds like a lot of folks are leaning  
15 more towards, sure, that's fine, but maybe we need  
16 something like functional safety instead.

17 Do you view expanded exemption authority as  
18 sort of a gap filler under we get to something else?

19 I mean, given, Norma, what you brought up in  
20 the first place about Congress needs to provide us  
21 with this authority anyway would this be the thing  
22 that you would go for if you were in charge or would

1 you sort of -- you know, it's worth asking.

2 MS. KRAYEM: It's so much fun to be in  
3 charge, but then you have to make a decision. You  
4 know, before I would do that with the Congress I would  
5 very clearly articulate which aspects of the problem I  
6 was trying to solve with expanded exemption authority.

7 If it is intended to be a gap filler, well,  
8 we identify all the issues that we've all talked about  
9 and the other panels. If it's intended to work at  
10 some point concurrently with updating the FMVSS, then  
11 I think holistically that might make sense.

12 But to simply go to Congress right now and  
13 say, well, you know, we need to give the sector a  
14 little more room to wriggle so we figure out what's  
15 what. You know, that probably won't get you so far,  
16 but if you put it all together then I would make the  
17 decision if it's worth going forward.

18 DR. SHLADOVER: I think the central question  
19 is what process are you going to have to go through to  
20 issue the exemption if you're going to issue the  
21 exemption. Whether it's expanded or within the  
22 existing exemption authority you still need to have a

1 good process for saying this one deserves the  
2 exemption, this other one doesn't. Where do you draw  
3 the line in terms of what's acceptable or not based on  
4 safety?

5 MS. GLASSMAN: And you're going to need  
6 that, as Steve says, regardless of what process you  
7 have. If you go to Congress you don't get to go to  
8 Congress all that often so you want to --

9 MS. YOON: No kidding.

10 MS. GLASSMAN: -- you know, you want to ask  
11 for what you really -- you really need. If you go to  
12 Congress and say we want, you know, we want to  
13 eliminate the temporal or expand the temporal  
14 limitation, we want to eliminate or expand the volume  
15 expectation, at some point you're going to move beyond  
16 whatever the next level is.

17 If we still have this question if you leave  
18 the substantive elements alone, what is an equivalent  
19 level of safety? If you eliminate those temporal and  
20 volume limitations, you modify or eliminate the  
21 concept that you have to prove out an equivalent level  
22 of safety and you say when appropriate NHTSA can



1 exempt, that would be okay. But it would overwhelm  
2 the agency.

3 MS. YOON: And thank you for thinking of  
4 that. We appreciate it. I didn't see, were there any  
5 cards?

6 MS. SWEET: All right. We did not receive  
7 any question cards. Does anyone in the audience have  
8 a question for our panelists?

9 No. Okay. You guys covered it. Okay.

10 MS. YOON: It's done.

11 MS. SWEET: Okay. So let's go ahead and  
12 then thank our fourth panel.

13 Okay. So as we set up for our fifth panel  
14 this one will be on Post Sale Tools to Regulate  
15 Software Updates. It's going to be moderated by Mr.  
16 Steve Wood, our assistant chief counsel for NHTSA.

17 And just give us a minute or so here to set  
18 up. I would remind folks if you do have a question,  
19 there are index cards that can be handed out and some  
20 pens. And just look for the NHTSA staff and then  
21 they'll collect them from you.

22 We have one other panel after this.

1 (Brief pause). And I would just remind the  
2 panelists that when you go to turn your microphone on  
3 you're going to see a face with it looks like words  
4 coming out. So you'll just tap that and that'll  
5 activate your microphone.

6 (Brief pause). And, Steve, we're ready,  
7 then, whenever you are.

8 MR. WOOD: Good afternoon. My name is Steve  
9 Wood, Assistant Chief Counsel for Vehicle Rulemaking  
10 and International Harmonization.

11 Our panel topic here is on the subject of  
12 Post Sale Software Updates, one of the topics  
13 discussed in the Federal Automated Vehicle Policy.

14 I think all of us have consumer products  
15 that are getting updates -- your iPhones, your iPads,  
16 or similar products offered by other manufacturers.  
17 Sometimes the consequences of those changes are  
18 sometimes the loss of data or maybe simply irritation  
19 that previous types of functionality may have been  
20 lost by an update or you simply don't understand the  
21 new one.

22 In the case of motor vehicles, the

1 consequences may include those, but they may also be  
2 much more significant, in fact, even affecting life  
3 and -- life and limb.

4 I think if you look at the public comments  
5 if you had a chance to do that on this issue there are  
6 a number of different perceptions of the post-sale  
7 dates and even, indeed, just the basic issue of  
8 software.

9 Some see a history of, say, 25 years of the  
10 agency's issuing standards mandating installation of a  
11 variety of safety systems whose performance is  
12 dependent equally upon their software and hardware  
13 components.

14 Some of the better examples -- some of the  
15 better known examples of these are -- were actually  
16 mandated by Congress so the advanced air bag, the  
17 electronic stability control systems, the recent rule  
18 on alert sound for hybrid and electric vehicles.

19 And although these standards were drafted in  
20 terms of how the hardware is to perform, if you think  
21 about it in how these systems work, these standards  
22 were as much drafted to regulate the software of these

1 systems as the hardware.

2 The only way in which manufacturers can  
3 comply, indeed, is by writing the software to command  
4 that the hardware perfor- -- command that the hardware  
5 perform in the desired ways.

6 And without the software to interpret the  
7 data, to identify risk to make decisions and to direct  
8 the hardware components when and how to perform these  
9 systems couldn't function.

10 Indeed without the software you wouldn't  
11 have systems. You'd have an assemblage of non-  
12 functional hardware. But there is another point of  
13 view that hard -- that software being intangible is  
14 actually not even subject to regulation under the  
15 Vehicle Safety Act which as part of the original  
16 vehicle and I think they even more strongly believe  
17 not part of -- not regulatable as a separate item.

18 But before we start asking questions, let's  
19 introduce the panel. Let me start with Christine.  
20 Christine -- and unfortunately I have a very short  
21 biography. Actually I only have a single sentence so  
22 if you could fill in so we know more about you, that

1 would be helpful.

2 You're a legislative director, as I  
3 understand, at the National Association of Consumer  
4 Advocates. And in that capacity or part of that or  
5 what would you like to fill in?

6 MS. HINES: I'm legislative director of the  
7 National Association of Consumer Advocates where I  
8 advocate on behalf of consumers for strong consumer  
9 rights and consumer protections.

10 Before I was at NACA I was at -- spent seven  
11 years a public citizen where I did pretty much the  
12 same thing, but advocated before the Consumer Product  
13 Safety Commission and before Congress.

14 MR. WOOD: And next to Christine is Michael,  
15 Michael Clamann, senior research scientist at the  
16 Humans and Autonomy Lab at Duke University.

17 Among his research interests include human  
18 automation interaction and haptic control. And can  
19 you offer a few additional words?

20 MR. CLAMANN: So, excuse me, thank you. In  
21 addition to my duties within the Humans and Autonomy  
22 Lab where I teach coursework in human factors and the

1 effects of humans working with advanced automated  
2 systems, I'm also the lead robotics editor for the  
3 Science Policy Tracking website at Duke University  
4 called SciPol.

5 I'm also a contract human factors engineer.  
6 I've done work with the FAA, with the FRA, and with  
7 the military on issues related to humans and system  
8 operations.

9 MR. WOOD: And finally, Adam. Adam Thierer,  
10 senior research fellow with the Technology Policy  
11 Program and Mercatus Center at George Mason.  
12 Specializes in technology, media, Internet, free  
13 speech policies, particular focus on online safety and  
14 digital privacy.

15 You've also written on permissions lists  
16 innovation which is appropriate for this topic --  
17 general topic. Can you supplement that?

18 MR. THIERER: Well, I generally write about  
19 and think about the public policy implications of a  
20 wide variety of emerging technologies including  
21 autonomous systems and driverless cars, but also  
22 robotics, AI, sharing economy, bit coin, advanced

1 medical device technology, and so on and so forth and  
2 attempt to tease out the privacy, safety, and security  
3 implications of all these emergency technologies as  
4 the old and new worlds collide.

5 MR. WOOD: I wonder if, Michael, we might  
6 start first before jumping into policy questions and  
7 sort of why should we care about post sale updates?  
8 What opportunities and challenges do they pose or  
9 create for safety in cybersecurity or other  
10 considerations?

11 MR. CLAMANN: Sure. Glad to. So this is an  
12 interesting time of the day to be talking about this  
13 because I think a lot of the issues that we've talked  
14 about in some of the earlier sessions having to do  
15 with the preapproval process also relate to the update  
16 issue.

17 So where essentially in the advanced  
18 automated systems where the AI -- where the automation  
19 becomes the driver you are -- you run the potential  
20 risk or opportunity to actually be replacing the  
21 driver with your automation after the sale.

22 So in that sense, you know, any of the thing

1 -- you can pretty much change any of the oper- -- any  
2 of the operations as long as it doesn't have to do  
3 specifically with the hardware.

4 So when we talk about things like how the  
5 cars going to behave in certain accident conditions or  
6 how it's going to treat pedestrians and so on any of  
7 those things can be effected after the fact. So it  
8 comes to a question of whether or not those things  
9 should be modified, you know, after the car is sold.

10 So basically I've looked at some of the  
11 comments and one of the things that you see if there  
12 is a range, there's a continuum of the types of  
13 updates that occur.

14 It could be something very simple. On the  
15 one hand of being, you know, maybe a branding update  
16 or maybe information being pushed to the owner of the  
17 vehicle about when their next, you know -- when their  
18 maintenance is going to be coming up due, could be  
19 just simple color or font change to their display, or  
20 it go much farther to the point where you actually are  
21 changing some of the fundamental aspects of the  
22 control of the vehicle.



1           And when we start talking about some of the  
2 futuristic ideas of, you know, ethics and the driver,  
3 some of these choices that the operator -- the owner  
4 might have made at the time of the sale, they might  
5 actually change in the post-sale about how the  
6 vehicle, for example, is going to behave in an  
7 accident.

8           Another issue on the flip -- so those -- and  
9 those issues I think the communication to the owner  
10 becomes a really big issue. I mean, I think this came  
11 up in one of the earlier sessions.

12           I mean, we don't all read our privacy  
13 policies. We don't read those recall notices. And so  
14 when you're moving, when you're pushing forth an  
15 update that has to do with the control of the vehicle  
16 how then -- it's a huge challenge how you keep the  
17 driver informed of what the new behavior of the  
18 vehicle is. And if that involves some new training,  
19 how, then, do you train the driver to deal with this  
20 update.

21           On the flip side you have the issue of  
22 cybersecurity which has also come up. Now, this one,

1 you know, with the design of autonomous vehicles you  
2 -- you know, the more updates you get the better and  
3 better -- the better the driving becomes.

4 And with cybersecurity the longer these  
5 vehicles on the road -- are on the road, the better  
6 the hackers are going to be. And so with  
7 cybersecurity it becomes essential at that point in  
8 order to keep up with some of these issues and some of  
9 the problems that may come up due to, you know, these  
10 vehicles behind hacked you want to be right on top of  
11 it. Like if a hack comes in you want to get an update  
12 out as soon as possible to be able to deal with those  
13 issues.

14 The last thing that I want to mention on  
15 this one is with pedestrians. I'm glad -- this came  
16 up in the last panel discussion. That in addition to  
17 the driver or the owner of the vehicle being affected  
18 by these updates, the pedestrians are going to be  
19 affected by these updates, as well.

20 You know, where we have road signs that we  
21 look at now that there's a lot of consistency in the  
22 way pedestrian traffic rules work. If individual

1 vehicles, then, become determined pedestrian safety,  
2 how, then, do the pedestrians behave when these  
3 updates occur especially when we have different  
4 manufacturers who may be having different displays  
5 across their vehicles.

6 We have several manufacturers right now that  
7 are proposing different vehicle to pedestrian displays  
8 and there's not -- I haven't seen any consistency  
9 among those. If those, then, change with these over-  
10 the-air updates, it makes it even more complicated for  
11 the pedestrians to be able to handle these changes.

12 MR. WOOD: Can I -- Christine, from a  
13 consumer standpoint why should they be concerned and  
14 what rights of theirs might be potentially affected  
15 such as right to be warned, right of privacy, right or  
16 need to be educated about, as Michael was saying, the  
17 practical effects of a sudden perhaps overnight change  
18 in the software of your vehicle so that it does not  
19 perform the same way on today as it did yesterday?

20 MS. HINES: So -- sorry. So there are a lot  
21 of consumer rights at stake with the technology. But  
22 I think, you know, the first -- the first concern with

1 automated vehicles is to have proper standards before  
2 -- before they enter the market so that, you know,  
3 we're not risking lives for the sake of pushing out  
4 really exciting technology, but technology without  
5 having the appropriate safety information. So I think  
6 that's the first. The first consumer right is a right  
7 to safety.

8           And so as far as that goes, you know, the  
9 policy has -- has recommended a guidance which may be  
10 insufficient for consumers and consumer protection and  
11 safety. We think that there should be proper notice  
12 and rulemaking for these standards.

13           It is a complicated technology and I respect  
14 that. I'm not here as an expert of the science behind  
15 the automated vehicles not by any means, but as  
16 someone who has paid attention to standards for  
17 consumers in other sectors they're really only  
18 effective if they are mandatory.

19           Voluntary standards means that, well,  
20 they're voluntary and people -- and whatever the  
21 motivations of manufacturers are to push the exciting  
22 technology out there, but they can pick and choose

1 what they comply with and what they don't comply with  
2 I think.

3 I think this -- there's so much unknown with  
4 this -- with this technology that we need to just  
5 before -- I think Sean Kane said something about the  
6 cart before the horse or something like that and I  
7 completely agree with that in that there should be  
8 sufficient -- we're talking about the post, but the  
9 pre is what we're really concerned about. And to have  
10 enough information beforehand before we rush into  
11 things.

12 And then as far post there is the issue of,  
13 you know, privacy and cybersecurity are very important  
14 issues. And, again, we need standards for those, too.  
15 And somebody mentioned in the previous panel about DHS  
16 and that is -- seems worthwhile because they have a  
17 lot of knowledge in cybersecurity.

18 So but all of that needs to be -- we need  
19 more information and detail and transparency about  
20 those issues before we just kind of rush -- you know,  
21 rush everything out there. That's it.

22 MR. WOOD: Thank you, Christine. Adam, do

1 you want to jump in at this point?

2 MR. THIERER: Sure. I'd be happy to. And I  
3 should have said earlier, thank you, Steve, and thank  
4 you to NHTSA for inviting me here today. I've already  
5 learned a lot. This is a really interesting workshop.

6 And I think what we're seeing in our  
7 conversations today and definitely we see in the topic  
8 of this panel is a struggle with what I alluded to a  
9 moment ago about worlds colliding, about old sectors  
10 being revolutionized by new technologies.

11 The Silicon Valley venture capitalist Marc  
12 Andressen has this famous phrase that "software is  
13 eating the world" and that we're seeing the sort of  
14 softwarization (sic), if you will, of everything.

15 And clearly that's been the case in recent  
16 years for a whole host of various consumer devices  
17 starting with our phones, right, but most obviously  
18 now our cars which is about as important of a consumer  
19 device as you can get in your life. And it's being  
20 completely digitized to the point where it's becoming  
21 a rolling computer.

22 As that happens there's going to be a

1 significant challenge to traditional policies and  
2 frameworks that we put in place for these things we  
3 call cars traditionally as they evolve into rolling  
4 code and rolling computers.

5 And it's particularly a challenge when it  
6 comes to the question of what constitutes adequate  
7 safety and security. It is something we struggle with  
8 mightily in every single other sector that's being  
9 disintermediate or disrupted by technology in some  
10 way, shape, or form.

11 I spent a lot of time on medical device  
12 issues like this and other things and it's a challenge  
13 for every single agency. I've been in many workshops  
14 like this, same exact discussion playing out.

15 I was in an FDA workshop where someone  
16 literally held up a phone, "This is a smartphone or is  
17 this a medical device?"

18 And it was a sort of metaphysical discussion  
19 that followed about what is this thing and the reality  
20 is it's both. And it creates enormous challenges for  
21 policies that were established at an age when you  
22 could have very clear sort of top down directives that

1 said, well, you can do this, but thou shall not do  
2 that.

3 And now we live in a very different world.  
4 It's a world that's going to be one where we need to  
5 be a lot more nimble and flexible and roll with the  
6 changes because they're happening faster than ever.

7 We've heard it alluded to multiple times  
8 here this morning the so-calling pacing problem, as  
9 philosophers of technology call it. A pacing problem  
10 refers to the fact that policy evolves sort of  
11 incrementally but technology evolves exponentially.  
12 And that problem's growing every day.

13 And so what this means for NHTSA is that  
14 we're going to have to come up with a playbook  
15 including for post-sale modifications. It's a lot  
16 different than the old sort of thou shall not playbook  
17 and one that probably has sort of best practices or  
18 some guidance but understands that there is no such  
19 thing as perfectly safe or secure code. That it's an  
20 ongoing process. There's no end point or perfect  
21 security and that we'll have to devise fixes in real  
22 time to account for this.



1           This is exactly what innovators are doing  
2 with real time OTA update, over-the-air updates.  
3 Every automotive company and everybody out there is  
4 going to be used to the idea that just as you're  
5 getting constant updates on your phone you're going to  
6 get constant updates on your car -- updates in your  
7 car.

8           That's a good thing, but it creates a  
9 fundamental insecurity I think among all of us --  
10 consumers, the public, and regulators -- about, well,  
11 how do we know what's happening at all times?

12           And the answer is we don't. We have to sort  
13 of take a leap into the great unknown in saying a lot  
14 of this is literally going to be learning by doing,  
15 making it up as we're going along. Finding these  
16 problems and experimenting through trial and error.

17           I totally recognize the discomfort that  
18 creates for agencies and industry that value  
19 certainty. And yet certainty in the form of sort of  
20 thou shall not directives or preemptive, prescriptive  
21 types of traditional reviews of post-sale  
22 modifications I just don't think they're going to work

1 in this new world.

2 MR. WOOD: So you mentioned FDA. I mean,  
3 you must be familiar, then, with other agencies such  
4 as the Federal Railroad Administration dealing with  
5 positive train control, FAA dealing with commercial  
6 aircraft, FDA for the medical devices. All of them  
7 have guidance on validation of software for new  
8 vehicles, forgetting about the updates presumably.

9 And I think the policy we issued addresses  
10 this. There's some need to validate the software for  
11 vehicles as originally manufactured and then  
12 presumably the same concerns, then, applied to the  
13 updates.

14 As you mentioned, they have corrective  
15 value, they are introducing new capabilities, in the  
16 case as in Tesla it changed the amount of importance  
17 given to different types of sensor data.

18 But how would you balance providing a degree  
19 of -- and this is a question for all of you. How  
20 would you balance getting the updates in there which  
21 is partly experimentation, there is improvement, but  
22 it may introduce new problems.

1           How do you provide a measure of assurance to  
2 the public without interfering overly with the whole  
3 process of correction and refinement?

4           MR. THIERER: Well, the common framework  
5 across all these agencies and technology topics you've  
6 just discussed comes back to a word you used which is  
7 guidance. And I think we're seeing the emergence of  
8 what some political scientists call sort of soft law  
9 for a lot of these emerging technologies as opposed to  
10 traditional sort of hard or fixed law.

11           Soft law being more flexible, dynamic, sort  
12 of a little bit of make it up as you go along. And a  
13 lot of its agencies being nimble and responding to  
14 concerns as they develop in the form of guidance.

15           Sometimes it's called best practices. We've  
16 seen a lot of these sorts of things come out of the  
17 Federal Trade Commission lately for various  
18 technologies -- NTIA, FDA, FAA.

19           I had to develop an entire spreadsheet of  
20 just all the multi-stakeholder soft law processes that  
21 are going on for emerging technologies today to keep  
22 track of them all.

1           And what they share in common is sort of  
2 these best practices being hammered out as we go along  
3 with a little bit of post market -- well, not a little  
4 bit, a lot of post market sort of rigorous  
5 surveillance of what's happened by the agencies.

6           And then a determination of do we need to  
7 take action. What NHTSA would do, of course, would be  
8 recall action. Other agencies have different powers  
9 as we heard earlier today.

10           And then we've forgotten about a big part of  
11 what the agencies can do in terms of public education  
12 and educating not just industry, but consumers,  
13 themselves, about a lot of these changes or potential  
14 dangers.

15           The FDA's doing a lot more on risk education  
16 now in the context of mobile medical devices on your  
17 smartphone. And they've basically given up on a whole  
18 class of emerging technologies like mobile medical  
19 dictionaries they're uploaded every day and updated  
20 and FDA just says, okay. We just can't keep up with  
21 that.

22           But if you want to stick like a hypodermic

1 needle like on the end of your phone and put it in  
2 your arm, you better go through the FDA and get  
3 approval. So they have got a spectrum of how to deal  
4 with those technologies.

5 And then one final thing I'll mention beyond  
6 those other tools that we haven't discussed here today  
7 is the role of torts, product liability, and product  
8 defects law. There's a whole nother way of regulating  
9 technology that exists beyond the confines of this  
10 beltway.

11 And that's a very, very important thing in  
12 many other sectors, but we haven't thought about it as  
13 much in this one I guess which is kind of strange  
14 because carmakers are being sued -- you know, they get  
15 sued quite a bit.

16 But driverless carmakers and the makers of  
17 the code that powers them in the future people will be  
18 litigating these things, too, when cases and  
19 controversies develop.

20 MR. WOOD: Michael?

21 MR. CLAMANN: I think one thing that's very  
22 important to remember when we look across these

1 different agencies, when we look at the FDA, the FRA,  
2 and the FAA, with all of those systems we're dealing  
3 with highly trained operators. Ones that seek out  
4 updates on a very regular basis.

5 So when you're looking at pilots, when  
6 you're looking at engineers, when you're looking at  
7 doctors and nurses these are people who on a daily  
8 basis are going to find out, okay, what new  
9 regulations do I have to deal with that have just come  
10 out.

11 With drivers we're dealing with a very  
12 different audience. You're dealing with the general  
13 public. You know, we go in, we've got our drivers  
14 licenses, you know, when we're 16 or 17 and then we go  
15 in for a periodic eye test.

16 And there are -- it remains a challenge for  
17 a number of organizations to try to reach out to these  
18 people to try to say these are some of the safety  
19 issues. And we see these campaigns all the time and  
20 they vary in their effectiveness.

21 So one of the things that is definitely  
22 going to be an issue here is, you know, we can look to

1 these other agencies to see, okay, how do they send  
2 out these update, how do they communicate these  
3 updates. We should look to those, as well, to see,  
4 okay, what do we need to put in place for drivers to  
5 make sure that these same types of updates are being  
6 communicated to them, as well.

7 MR. WOOD: Christine, do you --

8 MS. HINES: So I just wanted to start with  
9 one statement. And just the rise of technology is  
10 inevitable, but don't risk lives to go from unknown to  
11 the known.

12 And I think, you know, this is particularly  
13 important here. You know, it's different from the  
14 iPhones which I think iPhone apps still there's a risk  
15 of cybersecurity issues and privacy issues. But when  
16 we're talking about software updates on -- in cars  
17 that could lead to serious harm it's a little  
18 different.

19 Just in regards to some of the other, you  
20 know, tort laws were mentioned and product liability.  
21 And that's really interesting as far as post sale is  
22 concerned. Which brings up the broad -- broad

1     preemption statement that was in the policy. I wasn't  
2     thinking about it in terms of tort law necessarily,  
3     but I think that there are state consumer protection  
4     laws that -- and NHTSA has a very broad preemption  
5     statement in the policy which was very concerning.

6             And, you know, we -- the states -- the  
7     National Conference of State Legislatures they have a  
8     list of the -- of I think 15 or 16 states that have  
9     some sort of legislation on the self-driving cars.

10            And they want to be careful. I mean, these  
11     states are concerned about their residents and their  
12     residents' safety and their own standards, as well.  
13     And it's fair that NHTSA should have -- certainly have  
14     minimum standards of what -- of what performance is  
15     and what safety is.

16            But we should be concerned about consumer  
17     protection rights under their own state's laws.

18            MR. WOOD: Thank you. Michael, both you and  
19     Adam mentioned the industry differences. You were  
20     talking about the professional user in products  
21     regulated by some other agencies and, Michael, you  
22     were talking about the range of significance in some



1 of the updates or types of software involved whether  
2 it's your medical encyclopedia or the needle assembly  
3 fixed to or connected to the -- your iPhone.

4 So in the case of the automotive industry  
5 compared to the other industries you can think of like  
6 the train industry with positive train control and the  
7 medical device, et cetera, how is the automotive  
8 industry and its products different if they are and  
9 what implications do those have on how we monitor post  
10 sale updates and provide guidance?

11 How should that guidance differ? And what  
12 -- what are the automotive analogs to the types of  
13 examples you gave about encyclopedia versus the  
14 hypodermic needle?

15 MR. THIERER: Well, that's a good question,  
16 Steve. And I think one thing that I've seen not just  
17 from the FDA, but these other agencies that I monitor  
18 is that they've learned that they have to pick their  
19 battles. They have to figure out maybe like here's  
20 the threat scenario for this particular technology or  
21 its software updates or whatever else that would be  
22 most risky and here are the ones that we can live

1 with.

2 So that FDA example is, you know, a pretty  
3 stark one of just a dictionary saying please don't lie  
4 about things and if you do it's fraudulent behavior,  
5 but then there's the more serious invasive types of  
6 technologies that cause serious potential harm to life  
7 and limb.

8 And so, you know, that's the FDA and its  
9 officials making a choice about where to draw some  
10 lines understanding that we live in a world of limited  
11 resources including regulatory resources.

12 For a car that's a little bit more  
13 complicated because, you know, how do you unbundle the  
14 code part versus the mechanical part and there's that  
15 relationship between them in order to make the machine  
16 work.

17 And it seems like NHTSA's really struggling  
18 with this in the comments and a lot of people that  
19 commented to the agency talked about this. You know,  
20 can you make that broad line distinction? It's going  
21 to be hard.

22 But I do think there's no doubt that the

1 soft -- the pace of innovation at the code level is  
2 going to be happening at a much, much faster clip than  
3 at the mechanical -- the physical one. And, you know,  
4 with NHTSA's recall authority you have the ability to  
5 address both ex-post.

6 The question is can you do it preemptively?  
7 And what I'm suggesting is on the software side of  
8 things that's going to be extraordinarily difficult.  
9 That it's better to rigorously monitor the market  
10 performance of the vehicle as a whole and figure out  
11 what went wrong afterwards and determine if that could  
12 be corrected, if it needs a recall, or something else  
13 or if there's some other remedy.

14 But that real world experimentation is going  
15 to be happening at a faster clip I think whether we  
16 like it or not. I've written about that in other  
17 contexts about how we're not doing this in the vacuum  
18 of just the United States of America.

19 This is happening internationally and we  
20 live in a world now characterized by global innovation  
21 arbitrage where innovators are saying, well, we're not  
22 getting the flexibility to do it here, we're going to

1 go somewhere else and do it. And so that's another  
2 uncomfortable reality we have to live with here.

3 MR. WOOD: Michael?

4 MR. CLAMANN: No. I agree that the rapid  
5 evolution of software is going to make this a huge  
6 challenge just to be able to keep up with the changes  
7 that are going to be happening.

8 I think as we look across agencies we're  
9 seeing that these advances in technology are affecting  
10 all of them and everybody's kind of looking back to  
11 see, you know, what changes they have to be making.

12 So you have the FAA, which is dealing with  
13 their own guidelines at this point for the -- for  
14 effecting drones. So while right now you're allowed  
15 to go out and buy one of these things and use it for  
16 business purposes, they're still limited to line of  
17 sight. So this aspect of autonomy is still being kept  
18 out of drone use for the time being.

19 You've got -- within the rail industry  
20 you've got positive train control which offers a lot  
21 of things. For example, you know, right now actually  
22 this is true with pilots and with engineers that you

1 need two people in the cockpit after a certain number  
2 of hours of use. And positive train control may help  
3 the single operator to be able to deal with some  
4 emergencies that he or she may not have been able to  
5 deal with before.

6 But, you know, with the rail industry  
7 they've been trying to implement positive train  
8 control for a number of years. And I think recently,  
9 you know, they kicked it out to 2021 at this point  
10 moving it another three years before it can actually  
11 be implemented due to some of the issues that they're  
12 dealing with between industry and the regulatory  
13 environment.

14 And then, again, we have with computer  
15 assisted surgery in the medical domain. You've had  
16 the so-call robotic surgery devices which have been  
17 around for 17 years at this point, but none of these  
18 have any kind of automation behind them.

19 And I've talked to a bunch of surgeons who  
20 are very interested in what's going on in autonomous  
21 vehicles saying we have cars now that can park  
22 themselves. You know, why can't I have robotic

1 surgery device that can tie off a surgery -- tie off a  
2 suture or close a wound?

3 And so I think all of these agencies need to  
4 talk to each other to find out what is everyone doing  
5 to be able to, you know, find out what the best --  
6 what the best course of action is.

7 MS. HINES: Just one quick comment. So in  
8 regards to, say, FAA and the CPSC, the policy has, you  
9 know, refers to, you know, new regulatory tools and  
10 refers to certification.

11 And both the FAA and the CPSC have third  
12 party or not self-certification. And that could be  
13 something or that's something we recommend that the  
14 agency think about in this case, again, for the post  
15 regulatory tools, for the software updates.

16 If there are -- if there is a third-party  
17 standard for complying with -- for compliance to get  
18 software updates out, that might be, again, something  
19 that would protect consumers.

20 MR. THIERER: Again, just make a brief  
21 comment on this because I think NHTSA alluded to this  
22 question of like is there another model that we can

1 think about.

2           And when talking about the FAA model, NHTSA  
3 says, and I quote, "The duration of the FAA  
4 certification process varies. Typically, however,  
5 they last three to five years. And that the most  
6 recent one for the Boeing Dreamliner lasted  
7 considerably longer, an estimated 200,000 hours of FAA  
8 staff time, and lasted eight years."

9           I would hope if nothing else today we can  
10 agree that's not a particularly good model for  
11 driverless car technology. We need things to move a  
12 little bit faster than even the average three to five  
13 years.

14           And I think NHTSA identifies that problem  
15 nicely and says we need to be aware of these  
16 tradeoffs. So, yes, we should make safety the  
17 paramount value, but we're also not having this debate  
18 happen in a vacuum. As the Administrator started off  
19 by talking about this morning, we live in an era when  
20 35,000 people are losing their lives every year on the  
21 road.

22           And, you know, we're not starting this

1 debate about driverless cars from the fresh point. We  
2 have a starting point of a lot of deaths already due  
3 to human error.

4 I have to believe the baseline could be  
5 improved by embracing this technology.

6 MR. CLAMANN: I'm just going to make comment  
7 related to the FAA again is that there was also, you  
8 know, the issue with the FAA as they kind of switched  
9 their -- some of their focus in the early 90s they  
10 were referred to as a tombstone agency because a lot  
11 of the policies that they enforced at that point had  
12 to do with recovering from fatalities.

13 So, for example, you know, when we have a  
14 flight that crashed in the Everglades due to a fire  
15 that happened in the cargo hold it took awhile before  
16 they were able to install smoke detectors and fire  
17 alarms within the cargo holds.

18 So it also does help to look at some of  
19 these issues in advance. Look at some of the  
20 guidelines that are already in place in some of the  
21 other agencies to do the hazard, do the risk analysis  
22 in advance to see -- try to predict what problems may



1 come up so we're not having to chase afterward with  
2 other -- other methods to be able to stop fatalities  
3 after they've already occurred.

4 MS. WILLIAMS: Okay. We have a couple  
5 questions.

6 MR. FIKENTSCHER: So the first question:  
7 Some of this panel's discussion has operated under the  
8 assumption that the status quo is reckless -- is  
9 riskless. Sorry. Riskless. That makes more sense.  
10 Which we know it's not, i.e., rising fatalities.

11 How risky would a post market software  
12 update have to be to justify delay?

13 MR. THIERER: Well, I just alluded to this  
14 and, you know, this is what economists call the  
15 opportunity costs of regulation. That we live in a  
16 world of tradeoffs.

17 So perfect safety, if that's our goal, and  
18 we try to institute it by policy is actually going to  
19 lead to less safety. Because if you spend all your  
20 time obsessing about hypothetical worst-case scenarios  
21 and base a public policy upon it, then ultimately many  
22 best-case scenarios will never come about.

1           That it's only through ongoing trial and  
2 error experimentation that we get greater prosperity  
3 and wisdom and learning. And we learn from our  
4 failures and we also get innovation through that trial  
5 and error iterative process.

6           So a lot of the great success stories of not  
7 just automotive engineering, but all forms of  
8 engineering have come about through trial and error  
9 experimentation. A lot of it being done in the real  
10 world.

11           In my filing to NHTSA we actually took an  
12 effort to try to put some math to this and quantify  
13 this and talked about that using the baseline that  
14 others have come up with in terms of the potential  
15 delay. We could be talking about just a 10 percent  
16 slowdown you're looking at somewhere in the order of  
17 over 10,000 -- or, I'm sorry, over 30,000 lives  
18 potentially over a 30-year year period being foregone  
19 we could have saved assuming that driverless car  
20 technology could save a certain percentage of lives.  
21 And we used industry standards that were used in other  
22 reports.

1 I know these things are debatable in terms  
2 of exact numbers. We went through the exercise to  
3 exemplify these tradeoffs. And as the questions are  
4 asked, you know, we don't live in a riskless world  
5 right now. We live in a world where human error leads  
6 to around 94, 95 people losing their lives every  
7 single day and 6,500 people being injured because of  
8 that human error.

9 MR. CLAMANN: There's a precedent within  
10 safety engineering for compar- -- for looking at risk  
11 and determining if there needs to be an intervention.  
12 Essentially it's a formula that looks at the  
13 probability of a risk occurring and the severity of  
14 the risk occurring.

15 So when we look at the types of updates that  
16 are going to come through, if it's something that, you  
17 know, isn't going to come up very often and has to do  
18 with a simple cosmetic change, it's probably not  
19 something that you need to intervene with. It's  
20 probably something we go through.

21 But if you look at the opposite extreme --  
22 something that is extremely likely to happen or

1 something that happens frequently and something that's  
2 going to lead to some kind of a catastrophic multi-car  
3 accident, then, yes, you probably do want to step in  
4 and intervene in advance of this being released over  
5 the air.

6 But then it depends on where across that  
7 continuum do you -- do you want to stop. And so it  
8 would be up to, you know, someone with a different pay  
9 grade than me try to look across that continuum in  
10 figuring out what is the point where we have to stop.  
11 But that point would exist, it just has to be decided  
12 on within that continuum.

13 MS. HINES: Okay. So just really quickly.  
14 I mean, I don't have a number for you, but, I mean, I  
15 could say that, you know, just looking at, you know --  
16 just looking at the news and the air bags and the --  
17 all the various safety issues that have killed  
18 hundreds or thousands of consumers not because of  
19 their human error, but because of manufacturer --  
20 manufacturer error and which have -- which could have  
21 been prevented.

22 And even where there have been recalls and

1 they identified the wrong fix for it and still had to  
2 make additional recalls because the -- because they  
3 didn't identify what the real problem was.

4 So we've -- so we've seen this. And so I  
5 think, you know, there is -- that's out there and that  
6 could have been prevented. And that, you know, let's  
7 not make human lives part of your beta testing trial  
8 and error situation. These are people.

9 There are people who are going to be on the  
10 road who are driving cars on their own and then you  
11 have automated vehicles also on the road. So you're  
12 risking their lives, as well, then -- you know, then  
13 you're going to have vehicles that are kind of  
14 automated, but not really. And so you're going to  
15 have all of these three things on the road at the same  
16 time.

17 And so what we're saying here is, you know,  
18 we don't -- you know, we don't have a number for you,  
19 but a lot more could be done. We see what's happening  
20 now with people's lives being risked because of, you  
21 know, defects in cars which could have been prevented.

22 So, you know, there is a lot of work to be

1 done. And what we're saying is if there are, you  
2 know, proper standards, proper standards, you know, it  
3 is complicated and I understand that. But there can  
4 be proper standards with testing, proper standards for  
5 post-sale, proper standards, you know, throughout the  
6 process and we need those to be enforceable.

7 MR. WOOD: Let me just add something there.  
8 There's another aspect of what Christine's raising and  
9 that is -- and it's a source of a different kind of  
10 delay.

11 In addition to the human carnage, the  
12 agency, over its history, has experienced problems  
13 with different types of performance. Air bags in the  
14 mid/late 90s in low-speed crashes there were some  
15 drivers and young children being killed by air bags.

16 And I think one of the -- I think both the  
17 manufacturer as well as the regulators recognize that  
18 a concern is trying to maintain consumer confidence.  
19 And so trying to strike the right balance between  
20 preintroduction or premaking of the software updates  
21 as well as the post-market surveillance.

22 That you need to try to maintain -- manage

1 risk in a way in which keeps that flow of technology  
2 moving forward and having the permission within  
3 society and within government for that process to  
4 continue to occur.

5 MS. WILLLIAMS: Okay. With that we're going  
6 to conclude that panel since we have one last panel to  
7 get through this afternoon. So can you thank our  
8 panelists?

9 So and our sixth and final panel is on other  
10 potential tools. This will, again, be moderated by  
11 Mr. Paul Hemmersbaugh, chief counsel for NHTSA. He  
12 started us out this morning -- or this afternoon and  
13 he's going to finish us out, as well.

14 And I do just want to make one point. We  
15 did reach out to FDA and also CPSC. They  
16 unfortunately couldn't be with us today. They had  
17 other commitments. But these are dialogs that we  
18 definitely will be having with them since they are  
19 very relevant to this discussion.

20 (Brief pause). I guess I should follow that  
21 (sic) own advice. For the panelists when you want to  
22 turn on your microphone, again, if you look down it's

1 the button with the face, okay.

2 MR. HEMMERSBAUGH: So I noticed that our MC  
3 said we had one more panel to get through. I wish you  
4 all --

5 MS. WILLIAMS: I apologize.

6 MR. HEMMERSBAUGH: -- courage and  
7 perseverance --

8 MS. WILLIAMS: I did not mean it that way.

9 MR. HEMMERSBAUGH: -- in that task. I got  
10 through all the panels today and I had a couple  
11 observations that I thought would be useful or at  
12 least of some value in summing up.

13 I think one of the things is that these are  
14 some hard problems. And, you know, as Casey Stengel  
15 was reputed to have said, you know, "it's really hard  
16 to forecast especially about the future."

17 And the things that it seems like a lot of  
18 the panelists have said are that it's really important  
19 to get this regulatory approach right so you don't  
20 stymie innovation and you don't short change safety  
21 and so forth. So it's really important to get it  
22 right, but how to get it right people don't seem to



1 have a lot of ideas.

2 And similarly, I think, although we're  
3 talking about tools -- regulatory tools, it seemed  
4 also that people were saying, you know, the substance  
5 of how you do this -- what is the content of these  
6 tools and against what standards do you apply these  
7 tools and so forth. That the substance of that is  
8 really important and it's really important to get that  
9 right.

10 And then something that seems to me a little  
11 bit intentional with that people say but you need to  
12 do it really quickly. And you need to keep pace with  
13 this technology that is difficult to understand and  
14 difficult to predict, but let's do it quickly.

15 And so I'm sort of adding all those things  
16 up. I guess my thought was that the agency needs to  
17 be more perfect about these things. And so we'll try.

18 But I think it's really, you know, joking  
19 aside, it has illustrated that these are some tough  
20 problems and that they're not necessarily susceptible  
21 of easy solutions or perhaps of solutions that we've  
22 used well in other context.

1           And one of the things I'd like to encourage  
2 the three panelists here to talk about is if they have  
3 ideas about tools -- so this is kind of a cleanup  
4 obviously. And we're talking about -- here about  
5 other regulatory tools that the agency might use.

6           And if you folks have ideas about things  
7 that were not included in the policy, I'd be very  
8 interested in hearing about them, we all would. But I  
9 think that this illustrates to me more than anything  
10 that the importance of this kind of dialog, but also  
11 that it's ongoing.

12           And it's one of the reasons we made this  
13 policy -- we're having these fora and hearings and  
14 also that we made this policy to be renewed and  
15 refreshed with some frequency is a little bit of a  
16 degree of humility about what we know about all this  
17 and what we can know and how the process needs to be  
18 the product of our learning as we go forward.

19           Before I go any further, let me introduce  
20 the panel members. We have directly on my immediate  
21 right Ryan Hagemann, Hagemann. Ryan is the techno- --  
22 technology and civil liberties policy analyst at the

1 Niskanen Center. His research specialties include  
2 privacy and surveillance, robotics and automation,  
3 decentralized networks, Internet policy, and issues at  
4 the intersection of sociology, economics, and  
5 technology. So he's the one who's going to give us  
6 the solutions.

7 His previously authored works on the  
8 Economic and Social Ramifications of Autonomous  
9 Vehicles with the Mercatus Center.

10 To his right and in the middle is Ian Adams.  
11 He's a senior fellow with the R Street Institute and R  
12 Street's former western region director. He is also  
13 an insurance and public policy associate with the firm  
14 Orrick, Herrington, and Sutcliffe in Sacramento,  
15 California, where he advises clients on matters at the  
16 intersection of law, business, and public policy.

17 His research and writing has focused on  
18 state-based property and casualty insurance  
19 regulation.

20 And finally we have with us on the far right  
21 -- well, I guess we'll find that out, but to my right,  
22 Cary Coglianesse, the Edward Shils Professor of Law and

1 Political Science at the University of Pennsylvania  
2 where he currently serves as the director of the Penn  
3 program on regulation and has served as the law  
4 school's deputy dean for academic affairs.

5 He specializes in the study of regulation  
6 and regulatory process with an emphasis on the puracol  
7 evaluation of alternative regulatory strategies and  
8 the role of public participation, negotiation, and  
9 business government relations in policymaking.

10 Thanks to the panel for coming today and  
11 thanks for persevering through the rest of the panels.

12 The first tool that we -- that I'd like to  
13 discuss and have each of you sort of give your take on  
14 is the notion of having a variable testing procedure  
15 for testing automated vehicles and their competence  
16 and their -- or their behavioral competence.

17 And the notion here I think is that in a  
18 very complex environment -- in fact, I think Mr.  
19 Shladover mentioned this -- that in such a complex  
20 environment it's tough to have a single objective test  
21 that can take into account all the variability that  
22 especially higher level automated vehicles will

1 encounter.

2 And at the same time if you had such a test  
3 that had all these things sort of preprogrammed or  
4 preset in advance, it might be susceptible to the  
5 gaming of the test.

6 And so our Vehicle Safety Act, our  
7 authority, has been interpreted to require us to have  
8 a -- essentially a static test. So there might be  
9 some need for either new legislation or potentially a  
10 different interpretation of our testing authority.

11 But, first, does it make sense for us to  
12 have a variable test that is not applied in precisely  
13 the same way to each and every vehicle?

14 Ryan?

15 MR. HAGEMANN: Right. There we go. Well,  
16 first off, thank you, Paul. Thank you to NHTSA for  
17 having me here.

18 So I'll answer that question very briefly,  
19 but then I'm going to blow it up and broaden my  
20 response a little bit to discuss the toolkit, in  
21 general, that we're talking about here.

22 So, in short, attempting to impose some sort

1 of whether it's static or variable testing scheme here  
2 dynamic or otherwise to these types of vehicles and  
3 this type of underlying technology I think runs the  
4 risk of running into a lot of problems. And a lot of  
5 problems that aren't going to be easily communicated  
6 to the regulators.

7           There is this notion in artificial  
8 intelligence research called algorithmic pareidolia.  
9 Pareidolia is a psychological condition where one  
10 looks at a pattern or an object and sees something  
11 that is not actually there. So they interpret, for  
12 example, a -- if any of you have ever seen the movie  
13 Mallrats, the fellow who's looking at the magic eye  
14 and he's trying to see the boat, the schooner as the  
15 child tells him, that's sort of what we deal with in  
16 AI research when we're talking about algorithmic  
17 pareidolia.

18           The difficulty is if you get the algorithm  
19 coming back to telling you, you know, you feed it the  
20 image of a cat but it comes back and it feeds you, you  
21 know, an answer that says, well, it's a jumble of  
22 nothing or alternatively you get a jumble of nothing

1 that's fed to it and it comes back and it says, well,  
2 it's a cat. There's no real way for the algorithm to  
3 actually explain to you why it is it made that  
4 decision.

5 So I think a lot of the trouble you're going  
6 to run to -- into with that first tool is at this  
7 point there's no real objective measurement tool we  
8 can use to assess why it is an autonomous vehicle  
9 maybe made a particular decision that it made.

10 But to broaden this a little bit more, my  
11 comments don't actually focus on the tools here at the  
12 end of the Federal Automated Vehicles Policy  
13 Guidelines at all because I saw the tools as a means  
14 to support a number of the newly proposed authorities  
15 in the guidance document almost some of which I  
16 objected to on a number of different grounds. And  
17 we've already covered those in all of the other  
18 panels.

19 But just to put that into perspective for  
20 you, the reason I didn't focus so much on these tools  
21 in particular was because of -- because the way I saw  
22 them was a means to support those ends.

1           Something that I think we need to think  
2 about moving forward here when we start to discuss not  
3 just these tools, but what our next steps are is  
4 something that my friends over at the Mercatus Center  
5 I thought did a fabulously excellent job on with these  
6 comments which is cost benefit analysis.

7           We aren't -- we just don't have enough cost  
8 benefit analysis that have been done or that maybe are  
9 on the horizon to be done to assess what kind of an  
10 impact some of the proposed new authorities are going  
11 to have and there's a lot still to be done there.

12           So I think next steps for us is not only  
13 embarking on that path, but also addressing what I  
14 look at as the underlying question with all of this  
15 which is what exactly is it we're trying to regulate  
16 here?

17           We're not actually talking about -- and I've  
18 heard the phrases functional safety processes, system  
19 safety processes. I mean, are these checklists for  
20 software engineers? Is this what we're talking about?

21           I mean, what we're actually talking about I  
22 see maybe this as the subtext throughout some of the



1 automated vehicle guidelines policies. But what I'm  
2 worried about and why I objected to some of these  
3 newly proposed authorities is I see this as perhaps --  
4 I don't want to call it a stalking horse -- but a  
5 situation which we start regulating the underlying  
6 algorithms at play here. The underlying artificial  
7 intelligence and we essentially turn NHTSA into the  
8 Federal Code Commission as some have proposed.

9 That worries me partly because, as I've  
10 already explained, part of the reason tool number one  
11 maybe isn't that valuable for us right now in terms of  
12 assessing appropriate objective standards of  
13 regulations for these vehicles is we don't actually  
14 know how AI works in all situations.

15 So to give you just a very broad  
16 understanding of AI, here's basically how AI works.  
17 This is basically underpants gnomes logic for you.  
18 Step one, inputs; step two; step three, outputs. And  
19 then there's an infructuous feedback loop where the  
20 outputs are fed back into the -- it's complicated, but  
21 basically algorithms -- artificial intelligence in  
22 general is still kind of sort of a black box for all

1 of us.

2 And so when we're actually talking about  
3 autonomous vehicles I think we need to start  
4 separating the actual physical car from what we're  
5 actually talking about with automated vehicles which  
6 is the artificial intelligence that feeds into the  
7 software algorithms that actually make the automation  
8 possible.

9 How you regulate -- how you regulate that I  
10 don't have a good answer to that. And anyone who says  
11 they do is probably fibbing a little bit.

12 So I just wanted to sort of attack this from  
13 a high level starting point to begin with because, you  
14 know, I think the toolkit is, you know, if we can make  
15 this work, great. But I don't think it is a workable  
16 solution to what right now seems like a problem that  
17 ultimately needs to be solved by industry-like  
18 consensus based standards and best practices in  
19 partnership with NHTSA and other federal regulators.

20 MR. HEMMERSBAUGH: Ian?

21 MR. ADAMS: Yes. And I also am pleased to  
22 be here, Paul. Thank you.

1           So I suppose I'll jump right in. I don't  
2 know that deviating from objective testing procedures  
3 is the way to move because simply if I'm going to take  
4 a test and I am in an industry with other folks, I'd  
5 very much like the other participants in the industry  
6 to be taking the same test.

7           At least with my clients in the insurance  
8 industry if we were held to different regulatory  
9 standards, that would be -- that would be a real  
10 problem for me.

11           And then the second element of that is that  
12 I don't know how profound the problem with gaming is  
13 going to be in the event that during these tests,  
14 right, manufacturers play to the test and then in the  
15 real world these vehicles are unable to function as  
16 we'd hope, right.

17           So you've got real world constraints in the  
18 terms of recall, lawsuits, and as we've talked about  
19 at great length today, customer trust which is going  
20 to be a large component of this new technology's  
21 adoption moving forward.

22           So and finally I think that this is

1 something where in -- once these vehicles are deployed  
2 if there is some sort of a failing that was not  
3 detected in an objective test, it's going to become  
4 apparent rather quickly in the way that these vehicles  
5 operate.

6 So I do have some reservations about  
7 variable test procedures and I think I'd probably  
8 stick to a more objective approach.

9 MR. HAMMERSBAUGH: Cary, do you have  
10 thoughts?

11 MR. COGLIANESE: I think the concern about  
12 gaming is something that exists with any kind of test  
13 whether it's objective or variable I think really.  
14 It's something that could always be there.

15 I guess the variable test would perhaps make  
16 it harder to gain and that's for sure. But I think  
17 there's actually two other reasons to think about  
18 variable testing here that's not stated in the  
19 guidelines, but I think probably are even more  
20 important than gaming.

21 One is just that the testing should relate  
22 to one's objective. And the objective here is that a

1 vehicle perform in a highly varied environment. And  
2 so if the test is going to meet the objective, it's  
3 got to be something that mirrors that varied  
4 environment. So that would seem to me to be the first  
5 and foremost reason to think about a varied test here  
6 and not gaming.

7 But a second reason to think about this is  
8 that tests in the regulatory context have historically  
9 tended to have a narrow set of goals or even maybe  
10 just one goal in mind. And, yet, in practice we often  
11 demand of technologies and systems the meeting of more  
12 than one goal. And variable testing might actually  
13 help flush out how -- how vehicles will perform with  
14 respect to multiple goals.

15 What I mean by that let me just give you two  
16 examples. One was already mentioned before about that  
17 NHTSA has had experience with in the air bag context  
18 which the performance test initially was to, you know,  
19 meet a set of pressures and so forth on crash test  
20 dummies that were sized to the average adult male.

21 And obviously that -- those systems were  
22 built and performed to meet that test well, but didn't

1 perform as well for people who were smaller than the  
2 average adult male. And then with time we've  
3 developed more sophisticated tests and now have a  
4 range of things that we're looking for.

5 But I think that was an example of where you  
6 can get with a single test -- a single objective test  
7 to sort of a set of blinders -- regulator can get a  
8 certain set of blinders. And I think that's important  
9 to try to avoid here.

10 Here's another example from outside of  
11 NHTSA's context and it has to do with something we're  
12 probably all very familiar with. The child resistant  
13 packaging on pharmaceutical products.

14 Lots of kids were dying because they were  
15 able to get access to medications and so forth so the  
16 federal government put in place standards that  
17 products containing medicines and other harmful  
18 substances had to have child-resistant packaging.

19 And what the standard called for was a test  
20 that said give this product -- this package to kids,  
21 show them how to open it, and then close it up and see  
22 how many of them can open it. And as long as no more

1 than 15 percent of the kids could open it, it met the  
2 test. It was great. And, again, products met that  
3 single-objective test.

4 But it also turned out to be the case that  
5 those products that met that test were hard for adults  
6 to open. And so what ended up happening is that  
7 adults would open the packages and leave them open  
8 because it was so hard to open.

9 And we went from a situation where there  
10 actually were -- was an increase in certain kinds of  
11 childhood poisoning from products being left open. So  
12 what it -- it took about 15 years and the federal  
13 government then created a multi-factor test that said,  
14 oh, these product packages have to be hard for kids to  
15 open, but they also have to be easy for adults to  
16 open.

17 So I think those are the sorts of factors  
18 that I would be thinking about even more than gaming.  
19 I think gaming's always a worry one has to think about  
20 ways of monitoring, verifying. Not just trusting, but  
21 verifying in any kind of testing situation.

22 But you also need I think and particularly

1 in this context to pay attention to the highly  
2 variable conditions under which vehicles are being  
3 used in which in this context the autonomous systems  
4 are designed to perform and also to the fact that  
5 there's multiple objectives.

6 And with that in mind, by the way, I would  
7 say that there are likely to be tradeoffs among the  
8 various goals and objectives that have been tossed  
9 about here today. You know, up until this point in  
10 time NHTSA's primary objective I think with respect to  
11 automobile design has been safety, but I'm hearing,  
12 and rightly so, concerns about things like privacy and  
13 cybersecurity which introduce completely new  
14 objectives and which may introduce tradeoffs in how  
15 these systems perform. And one needs to be mindful of  
16 the multiple objectives that in practice we're  
17 demanding of these new vehicles.

18 MR. HEMMERSBAUGH: Thank you. I think that,  
19 again, this sort of illustrates, I mean, we've got  
20 responses ranging from testing is maybe futile to  
21 beware unintended consequences.

22 What perhaps both of those suggest is that



1 we're not likely to get it exactly right in the first  
2 try. And one response to that sort of uncertainty is  
3 to have sunsets in regulations.

4 And so, you know, you issue a regulation and  
5 then you say in five years it shall cease to apply.  
6 And I think the idea of that is to require agencies to  
7 go back and revisit what their regulatory analyses and  
8 conclusions were.

9 And in a lot of ways that seems to make  
10 sense to me and I'm going to ask you what you folks  
11 think about it. But the one thing I would also ask  
12 you to take into account is the length of time it  
13 takes to promulgate these regulations in the first  
14 place, including cost benefit analysis.

15 And the -- we can't assume unlimited agency  
16 resources. And, in fact, the agency resources are  
17 quite limited. And so in the context of limited  
18 agency resources, long lead times to promulgate  
19 regulations, but aware of the cautions that each of  
20 you has sounded, what do you think about sunset  
21 provisions in regulations and should it be almost a  
22 standard practice or used sparingly or used

1 frequently?

2 Ryan?

3 MR. HAGEMANN: I'm a huge fan of sunset  
4 provisions. I say include the sunsets all over the  
5 place. Especially, though, with emerging technologies  
6 because this is an area where, you know, as Adam  
7 already pointed out, the technology is just  
8 developing, the innovation is occurring at such a fast  
9 pace that organizations like NHTSA, the FDA, FAA, all  
10 of these federal bureaucracies that were constructed  
11 during a time when we didn't experience the type of  
12 rapid progress that we've been experiencing now simply  
13 can't keep pace.

14 And that -- this is sort of a broader issue  
15 with -- you know, it's not just NHTSA. It's everyone  
16 in the federal government, you know. The real  
17 question right now of our time I think as it relates  
18 to emerging technology regulations is how can  
19 regulatory agencies more appropriately tailor their  
20 rules to address this current policy dilemma?

21 And, you know, part of that answer is, well,  
22 there's not really a good answer. But maybe the best

1 answer we have available to us is to simply, you know,  
2 promulgate those rules we think will do the most good  
3 in a very narrow sense, you know. I mean, pick --  
4 pick your primary goal, as Cary was pointing out, you  
5 know, and let's just install a, you know, one to two-  
6 year sunset. I'm in favor.

7 MR. ADAMS: So, Paul, I'm also comfortable  
8 with regulatory sunsets. That's just because I  
9 primarily do most of my work in an industry that is  
10 really very old when it comes to -- when it comes to  
11 the regulatory environment and the insurance industry  
12 and we're consistently running into regulations that  
13 are different across the states because insurance is  
14 regulated at the state level and have been on the  
15 books in some cases for decades and decades and no  
16 longer bear any resemblance to the sort of ongoing  
17 business that is -- that is going on within the  
18 industry.

19 And that's a real problem when it comes to  
20 the new and exciting products that we'd like to see  
21 developed and that we'd like to see consumers get  
22 their hands on and it's also leading to -- I know

1 you've heard this recently -- a patchwork -- a  
2 patchwork across the states of products available to  
3 people which has turned into a real loss for  
4 consumers.

5 But at the same time I'm mindful of the fact  
6 that it's very expensive and very time consuming to go  
7 back and to reassess these things particularly in the  
8 event that the sunset applies to a provision that  
9 ultimately will not be changed in a meaningful way.  
10 And so we don't want to be wasting resources either.

11 So while drop dead sunsets do certainly  
12 have, you know, an appeal to them, I think that  
13 ongoing dialog, that an iterative approach as NHTSA  
14 calls it, an informal approach that has constant  
15 contact between the industry and the regulator is  
16 likely the best way to inform when the implementation  
17 of a sunset may be necessary.

18 So maybe not right out of the gate, but as  
19 you begin to see issues on the horizon potentially  
20 introduce one.

21 MR. COGLIANESE: I think the question is how  
22 do we create an optimally flexible or an optimally

1 rigid regulatory system in the face of innovation.  
2 And when one looks at it in terms of the optimality  
3 and the degree of -- and rigidity is sort of a  
4 negative term, but it has a negative connotation to  
5 it, but I think there's actually positive  
6 connotations, as well. Predictability comes with that  
7 rigidity. I think a system -- an economy doesn't  
8 thrive if we have too much unpredictability in our  
9 legal system.

10 But when one looks at it, then, and from the  
11 standpoint of optimality I think sunset provisions,  
12 which may have their place in certain context, are in  
13 many contexts a really blunt instrument.

14 There are other ways of creating the  
15 smoothing and adaptability to a regulatory system  
16 without creating hard sunsets. I mean, one thing,  
17 Paul, you noted that the -- I think these were your  
18 words -- wanted to require agencies to go back and  
19 revisit.

20 Well, you can do that without a sunset.  
21 Just require agencies every X period of time go back  
22 and review and make a finding about whether it needs

1 to be changed. This is how the EPA is told under the  
2 Clean Air Act to go back and revisit air quality  
3 standards, for example. So it can be done even  
4 without a sunset.

5 But there's also other vehicles. We had a  
6 session here on exemptions. So to the extent that  
7 there needs to be some flexibility adaptation the use  
8 of exemptions maybe not under the current limitations  
9 of a small number of vehicles, but there could be --  
10 that could be a way.

11 Petitions, as well, are -- you know, if  
12 there's something that's so outmoded like we're all  
13 here today discussing whether the current regime is  
14 outmoded, there will be avenues for people to petition  
15 and try to seek to convince the agency to change. So  
16 I think that there are those other avenues.

17 The real question in my mind is whether at  
18 the end of the day thinking about the automation of  
19 driving is -- and that's it's really the automation of  
20 driving much more than the automation of vehicles that  
21 we're here talking about.

22 Whether the automation of driving is

1 conducive to traditional regulation in the sense that  
2 we have conceived of it over the years. We started  
3 historically in this country with a legal system that  
4 was based upon a common law method which was built  
5 upon case after case after case and maybe principles  
6 emerged from the accretion of individual contextual  
7 decisions.

8           And then we moved into a world of regulation  
9 which is sort of top down a set of general rules that  
10 then apply to individual circumstances. And I think  
11 what's really interesting and challenging about  
12 artificial intelligence machine learning technologies  
13 is that, first of all, those technologies, themselves,  
14 are really, really, really good at contextualizing, at  
15 making individualized forecasts.

16           This is -- and this is the beauty of it and  
17 why it holds the promise of automating driving. And  
18 the question is whether a legal system that is highly  
19 general is capable of actually adequately addressing  
20 the very real risks associated with the implementation  
21 of a contextualizing technology.

22           And I know in one of the earlier panels the

1 question of ex-post liability came up. And I think  
2 that's something that's really critical and to be  
3 thinking about what's the interface between what the  
4 federal government does and what liability which  
5 exists at the state level principally will also -- how  
6 all that will play out.

7 But I do think -- I do think the sunset  
8 provisions are solutions that are put forth to a real  
9 problem, but probably too blunt of a solution.

10 MR. HEMMERSBAUGH: So I'll toss it back to  
11 you, Cary. If automated driving or automated motoring  
12 is not conducive to traditional regulation, what's the  
13 answer?

14 How do we ensure safety, ensure motor  
15 vehicle safety of American consumers?

16 MR. COGLIANESE: Well, one thing that is in  
17 the section right after ours about resources, networks  
18 of experts, special hiring. I will say that this is  
19 -- this is absolutely fundamentally crucial if we're  
20 going to set sensible policy with respect to highly  
21 complex technologies.

22 And there's no question that, I mean,



1 automobiles even with human drivers in them are very  
2 complex systems. But to do this well we need to make  
3 sure that we have government officials who have the  
4 capacity to analyze the data.

5 So all the tools -- the subsequent tools  
6 that maybe you still want to get to about data  
7 collection and recordkeeping and reporting, all of  
8 those I think are important.

9 The general strategy here is I think to  
10 think about this as a strategy of regulating by  
11 learning. And that -- that's why, you know,  
12 certainly, as I say, the sunset provision is trying to  
13 get at the right kind of problem of forcing an agency  
14 to periodically learn and assess. That's important.

15 I'm just not sure that, quite frankly, that  
16 there's a given time period. We could say let's all  
17 agree on this panel here that sunset provisions every  
18 five years are the right amount, but I don't know if  
19 five. Maybe five months we'll get there.

20 But we -- you know, I think we need a system  
21 that's learning and adaptable. So putting something  
22 out like NHTSA has done as a guidance gives you that

1 flexibility, it puts it out there. And a lot of  
2 things in this guidance are calling for additional  
3 data and analysis and processing.

4 And we just need to make sure, to come back  
5 to my first point here, was that, you know, the  
6 government continues to have the human resources and  
7 capacity to solve this. These are not going to be  
8 just technical problems, but ones that require good  
9 experts within government.

10 MR. HEMMERSBAUGH: Thanks. We're getting  
11 toward the end here. Ian, I wanted to give you a  
12 chance to in the last -- both of you a chance to  
13 mention if you -- if you're interested any of the  
14 tools that we may have listed in the policy, the other  
15 tools, or other ideas you may have as to good -- good  
16 safety facilitating devices that the agency might  
17 consider.

18 MR. ADAMS: So I'm always confronted with  
19 the issue of when something has presented a risk or  
20 something has gone wrong what is the appropriate point  
21 at which to report that to the regulator.

22 And so a regulator that is willing to build

1 a relationship with me and exercise a fair amount of  
2 discretion in understanding the nature of the issue  
3 that I have been confronted with that regulator is  
4 going to be more likely to have me report the issue  
5 earlier on.

6 And ultimately I think that's what's better  
7 for consumers the earlier we can get this in the  
8 process. And so if there were to be a tool that I  
9 would point out, I would say, the FAA gentleman  
10 mentioned it a little earlier, that when it is a  
11 mistake and it is not intentional and there is a  
12 meaningful remediation measure that is underway to  
13 have the flexibility to not move forward to fine or in  
14 some way publicly -- because that can be just as big  
15 of a problem, publicly shame the group involved.

16 I would encourage NHTSA to if it doesn't  
17 have that authority already to certainly make great  
18 use of it because, as I think we've heard in the other  
19 panels, given just how difficult the testing will be  
20 it's going to be very important that we analyze what  
21 happens once these vehicles are deployed.

22 And so we're going to see some of these

1 mistakes made out on the road in the environment with  
2 the public and that's going to be the context in which  
3 knowing when to forgive and build together the  
4 knowledge associated with that process when it's going  
5 to be even more important.

6 MR. HEMMERSBAUGH: So prudent to exercise  
7 jud- -- or judicial -- prosecutorial discretion?

8 MR. ADAMS: Oh, geez. Oh, geez.

9 MR. HEMMERSBAUGH: Enforcement discretion?

10 MR. ADAMS: Yes. Yes. I feel like I just  
11 failed the professor's test.

12 MR. COGLIANESE: I'm all in favor of  
13 prudence.

14 MR. ADAMS: Okay.

15 MR. HEMMERSBAUGH: Ryan?

16 MR. HAGEMANN: Yeah. No. Plus one to  
17 everything that Ian and Cary just said honestly. I  
18 think you kind of hit the head of the -- you hit the  
19 head of the nail with your policy toolkit hammer at  
20 the beginning, Paul, when you talked about this need  
21 for humility.

22 You know, regulatory forbearance can

1 sometimes be a very powerful signaling mechanism I  
2 think. Adam talked previously about, you know, the  
3 use of soft law to start regulating a lot of these  
4 emerging technologies.

5 The multi-stakeholder process, ongoing  
6 dialogs between industry regulators and other  
7 stakeholders in the community. I think having those  
8 dialogs and keeping them going is important as a means  
9 of signaling to the American people that these  
10 vehicles aren't just being shoved out there, but we  
11 are thinking about it.

12 But there's a lot of, as we've learned  
13 through the last five panels this afternoon, there's a  
14 lot of issues at play here. So I think continuing to  
15 exercise regulatory humility is an important -- is an  
16 important quality that folks over at NHTSA are  
17 exercising very well and should keep doing.

18 MR. HEMMERSBAUGH: Thank you. I don't know  
19 if we have questions or ...?

20 MS. WILLIAMS: I will leave that to your  
21 discretion. We do have one question, but we are also  
22 at time.

1 MR. HEMMERSBAUGH: I will -- we'll take the  
2 question --

3 MS. WILLIAMS: You'll take the question.

4 MR. HEMMERSBAUGH: -- so nobody can say that  
5 we didn't entertain all questions.

6 MS. WILLIAMS: Okay.

7 MR. FIKENTSCHER: Okay. Here we go. In the  
8 absence of a reliable objective test, would an  
9 alternative strategy be to treat AVs less like an  
10 inanimate object and more like a new human driver?

11 You might measure safety via a lifecycle  
12 error rate, an enforced performance via relatively  
13 strict liability for AV developers for safety critical  
14 failures.

15 The idea is to create a string of incentives  
16 to arrive at a high level behavioral pattern rather  
17 than regulate the minutia of software.

18 MR. HEMMERSBAUGH: Who would like to start?

19 MR. COGLIANESE: I was going to say, yeah.  
20 Rather than regulate the minutia of software I think  
21 that makes sense. I don't -- I think the performances  
22 of the software and the systems is what really

1 matters.

2 And taking that -- the kind of approach  
3 thinking about this as automated driving calls to mind  
4 how do we regulate driving. We have people who just  
5 go down and they take a test and actually sometimes  
6 they can take one test for the rest of their life.  
7 That's the only time they're ever tested.

8 And those tests, by the way, to go back to  
9 the variable they are highly varied, right. It  
10 depends on what truck is coming down the street at any  
11 given moment.

12 So I think thinking about this as how can we  
13 have a testing or regulatory regime that gets us  
14 closer to what we have right now which is -- seems,  
15 you know, I guess less than fully rigorous in many  
16 states would definitely be an advance.

17 MR. HEMMERSBAUGH: Ian, do you have  
18 thoughts?

19 MR. ADAMS: Well, I forget the exact  
20 language of the question, but it sounded -- I don't  
21 know why we would need to make the liability system  
22 any more stringent than it is. And that would be a

1 state-by-state project.

2 So I would be reluctant to move that  
3 direction, but certainly sounds like an interesting  
4 way of doing it given that it assumes that an  
5 objective test is unable to accomplish the goals that  
6 we would like to see accomplished.

7 MR. HEMMERSBAUGH: Thanks. Ryan?

8 MR. HAGEMANN: Yeah, briefly. I mean, it  
9 sounds like an interesting idea. As with anything,  
10 the devil is in the detail. So when we are talking  
11 about putting this artificial intelligence, this  
12 automated machine through this test what does that  
13 actually mean?

14 Does it mean downloading the code onto a  
15 central server at, you know, DMV and then they, you  
16 know, run it in an AI-like simulation?

17 I mean, what does it actually look like?  
18 Are we just running the code, are we installing it  
19 into a particular vehicle? Many vehicles are  
20 different. So, you know, there's a lot of questions  
21 with something like that.

22 I generally am in favor of any sort of



1 approach that takes us away from any, you know,  
2 stalking horse potential for regulating the underlying  
3 code of, you know, the automaton or the artificial  
4 intelligence unit, if you will.

5 So it's an interesting idea. I'd be  
6 interesting in exploring it more, but I'm not going to  
7 say definitely yes or definitely no right off the bat.

8 MR. HEMMERSBAUGH: Thanks very much to the  
9 panel and thanks to all of you for persevering. And a  
10 little bit more seriously, we really had some good and  
11 thoughtful input I think today and given everybody  
12 some things to think about and certainly the agency.

13 And on behalf of the agency, thanks to  
14 everybody for participating and coming and listening  
15 to this important topic. Thanks to the panel.

16 MS. WILLIAMS: So I'll just close us out.  
17 Of course, as Paul just said, that concludes our  
18 meeting for today.

19 However, if you do have any further feedback  
20 that you would like to provide us either on the  
21 morning session which was on the Model State Policy,  
22 this afternoon's session, modern regulatory tools or

1 otherwise, you can still do so at the official docket.

2 So it's NHTSA-2016-0090. We'll still  
3 consider that since, you know, as we committed as an  
4 agency we'll be updating that document. So that  
5 docket will be reviewed on a constant basis.

6 On behalf of Administrator Rosekind, I just  
7 want to, again, say thank you. And we really did  
8 enjoy the enriched conversation. And I meant that  
9 very much so with our last panel. I know we stayed a  
10 little longer today than we normally do for these  
11 public sessions so I appreciate it.

12 You can also reach out to any member of the  
13 team. Again, Dee Williams, I serve as the team leader  
14 for the FAV policy, Josh Fikentscher, Debbie Sweet had  
15 to leave us this afternoon but she was sitting in this  
16 seat earlier, and, of course, Michelle Atwell.

17 So thank you and please look for  
18 opportunities after the New Year.

19 (Whereupon, at 4:54 p.m., the meeting  
20 concluded.)

21

22

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

CERTIFICATE OF NOTARY PUBLIC

I, DYLAN HINDS, the officer before whom the foregoing proceeding was taken, do hereby certify that the proceedings were recorded by me and thereafter reduced to typewriting under my direction; that said proceedings are a true and accurate record to the best of my knowledge, skills, and ability; that I am neither counsel for, related to, nor employed by any of the parties to the action in which this was taken; and, further, that I am not a relative or employee of any counsel or attorney employed by the parties hereto, nor financially or otherwise interested in the outcome of this action.



DYLAN HINDS

Notary Public in and for the  
Commonwealth of Virginia

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

CERTIFICATE OF TRANSCRIBER

I, LISA BEAUCHAMP, do hereby certify that this transcript was prepared from audio to the best of my ability.

I am neither counsel for, related to, nor employed by any of the parties to this action, nor financially or otherwise interested in the outcome of this action.

DATE

LISA BEAUCHAMP

<b>0</b>	<b>2001</b> 125:18	<b>5</b>	101:6 108:2,6
<b>0</b> 30:17 163:21	<b>2013</b> 63:1 95:6	<b>5</b> 40:9 41:16 50:8	110:14 112:1
<b>1</b>	<b>2015</b> 5:18 31:8	76:3 163:22 177:4	113:10 114:1
<b>1</b> 30:18 58:4	<b>2016</b> 1:6	<b>50</b> 5:9 8:15 9:14	119:18 123:16
<b>1,000</b> 27:16	<b>2016-0090</b> 258:2	73:1	138:17 143:20
<b>1,400</b> 17:11	<b>2017</b> 50:20	<b>501</b> 45:14	144:1,15 153:5
<b>10</b> 68:8 175:15	<b>202</b> 1:13	<b>56</b> 53:9	160:16 162:9
218:15	<b>2021</b> 213:9	<b>5b</b> 41:14	164:20 165:4,9
<b>10,000</b> 218:17	<b>20th</b> 4:17 7:10	<b>6</b>	167:2,4 194:12
<b>10.4</b> 6:8	<b>22201</b> 1:12	<b>6</b> 154:20	195:11 212:6
<b>100</b> 53:8 176:21	<b>224</b> 3:13	<b>6,500</b> 219:7	213:3,4 214:5
176:22	<b>22nd</b> 19:12	<b>60</b> 3:7	216:16 217:2
<b>10th</b> 14:17	<b>23</b> 8:1	<b>600,000</b> 73:3,3	238:15
<b>12</b> 1:6 3:6 10:16	<b>2425</b> 1:11	<b>8</b>	<b>absence</b> 34:4
68:8	<b>24404</b> 31:8	<b>8:33</b> 1:7	135:5 136:9 254:8
<b>125</b> 3:9	<b>25</b> 134:6 187:9	<b>9</b>	<b>absent</b> 48:5,13
<b>13th</b> 125:17	<b>25,000</b> 91:6	<b>90s</b> 216:9 222:14	135:18 156:4
<b>15</b> 74:20 106:10	<b>257</b> 3:14	<b>93</b> 3:8	<b>absolutely</b> 77:15
123:2 125:6 208:8	<b>2:46</b> 156:20	<b>94</b> 6:21 7:3 46:20	79:22 144:10
239:1,12	<b>2d</b> 22:17	181:12 219:6	155:21 182:5
<b>15,000</b> 145:16,18	<b>3</b>	<b>95</b> 219:6	248:19
<b>157</b> 3:10	<b>3</b> 37:3 40:9 42:17	<b>a</b>	<b>absorb</b> 113:4
<b>15th</b> 4:19 31:13	45:14 76:3 156:21	<b>a.m.</b> 1:7	<b>abusing</b> 149:13
<b>16</b> 206:14 208:8	163:21	<b>aaa</b> 53:1,4,5,11,13	<b>academic</b> 228:4
<b>17</b> 206:14 213:17	<b>30</b> 218:18	53:16 56:5,16	<b>academies</b> 95:18
<b>170</b> 17:12	<b>30,000</b> 218:17	<b>aamva</b> 10:4 33:1	<b>academy</b> 95:18
<b>186</b> 3:12	<b>30118</b> 132:11	38:11,15,15,16	126:19
<b>1980s</b> 147:8	<b>30163</b> 132:13	39:1,15,16,20	<b>accelerate</b> 6:12
<b>1981</b> 127:9	<b>35</b> 14:12 59:3	40:2,14,20 41:6,8	28:10
<b>1985</b> 127:7,9	<b>35,000</b> 215:20	41:11 42:3,5,15	<b>acceleration</b>
<b>1986</b> 158:16	<b>35,092</b> 5:21 102:6	43:3,13 44:2,5,8	126:16
<b>1989</b> 127:7 147:12	<b>36,000</b> 5:20	44:12,21	<b>accept</b> 73:5
<b>1991</b> 126:13	<b>366-0846</b> 1:13	<b>aamva's</b> 39:20	<b>acceptable</b> 184:3
<b>1993</b> 125:20	<b>4</b>	<b>abilities</b> 104:7	<b>acceptance</b> 181:21
<b>1997</b> 125:18,20	<b>4</b> 3:3,4 40:9 41:16	<b>ability</b> 21:3 23:20	<b>accepting</b> 108:6
<b>1b</b> 40:17	42:17 50:8 76:3	33:15 52:6 110:19	<b>access</b> 52:9 238:15
<b>2</b>	163:22	121:13 139:5	<b>accident</b> 68:6
<b>2</b> 37:3	<b>40</b> 169:16 170:1	147:2 167:2 177:2	103:22 116:11
<b>2,500</b> 159:11,15	<b>43</b> 17:13	211:4 259:7 260:5	192:5 193:7 220:3
160:12 161:16	<b>4:54</b> 258:19	<b>able</b> 58:6 67:12	<b>accidents</b> 66:22,22
<b>20</b> 159:5 175:15		72:8 86:21,22	116:12
<b>200,000</b> 215:7		91:1 100:18 101:5	<b>accomplish</b> 256:5
			<b>accomplished</b>
			256:6

<p><b>account</b> 180:15,18 200:22 228:21 241:12</p> <p><b>accretion</b> 247:6</p> <p><b>accurate</b> 259:6</p> <p><b>accurately</b> 25:19</p> <p><b>achieve</b> 13:15 33:13 52:11 112:1 118:8</p> <p><b>act</b> 22:20 31:8 52:12 82:5 84:15 118:1,2 128:15 129:21 132:13,17 133:15 139:12 145:3 148:8 165:14,22 166:12 166:14 167:22 188:15 229:6 246:2</p> <p><b>acting</b> 118:12 125:9 158:4 159:1</p> <p><b>action</b> 7:11 34:6 55:4 91:2 92:5,8 92:10 98:14 127:13 133:12 134:19 204:7,8 214:6 259:9,13 260:8,10</p> <p><b>actions</b> 158:7 169:11</p> <p><b>activate</b> 186:5</p> <p><b>active</b> 35:16 108:13</p> <p><b>actively</b> 82:20</p> <p><b>activities</b> 118:18</p> <p><b>activity</b> 44:12 50:11 121:21,22 169:20</p> <p><b>actors</b> 118:16,18 119:8</p> <p><b>actual</b> 55:12 56:3 104:5 234:4</p> <p><b>adam</b> 2:9 190:9,9 197:22 208:19</p>	<p>242:6 253:2</p> <p><b>adams</b> 2:13 227:10 234:21 243:7 250:18 252:8,10,14 255:19</p> <p><b>adaptability</b> 245:15</p> <p><b>adaptable</b> 249:21</p> <p><b>adaptation</b> 246:7</p> <p><b>adas</b> 27:21</p> <p><b>add</b> 111:5 116:10 141:6 143:17 176:14 181:6 222:7</p> <p><b>adding</b> 30:3 225:15</p> <p><b>addition</b> 70:11 189:21 194:16 222:11</p> <p><b>additional</b> 12:20 13:11 14:13,19,19 15:11 18:9 21:12 23:15 36:17 38:2 49:3 63:19 65:4 65:15 71:13 102:21 134:14 142:12 189:19 221:2 250:2</p> <p><b>additionally</b> 26:18</p> <p><b>address</b> 20:6,8 24:4,13 41:7 45:14 52:14 70:12 71:5 73:18 129:21 137:9 145:5 147:1 149:5 152:21 167:10 211:5 242:20</p> <p><b>addressed</b> 11:5 26:22 31:5,18 32:9 46:10,13 75:1 135:11 137:9 148:1 151:4</p>	<p><b>addresses</b> 202:9</p> <p><b>addressing</b> 150:1 232:13 247:19</p> <p><b>adequate</b> 199:6</p> <p><b>adequately</b> 247:19</p> <p><b>adhered</b> 25:10</p> <p><b>adhering</b> 133:21</p> <p><b>administration</b> 4:21 101:20 125:19 159:2 202:4</p> <p><b>administrative</b> 148:13</p> <p><b>administrator</b> 4:19 5:22 12:12 12:13 34:1 38:9 49:12 62:13 90:12 95:3 125:19 127:8 158:4 159:1 215:18 258:6</p> <p><b>administrators</b> 10:4 35:21 38:14</p> <p><b>admit</b> 150:19</p> <p><b>adopt</b> 55:8 68:22</p> <p><b>adopted</b> 66:18 69:11</p> <p><b>adoption</b> 163:13 235:21</p> <p><b>adult</b> 237:20 238:2</p> <p><b>adults</b> 239:5,7,15</p> <p><b>advance</b> 13:19 19:21 57:4 163:17 166:16 216:19,22 220:4 229:4 255:16</p> <p><b>advanced</b> 7:5 27:20,22 104:8 112:18 158:13 187:16 190:1,22 191:17</p> <p><b>advances</b> 212:9</p> <p><b>advancing</b> 33:3 53:6</p>	<p><b>advantage</b> 80:22</p> <p><b>advantages</b> 73:10 80:6</p> <p><b>advent</b> 38:19</p> <p><b>adversely</b> 156:15</p> <p><b>advice</b> 62:12 126:2 223:21</p> <p><b>adviser</b> 93:5 157:11 158:20</p> <p><b>advisers</b> 41:1</p> <p><b>advises</b> 227:15</p> <p><b>advisory</b> 34:10 126:5</p> <p><b>advocacy</b> 109:9</p> <p><b>advocate</b> 77:21 78:14 126:9 189:8</p> <p><b>advocated</b> 53:7 100:3 189:12</p> <p><b>advocates</b> 7:17 11:16 12:7 38:7 62:21 63:1 189:4 189:7</p> <p><b>advocate's</b> 72:18</p> <p><b>advocating</b> 105:11 172:2</p> <p><b>affairs</b> 27:14 62:21 95:1 228:4</p> <p><b>affect</b> 77:2 81:20 156:15</p> <p><b>affiliate</b> 95:14</p> <p><b>affirmative</b> 98:15</p> <p><b>affirmatively</b> 94:6</p> <p><b>afforded</b> 130:1</p> <p><b>aftermarket</b> 26:5 27:17</p> <p><b>afternoon</b> 14:3,14 16:20 57:21 60:1 60:10 93:19 157:5 186:8 223:7,12 253:13 258:15</p> <p><b>afternoon's</b> 257:22</p> <p><b>afterward</b> 217:1</p>
--	--	--	---

<p><b>age</b> 199:21</p> <p><b>agencies</b> 84:12 87:11 101:18 115:12 122:19 148:4 201:18 202:3 203:5,13 204:5,8,11 206:1 207:1 208:21 209:17 212:8 214:3 216:21 241:6 242:19 245:18,21</p> <p><b>agency</b> 10:18 11:5 11:12 12:21 14:3 16:15 20:4 23:8 23:18 33:12 35:6 35:17 47:15,16 48:1,7 51:8 61:14 61:17 62:13 65:3 67:1 69:13 71:12 71:17 72:20 73:1 77:7,11,16 78:2,6 78:10,10 79:17 80:6 81:13 86:4 86:19 90:19 91:1 92:4 99:8,20,21 101:4 102:13 106:4,7 107:9 109:12 111:7 119:7,8 120:6,8 130:13,18 131:15 132:18 133:9,15 134:19 135:2 136:10,18 142:8 142:11,17 143:12 143:20 144:8 146:13 147:11 149:4,9 151:1,13 152:12 153:4,5 155:8,20,22 159:16 166:19 168:9,11 185:2 199:13 210:19 214:14 216:10</p>	<p>222:12 225:16 226:5 241:15,16 241:18 246:15 249:13 250:16 257:12,13 258:4</p> <p><b>agency's</b> 80:16 86:20,20 92:9 175:6 187:10</p> <p><b>agenda</b> 12:8</p> <p><b>agendas</b> 50:15</p> <p><b>agents</b> 25:17</p> <p><b>ago</b> 147:10 198:9</p> <p><b>agree</b> 20:14,19 39:8 77:14 122:22 135:16 136:14 141:5 167:7,16 197:7 212:4 215:10 249:17</p> <p><b>agrees</b> 56:5</p> <p><b>ahead</b> 4:3 52:21 58:4 59:22 93:16 111:4 125:7 157:4 181:14 185:11</p> <p><b>ai</b> 190:22 191:18 230:16 233:14,16 233:16 256:16</p> <p><b>aid</b> 10:17</p> <p><b>aide</b> 63:3</p> <p><b>aim</b> 102:6</p> <p><b>aims</b> 53:16</p> <p><b>air</b> 67:17 68:21 187:16 195:10 201:2 220:5,16 222:13,15 237:17 246:2,2</p> <p><b>aircraft</b> 66:11 202:6</p> <p><b>airlines</b> 90:7</p> <p><b>airman</b> 66:12 92:14</p> <p><b>airports</b> 68:22</p> <p><b>aisles</b> 59:12</p> <p><b>alarming</b> 5:17</p>	<p><b>alarms</b> 216:17</p> <p><b>alert</b> 187:18</p> <p><b>algorithm</b> 89:14 230:18 231:2</p> <p><b>algorithmic</b> 230:8 230:16</p> <p><b>algorithms</b> 233:6 233:21 234:7</p> <p><b>alj</b> 148:16,16</p> <p><b>alliance</b> 129:12</p> <p><b>allocate</b> 18:5</p> <p><b>allow</b> 21:21 32:6 54:18 94:15 146:21 161:3 176:11 177:18</p> <p><b>allowed</b> 94:7 112:20 212:14</p> <p><b>allowing</b> 21:6</p> <p><b>allows</b> 7:22 22:21 31:8 86:9 142:20 159:10 165:15</p> <p><b>alluded</b> 101:5 115:19 130:20 151:3 198:8 200:7 214:21 217:13</p> <p><b>alternative</b> 111:19 228:7 254:9</p> <p><b>alternatively</b> 230:22</p> <p><b>altogether</b> 7:8 129:11</p> <p><b>amend</b> 32:5</p> <p><b>america</b> 128:15 129:21 211:18</p> <p><b>american</b> 5:15 10:3 38:13 83:16 101:10 102:3 248:15 253:9</p> <p><b>americans</b> 13:4 129:6</p> <p><b>america's</b> 5:9</p> <p><b>amount</b> 70:18,19 71:19 86:14 94:8 110:17 166:14</p>	<p>167:14 170:5 202:16 249:18 251:1</p> <p><b>ample</b> 133:9</p> <p><b>analogous</b> 173:11</p> <p><b>analog</b> 209:12</p> <p><b>analyses</b> 241:7</p> <p><b>analysis</b> 60:21 78:15 103:11 114:14 141:16 165:1 169:5 216:21 232:6,8 241:14 250:3</p> <p><b>analyst</b> 226:22</p> <p><b>analyze</b> 86:22 115:4 249:4 251:20</p> <p><b>andresssen</b> 198:12</p> <p><b>announced</b> 6:6</p> <p><b>announcement</b> 153:18 154:6</p> <p><b>annual</b> 152:21</p> <p><b>another's</b> 80:21 80:22</p> <p><b>answer</b> 56:22 108:2 109:7 201:12 229:18 230:21 234:10 242:21,22 243:1 248:13</p> <p><b>answering</b> 112:22 122:21 148:2</p> <p><b>answers</b> 7:15</p> <p><b>anti</b> 81:19,19,19</p> <p><b>anticipate</b> 55:3</p> <p><b>anybody</b> 88:10 103:8</p> <p><b>anymore</b> 156:6</p> <p><b>anyway</b> 182:21</p> <p><b>apologize</b> 117:14 124:21 224:5</p> <p><b>apparent</b> 76:21 236:4</p>
--	--	---	--

<p><b>appeal</b> 244:12  <b>appealing</b> 64:20  <b>applaud</b> 17:15  <b>applauds</b> 39:1  <b>applicable</b> 22:19  25:10 29:14 30:16  90:3  <b>application</b> 22:5  23:5 29:19 122:13  135:22 159:21  <b>applied</b> 136:16  137:3 154:10  202:12 229:12  <b>applies</b> 31:12  165:22 244:8  <b>apply</b> 41:13 73:4  164:10 177:21  225:6 241:5  247:10  <b>appreciate</b> 32:22  37:15 151:9 185:4  258:11  <b>appreciates</b> 39:15  49:19  <b>approach</b> 9:6  10:12 19:19 22:15  28:6 44:9,11  63:11 75:19,21  77:21 82:16 91:12  98:3 105:12  106:19 112:6  118:12 121:21  143:4 147:15  168:20 169:17  170:7 174:9  175:13 224:19  236:8 244:13,14  255:2 257:1  <b>approaches</b> 174:7  <b>appropriate</b> 24:22  33:4 34:3 36:11  37:8 40:13 54:18  72:13 74:6 84:1  151:12 153:8</p>	<p>175:21,21 184:22  190:16 196:5  233:12 250:20  <b>appropriately</b>  119:20 175:22  242:19  <b>approval</b> 3:8 11:9  35:14,16 58:20  60:19 93:2,8,21  94:3,4 97:2,6,13  97:14,22 98:6,8  98:15 99:21  100:11,12,17,19  101:7,16,19  102:21 105:8,11  105:21 106:8,14  107:22 109:10,13  109:16 111:13,13  111:21 112:11,18  114:8 117:16  124:6 139:8  162:11 180:12  205:3  <b>approvals</b> 107:16  <b>approve</b> 94:6  <b>approved</b> 39:12  <b>approves</b> 98:7  <b>approximately</b>  59:3  <b>apps</b> 207:14  <b>arbitrage</b> 211:21  <b>area</b> 6:20 15:22  44:6 52:13 82:19  87:17 126:4 242:6  <b>areas</b> 10:6,9 34:8  36:11 39:11,12  49:1 51:9,14 84:8  84:8 131:9  <b>aren't</b> 47:21  141:19 147:22  230:5 232:7  253:10  <b>argument</b> 146:12  152:18 155:22</p>	<p><b>arising</b> 133:6  <b>arlington</b> 1:12  <b>arm</b> 32:19 205:2  <b>army</b> 1:10  <b>array</b> 146:11  <b>arrive</b> 254:16  <b>arriving</b> 4:4  <b>art</b> 135:6  <b>articular</b> 64:9  <b>articulat</b> 172:2  <b>articulate</b> 65:1  183:5  <b>artificial</b> 181:7,16  230:7 233:6,21  234:6 247:12  256:11 257:3  <b>aside</b> 225:19  <b>asked</b> 31:15  182:11 219:4  <b>asking</b> 75:6 94:11  118:12 149:8  183:1 188:18  <b>asks</b> 37:16  <b>aspect</b> 23:1 46:9  46:19 165:21  212:17 222:8  <b>aspects</b> 29:6 41:9  43:2 49:20 84:2  113:17 161:5  163:8 172:7 173:4  183:5 192:21  <b>assemblage</b>  188:11  <b>assembly</b> 66:9  209:2  <b>assertion</b> 29:12  <b>assertions</b> 144:4  <b>assess</b> 43:20  146:11 231:8  232:9 249:14  <b>assessable</b> 43:20  <b>assessing</b> 233:12  <b>assessment</b> 26:7,9  26:11,15,20 27:5</p>	<p>37:16 66:21 70:3  74:17,19 75:9  83:8,13 85:3  88:19 106:11  107:9 119:2 123:3  <b>assist</b> 13:13 14:9  15:6 122:20  <b>assistant</b> 27:20  95:12 125:9  185:16 186:9  <b>assisted</b> 213:15  <b>assisting</b> 35:16  <b>associate</b> 62:18  227:13  <b>associated</b> 42:9  131:16 247:20  252:4  <b>association</b> 10:3  17:10 19:1,3,4  27:15 38:14 96:7  154:20 189:3,7  <b>assume</b> 134:13  139:19 241:15  <b>assumes</b> 256:4  <b>assuming</b> 111:2  164:15 168:4  218:19  <b>assumption</b> 166:4  217:8  <b>assurance</b> 3:7  26:12 58:20 60:2  60:13,14,20,21  61:15 63:11 64:8  64:22 65:2,21  70:2,9 73:14,15  74:7 77:4,8 79:8  80:8 83:15 88:1  89:21 93:2 94:3  97:14 98:11  112:13 129:15  162:10,12 169:18  203:1  <b>assurances</b> 89:17  102:22 119:19</p>
---	---	---	---



180:12 <b>assure</b> 61:4 164:20 168:22 <b>assured</b> 72:6 108:12 <b>assures</b> 180:17 <b>attached</b> 41:19 <b>attack</b> 234:12 <b>attempt</b> 68:10 130:3 191:2 <b>attempting</b> 229:22 <b>attention</b> 196:16 240:1 <b>attestation</b> 113:22 <b>attitudes</b> 155:5 <b>attorney</b> 93:5 124:22 157:11,18 259:11 <b>atwell</b> 2:14 15:2 258:16 <b>audience</b> 59:6 69:22 85:20 88:11 125:14 153:16 185:7 206:12 <b>audio</b> 260:4 <b>aug</b> 78:20 <b>augment</b> 65:2 78:18,19 <b>augmenting</b> 64:21 69:20 <b>authored</b> 227:7 <b>authorities</b> 10:16 11:1 14:7 58:18 106:12,13 177:15 231:14 232:10 233:3 <b>authority</b> 3:9,10 11:10,10 33:18 42:8 51:20,21 124:15 125:11 127:11,11,16 128:17,18 129:1,4 129:8,16 130:12 130:19 131:4	132:10,11,13,20 133:9,13,18 134:6 134:14,15 136:13 136:22 137:16 138:6,10 139:9,20 141:9 142:8 145:4 145:22 146:4,7,13 146:19 147:21 148:9,16 149:1,13 150:8 154:10,14 155:7 159:7,10,13 160:7,10,14,21,21 161:8,8 163:15 164:5,10,11 168:5 168:20 174:21 175:6 182:7,13,17 182:21 183:6,22 211:4 229:7,10 251:17 <b>auto</b> 38:7 45:10 62:22 64:14 84:15 126:12 129:12 <b>automaker</b> 99:9 <b>automakers</b> 19:1 19:4 25:6 <b>automated</b> 1:3 4:10,16 6:13,14 7:4,9,18 9:2,3,8 9:11 10:1,11,21 11:2 13:9 14:10 18:4,5 19:20 21:7 21:20,22 23:3 24:21 25:15,18 28:4,6,19 29:19 30:12,21 31:22 32:22 33:5,19 35:22 36:21 37:12 38:17 40:10,18 41:14 42:14,20,22 50:7,12,18 52:8 53:20 58:9,19 61:13 62:7 65:22 72:21 73:20 75:22 76:4,11 77:17	88:5,21 90:1 93:22 94:12 95:5 97:3 111:18 112:17 115:6 137:1 139:1 147:9 161:13 164:15,21 171:19 172:15 174:2 178:15,16 178:19 186:13 190:1 191:18 196:1,15 221:11 221:14 228:15,22 231:12 233:1 234:5 248:11,11 255:3 256:12 <b>automatic</b> 161:10 <b>automating</b> 247:17 <b>automation</b> 56:19 76:8 95:21 97:18 97:20 113:16 120:17 158:18 159:17 164:17 189:18 191:18,21 213:18 227:2 234:7 246:18,19 246:20,22 <b>automaton</b> 257:3 <b>automobile</b> 17:14 81:6 89:13 151:16 152:1,16 154:19 240:11 <b>automobiles</b> 249:1 <b>automotive</b> 13:2 64:14 128:10 169:15 172:5 201:3 209:4,7,12 218:7 <b>autonomous</b> 26:4 26:5 38:16 39:16 41:11 42:9 44:13 44:15 53:12 70:17 72:21 135:15 140:12 147:6	159:4 170:15,18 176:20 177:5 190:21 194:1 213:20 227:8 231:8 234:3 240:3 <b>autonomy</b> 163:21 189:16,21 212:17 <b>av</b> 31:6 33:21 37:1 39:22 53:16 56:7 254:13 <b>availability</b> 43:14 159:22 <b>available</b> 26:4 43:13,16 46:22 47:1 105:19 107:5 155:8 243:1 244:2 <b>avenues</b> 246:14,16 <b>average</b> 158:5 215:12 237:20 238:2 <b>aversion</b> 117:17 <b>avert</b> 32:10 <b>aviation</b> 66:2,5,17 69:1,15 80:18 83:3 <b>avoid</b> 28:13 31:20 32:10 238:9 <b>avoiding</b> 13:13 33:12 <b>avs</b> 34:14 36:13 254:9 <b>aware</b> 30:9 215:15 241:19 <b>awareness</b> 170:16 <b>awesome</b> 6:17 <b>awhile</b> 165:12 216:15
			<b>b</b>
			<b>back</b> 6:22 14:17 14:21 15:8 17:3,4 27:1 58:5 60:9 71:14 72:11 76:14 92:14 95:6 100:4 112:21 121:1

131:7,10 136:5,7 137:21 139:7 141:20 143:1 144:13 153:7 162:18 164:3 165:12 166:13 177:3,9 178:17 179:7,16 182:8 203:6 212:10 230:19,20 231:1 233:20 241:7 244:7 245:18,21 246:2 248:10 250:4 255:8 <b>backdrop</b> 6:9 <b>background</b> 62:16 <b>backstop</b> 121:5 <b>bad</b> 4:6 86:2 <b>bag</b> 187:16 237:17 <b>bags</b> 220:16 222:13,15 <b>balance</b> 130:4 153:8,8 202:18,20 222:19 <b>balances</b> 129:17 <b>balancing</b> 28:11 <b>balls</b> 133:21 <b>barrier</b> 119:15 <b>barriers</b> 52:17 118:14,22 120:3 <b>base</b> 217:21 <b>based</b> 11:19 67:6 67:8,13 82:12 103:11,12,22 155:12 175:14 184:3 227:18 234:18 247:4 <b>baseline</b> 47:17 48:18,19 49:6 134:22 144:1 146:17 147:13,17 216:4 218:13 <b>baselines</b> 147:13	<b>basic</b> 20:19 123:14 163:2 187:7 <b>basically</b> 103:10 104:2 108:4,17,17 109:13 113:4 116:12 156:4 192:10 204:17 233:16,17,21 <b>basics</b> 78:8 <b>basis</b> 39:10 65:1 152:2,21 159:12 206:4,8 258:5 <b>basket</b> 103:18 <b>bat</b> 257:7 <b>battles</b> 209:19 <b>bear</b> 243:16 <b>beauchamp</b> 260:3 260:14 <b>beauty</b> 247:16 <b>becca</b> 15:9 <b>becoming</b> 198:20 <b>beefed</b> 112:12 <b>beginning</b> 64:7 84:10,17 182:12 252:20 <b>begun</b> 13:8 126:12 <b>behalf</b> 38:15 45:13 49:13,15 189:8 257:13 258:6 <b>behave</b> 192:5 193:6 195:2 <b>behavior</b> 173:7 193:17 210:4 <b>behavioral</b> 228:16 254:16 <b>believe</b> 20:5 24:21 39:5,11 44:17 50:7 51:5 65:3 148:7 155:7 188:16 216:4 <b>believed</b> 26:2 <b>believes</b> 29:16 36:7 40:14 42:3 50:21	<b>belt</b> 5:13 <b>beltway</b> 205:10 <b>ben</b> 57:1 <b>beneficial</b> 36:12 <b>benefit</b> 232:6,8 241:14 <b>benefits</b> 19:13 40:9 49:9 50:4 53:17 63:11,19,20 64:17 65:5,10,14 65:17 74:11 78:15 103:15 <b>berkeley</b> 158:15 <b>best</b> 12:5 23:7 56:6 61:16 84:2 91:15 105:12 117:7 140:9,10 168:8 200:17 203:15 204:2 214:5,6 217:22 234:18 242:22 244:16 259:6 260:4 <b>beta</b> 221:7 <b>better</b> 11:11 34:22 35:8 139:6 151:9 182:10 187:14,15 194:2,3,3,5 205:2 211:9 251:6 <b>beware</b> 240:21 <b>beyond</b> 68:11 69:17 78:8 124:8 140:8 156:1 163:3 163:8 164:5 184:15 205:5,9 <b>bicyclists</b> 178:6 <b>big</b> 48:12 124:12 142:14 144:20 170:13,22 193:10 204:10 251:14 <b>bill</b> 63:4 167:13 <b>billions</b> 49:2 <b>biography</b> 188:21	<b>bit</b> 4:11 64:7 78:12 80:3 87:3 93:8,11 94:5,14 96:18 101:18 106:17 112:21 129:19 130:6,15 132:8 142:16 157:15 168:14 170:11 190:22 203:12 204:3,4 205:15 210:12 215:12 225:11 226:15 229:20 231:10 234:11 257:10 <b>black</b> 233:22 <b>blank</b> 113:13 <b>bleed</b> 60:18 139:7 <b>blinders</b> 238:7,8 <b>block</b> 139:6 <b>blocks</b> 28:3 <b>blow</b> 229:19 <b>blunt</b> 108:22 245:13 248:9 <b>bluntly</b> 81:13 <b>board</b> 69:9 95:17 <b>boat</b> 230:14 <b>boeing</b> 82:4,5 215:6 <b>boggs</b> 125:22 <b>book</b> 128:20 <b>books</b> 67:19 81:4 90:9 134:7 243:15 <b>boulevard</b> 1:11 <b>box</b> 69:8 130:11 145:20 146:3 233:22 <b>boxes</b> 122:10 <b>brain</b> 66:8 <b>brake</b> 113:10 <b>branch</b> 35:22 <b>branding</b> 192:15 <b>break</b> 15:19,21 57:22 125:7
--	--	---	--

<p>156:21 157:2  <b>bridge</b> 135:13  <b>brief</b> 125:14 157:1  157:22 186:1,6  214:20 223:20  <b>briefly</b> 229:18  256:8  <b>brilliant</b> 103:4  <b>brilliantly</b> 66:15  <b>bring</b> 78:9 140:9  153:4 168:5  177:18 178:3  182:8  <b>bringing</b> 153:3  169:14  <b>brings</b> 207:22  <b>broad</b> 53:15 66:4  67:21 207:22,22  208:4 210:20  233:15  <b>broaden</b> 229:19  231:10  <b>broader</b> 111:11  242:14  <b>broadly</b> 122:3  168:21  <b>broken</b> 23:22,22  101:2  <b>brought</b> 182:19  <b>brown</b> 127:1,5  <b>bryant</b> 2:19 95:11  100:15 101:5  104:18,20 107:21  108:21 109:22  110:17 119:18  122:22 123:12  143:8 162:7  <b>buckets</b> 163:1  <b>bucky</b> 133:21  <b>build</b> 9:9 51:13  176:10 250:22  252:3  <b>building</b> 5:12 9:13  28:3,11 158:10</p>	<p>170:4  <b>built</b> 110:11  138:15 237:22  247:4  <b>bunch</b> 213:19  <b>burden</b> 21:12  <b>burdens</b> 103:8  <b>burdensome</b>  112:8  <b>bureaucracies</b>  242:10  <b>business</b> 80:18  103:10 104:14  108:19 116:12  144:21 212:16  227:16 228:9  243:17  <b>businesses</b> 15:22  80:19 110:20  <b>butcher</b> 124:22  <b>button</b> 60:8 125:3  138:20 224:1  <b>buy</b> 212:15  <b>bypass</b> 148:15</p> <hr/> <p style="text-align: center;"><b>c</b></p> <hr/> <p><b>c</b> 2:1 3:1 4:1 45:14  <b>c2d</b> 22:3  <b>c2h</b> 22:4  <b>cab</b> 138:4  <b>calculating</b> 116:13  <b>california</b> 46:14  85:5 158:13  227:15  <b>call</b> 7:15 13:17  28:7 34:2,16  100:3 108:2 124:3  199:3 200:9 203:8  213:16 217:14  233:4  <b>called</b> 58:13 82:3  138:22 156:14  190:4 203:15  230:8 238:19</p>	<p><b>calling</b> 16:9 200:8  250:2  <b>calls</b> 51:19 244:14  255:3  <b>calm</b> 108:6  <b>campaigns</b> 206:19  <b>canada</b> 53:10  <b>can't</b> 78:21 89:20  121:9 133:2  145:18,19 148:9  160:12 179:22  204:20 213:22  241:15 242:13  <b>capabilities</b> 35:2  37:2,4 202:15  <b>capability</b> 54:5  <b>capable</b> 41:21  42:1 247:19  <b>capacities</b> 127:6  <b>capacity</b> 107:14  189:4 249:4 250:7  <b>capital</b> 1:19  <b>capitalist</b> 198:11  <b>capitalized</b> 119:3  <b>captured</b> 101:12  <b>car</b> 33:7 55:16  92:16 98:21  109:15 110:11  137:19 166:15  192:9 201:6,7  210:12 215:11  218:19 220:2  234:4  <b>cards</b> 59:9,13  117:11 185:5,7,19  <b>care</b> 30:15 79:3  122:7 123:13,18  191:7  <b>careful</b> 208:10  <b>carefully</b> 65:4  173:6  <b>cargo</b> 216:15,17  <b>carmakers</b> 205:14  205:16</p>	<p><b>carnage</b> 222:11  <b>carolina</b> 95:14  <b>carried</b> 5:10 33:6  <b>carriers</b> 67:17  68:21 80:20 90:8  <b>carrot</b> 111:15  142:21 143:15  153:8  <b>carry</b> 6:15  <b>cars</b> 7:7 37:2  45:11,13 77:19  78:3 96:2 147:7  190:21 192:5  198:18 199:3  207:16 208:9  213:21 216:1  221:10,21  <b>cart</b> 49:5 131:2,21  136:5 197:6  <b>cary</b> 2:12 227:22  236:9 243:4  248:11 252:17  <b>case</b> 74:16 81:5  88:10 92:18 100:3  108:18,19 114:20  122:20 137:20  138:14 147:4  151:11 152:12  153:12,12 155:21  186:22 198:15  202:16 209:4  214:14 217:20,22  239:4 247:5,5,5  <b>cases</b> 118:9 134:1  138:7 178:22  180:4,5 205:18  243:15  <b>casey</b> 224:14  <b>casualty</b> 96:10  227:18  <b>cat</b> 230:20 231:2  <b>catastrophic</b>  220:2</p>
---	--	--	--

<p><b>categories</b> 83:22 84:5 118:16</p> <p><b>category</b> 156:14</p> <p><b>catherine</b> 2:9 38:11</p> <p><b>cathy</b> 38:12</p> <p><b>caught</b> 72:14</p> <p><b>cause</b> 40:15 210:6</p> <p><b>cautions</b> 241:19</p> <p><b>cautious</b> 75:19,20</p> <p><b>cease</b> 241:5</p> <p><b>center</b> 1:10 95:15 126:12 190:11 227:1,9 232:4</p> <p><b>centers</b> 62:14</p> <p><b>central</b> 151:16 168:19 183:18 256:15</p> <p><b>certain</b> 22:22 23:20 24:5 30:3 41:17,17 43:1 54:6 78:7 103:15 113:10 135:10 139:2 144:5 170:21 176:2,16 192:5 213:1 218:20 238:8 239:10 245:12</p> <p><b>certainly</b> 47:16 61:9 70:6 78:20 79:18 86:15 87:13 118:6 130:13 131:22 134:5 137:4 142:12 163:17 181:4 208:13 244:11 249:12 251:17 256:3 257:12</p> <p><b>certainty</b> 201:19 201:19</p> <p><b>certificate</b> 259:1 260:1</p> <p><b>certification</b> 22:8 48:3,9 63:13,21</p>	<p>64:10,15,21 65:3 74:13 80:4,6,10 82:1,11,21 97:5 101:13 102:10 108:1 109:1 110:2 112:12 114:9 117:15,16 120:15 124:8 156:2 176:10,11 214:10 214:12 215:4</p> <p><b>certified</b> 88:1,3 120:18 121:6 165:16</p> <p><b>certifies</b> 66:10</p> <p><b>certify</b> 66:11,13 88:7 121:20 259:3 260:3</p> <p><b>certifying</b> 120:15 166:7</p> <p><b>cetera</b> 209:7</p> <p><b>chair</b> 95:16 158:20</p> <p><b>chairman</b> 134:4,5</p> <p><b>challenge</b> 11:4 29:21 79:8 92:22 111:9 168:19 193:16 199:1,5,12 206:16 212:6</p> <p><b>challenges</b> 10:13 13:11 20:3 112:15 115:17 143:5 191:8 199:20</p> <p><b>challenging</b> 141:13 182:3 247:11</p> <p><b>chance</b> 187:5 250:12,12</p> <p><b>change</b> 33:10 92:11 98:22 115:7 192:1,19 193:5 195:9,17 219:18 224:20 246:15</p> <p><b>changed</b> 152:3 202:16 244:9</p>	<p>246:1</p> <p><b>changes</b> 3:12 186:17 195:11 200:6 204:13 212:6,11</p> <p><b>changing</b> 161:8 192:21</p> <p><b>channels</b> 56:17</p> <p><b>chaos</b> 113:5</p> <p><b>characterized</b> 211:20</p> <p><b>charge</b> 182:22 183:3</p> <p><b>chase</b> 217:1</p> <p><b>check</b> 15:9</p> <p><b>checking</b> 122:10</p> <p><b>checklist</b> 74:20</p> <p><b>checklists</b> 232:19</p> <p><b>checkmarks</b> 109:3</p> <p><b>checks</b> 109:3 129:17</p> <p><b>cheese</b> 68:7</p> <p><b>chief</b> 60:3 62:10 62:11,12 125:9 127:7 158:3,22 185:16 186:9 223:11</p> <p><b>child</b> 230:15 238:12,18</p> <p><b>childhood</b> 239:11</p> <p><b>children</b> 222:15</p> <p><b>choice</b> 6:22,22 210:9</p> <p><b>choices</b> 193:3</p> <p><b>choose</b> 71:11 196:22</p> <p><b>chooses</b> 71:10</p> <p><b>chorus</b> 5:1,4</p> <p><b>chosen</b> 14:16 134:3</p> <p><b>christine</b> 2:8 188:19,20 189:14 195:12 197:22 207:7</p>	<p><b>christine's</b> 222:8</p> <p><b>circle</b> 17:3</p> <p><b>circles</b> 179:12</p> <p><b>circumstances</b> 23:19 24:18 134:15 247:10</p> <p><b>citizen</b> 189:11</p> <p><b>citizens</b> 34:5</p> <p><b>civil</b> 91:6 92:8 226:22</p> <p><b>clamann</b> 2:10 189:15,20 191:11 205:21 212:4 216:6 219:9</p> <p><b>clarification</b> 13:12 41:12 42:15 43:3 56:11</p> <p><b>clarifications</b> 20:10 32:8</p> <p><b>clarified</b> 23:12 31:18</p> <p><b>clarify</b> 29:5 31:15 88:17 89:10 93:10</p> <p><b>clarity</b> 115:3 140:9</p> <p><b>clarke</b> 2:15</p> <p><b>class</b> 204:18</p> <p><b>classification</b> 40:12</p> <p><b>classifications</b> 40:15</p> <p><b>claybrook</b> 38:8</p> <p><b>clean</b> 246:2</p> <p><b>cleanup</b> 226:3</p> <p><b>clear</b> 7:17 8:16 9:2 11:14 28:22 76:1 85:13 90:17 91:10 105:10 155:11 160:15 161:9 199:22</p> <p><b>clearly</b> 24:14 33:4 36:6,21 51:1 110:1 140:20 183:5 198:15</p>
---	---	--	---

<p><b>clients</b> 158:8 227:15 235:7</p> <p><b>clip</b> 211:2,15</p> <p><b>close</b> 10:2 92:21 214:2 238:21 257:16</p> <p><b>closely</b> 25:7 28:12 37:7 76:7 143:7</p> <p><b>closer</b> 35:14 105:3 255:14</p> <p><b>closing</b> 3:14</p> <p><b>clubs</b> 53:13</p> <p><b>coalition</b> 49:13,16 49:19 50:21 51:19 52:13 102:9</p> <p><b>coalition's</b> 50:3</p> <p><b>cockpit</b> 213:1</p> <p><b>code</b> 199:4 200:19 205:17 210:14 211:1 233:8 256:14,18 257:3</p> <p><b>codify</b> 21:18 29:17</p> <p><b>codifying</b> 47:17</p> <p><b>coexistence</b> 178:11</p> <p><b>coffee</b> 16:1</p> <p><b>cogliancese</b> 252:12</p> <p><b>coglianesese</b> 2:12 227:22 236:11 244:21 248:16 254:19</p> <p><b>cognizance</b> 179:19</p> <p><b>cognizant</b> 170:6</p> <p><b>coin</b> 190:22</p> <p><b>collaborate</b> 16:5 87:10,13</p> <p><b>collaborated</b> 40:5</p> <p><b>collaborative</b> 54:12</p> <p><b>collaboratively</b> 45:2 68:16</p> <p><b>colleague</b> 6:3 38:4</p>	<p><b>collect</b> 59:12 103:18 185:21</p> <p><b>collection</b> 43:21 104:4 142:13 155:10 249:7</p> <p><b>collective</b> 110:20</p> <p><b>collectively</b> 110:15</p> <p><b>college</b> 109:15</p> <p><b>collide</b> 191:4</p> <p><b>colliding</b> 198:9</p> <p><b>color</b> 192:19</p> <p><b>combination</b> 106:10 169:4</p> <p><b>combined</b> 81:12</p> <p><b>come</b> 5:2 16:8,13 17:3 46:1 59:12 66:21 76:14 89:7 91:12 103:5 104:15 128:2 149:13 151:9 153:16 157:19 162:18 165:11 171:4 177:15 193:22 194:9 200:14 203:16 206:9 217:1,22 218:8,14 219:16 219:17 250:4</p> <p><b>comes</b> 51:2 74:10 81:4 107:11 139:21 153:9 192:8 194:11 199:6 203:6 230:20 231:1 243:10,10,19 245:6</p> <p><b>comfort</b> 110:16 168:2 181:2</p> <p><b>comfortable</b> 118:11 243:7</p> <p><b>coming</b> 48:18 67:11 74:4 117:11 136:3 138:17</p>	<p>139:6 159:6 186:4 192:18 228:10 230:19 255:10 257:14</p> <p><b>comma</b> 138:21,22</p> <p><b>command</b> 188:3,4</p> <p><b>commend</b> 51:8 128:6</p> <p><b>comment</b> 19:10 44:22 65:20 84:7 94:13,14 128:2 214:7,21 216:6</p> <p><b>commentators</b> 54:8</p> <p><b>commented</b> 210:19</p> <p><b>commenters</b> 76:6</p> <p><b>comments</b> 8:4 13:18 15:20 19:11 19:22 23:15 27:8 31:4 32:14,21 37:22 40:7 50:13 56:21 71:5,21,22 74:17 77:22 86:3 89:7 96:16 126:20 129:10 143:8,10 164:13 166:20 187:4 192:11 210:18 231:11 232:6</p> <p><b>commerce</b> 95:9</p> <p><b>commercial</b> 27:18 41:8 67:17 202:5</p> <p><b>commercializati...</b> 81:11,21</p> <p><b>commercially</b> 26:3</p> <p><b>commission</b> 18:11 18:15 44:3 84:13 189:13 203:17 233:8</p> <p><b>commitment</b> 17:15 49:19 99:13 117:14</p>	<p><b>commitments</b> 223:17</p> <p><b>committed</b> 8:5 12:8 258:3</p> <p><b>committee</b> 40:20 50:17 95:9,16</p> <p><b>committees</b> 40:19</p> <p><b>common</b> 30:6 54:14 73:7 101:21 121:2 149:14 203:4 204:1 247:4</p> <p><b>commonsense</b> 25:3</p> <p><b>commonwealth</b> 259:18</p> <p><b>communicate</b> 36:6 36:21 37:9 177:1 178:10 207:2</p> <p><b>communicated</b> 207:6 230:5</p> <p><b>communicating</b> 56:14</p> <p><b>communication</b> 37:10 193:9</p> <p><b>communications</b> 56:17</p> <p><b>community</b> 12:6 79:6 80:19 253:7</p> <p><b>companies</b> 17:5 17:10 36:20 69:3 84:19 96:7,11 107:2 108:18 115:9 118:1 119:1 119:17 121:5 166:5,15,18</p> <p><b>company</b> 1:19 17:2,12 80:22 201:3</p> <p><b>compar</b> 219:10</p> <p><b>comparable</b> 164:12 169:8 171:21</p> <p><b>comparative</b> 173:19</p>
---	---	--	--

<p><b>compare</b> 171:18  <b>compared</b> 157:16                  209:5  <b>compares</b> 139:14  <b>comparing</b> 97:4                  173:21 174:13  <b>compel</b> 132:18                  133:12  <b>competence</b>                  228:15,16  <b>competencies</b>                  122:6  <b>competitive</b> 26:20                  61:22 80:22 81:19  <b>complete</b> 33:2                  86:16 109:4                  111:16  <b>completely</b> 116:10                  176:8 197:7                  198:20 240:13  <b>complex</b> 147:22                  155:17 228:18,19                  248:21 249:2  <b>complexities</b>                  47:22 48:10                  131:15  <b>complexity</b> 110:10                  147:6 173:8,10                  178:9 179:14  <b>compliance</b> 22:7,9                  22:10 23:17 63:13                  67:7,12 68:3,12                  68:18 82:6,13                  92:2 126:2 158:8                  173:12 214:17  <b>compliant</b> 22:22                  32:7 166:8  <b>complicated</b> 33:9                  169:2,3 173:1                  195:10 196:13                  210:13 222:3                  233:20  <b>complies</b> 162:5</p>	<p><b>comply</b> 30:16                  79:20 82:17                  161:22 166:6                  180:13 188:3                  197:1,1  <b>complying</b> 107:2                  166:1 214:17  <b>component</b> 17:18                  31:12 66:11 174:7                  235:20  <b>components</b> 8:6                  8:14 27:18 74:22                  74:22 187:13                  188:8  <b>comprehensive</b>                  7:11 39:19  <b>comprised</b> 25:9  <b>computer</b> 98:1                  198:21 213:14  <b>computers</b> 199:4  <b>conceivably</b>                  111:17  <b>conceived</b> 64:4                  247:2  <b>conceiving</b> 112:7  <b>concept</b> 26:11                  94:16 161:19                  176:9 184:21  <b>conception</b> 66:7  <b>concepts</b> 115:10  <b>concern</b> 22:17                  35:1 48:13 71:19                  79:5 85:2,8 102:1                  107:12 117:18                  195:22 222:18                  236:11  <b>concerned</b> 22:15                  26:14 34:9,17                  35:20 36:22 51:11                  72:19 75:13,17                  86:11,12 111:7                  155:4 195:13                  197:9 207:22                  208:11,16</p>	<p><b>concerning</b> 208:5  <b>concerns</b> 11:22                  26:18 45:18 46:3                  46:6 47:9 74:18                  85:2 110:8 202:12                  203:14 240:12  <b>conclude</b> 223:6  <b>concluded</b> 165:7                  258:20  <b>concludes</b> 257:17  <b>conclusions</b> 241:8  <b>concurrent</b> 164:1                  177:16  <b>concurrently</b>                  183:10  <b>condition</b> 28:18                  30:1 106:16 230:9  <b>conditions</b> 22:22                  30:20 41:18 168:7                  171:15 173:14                  192:5 240:2  <b>conducive</b> 247:1                  248:12  <b>conducted</b> 1:5                  55:13 61:1  <b>conducting</b> 43:18  <b>conference</b> 1:10                  57:2 208:7  <b>confidence</b> 222:18  <b>confines</b> 205:9  <b>confirms</b> 9:15                  17:20  <b>conflicting</b> 20:1                  22:1  <b>conflicts</b> 22:20                  24:4,9,13 25:4                  55:15  <b>confronted</b> 250:18                  251:3  <b>confusion</b> 32:10                  37:1 40:16 42:7  <b>congestion</b> 50:2  <b>congress</b> 31:15                  64:20 82:10,10</p>	<p>90:15 126:18                  134:14 137:8                  148:22 163:16                  167:8 175:12                  181:11 182:20                  183:4,12 184:7,8                  184:12 187:16                  189:13  <b>congressional</b>                  31:13 105:14  <b>congressman</b> 63:4  <b>congress's</b> 99:15  <b>conjunction</b> 35:6  <b>connected</b> 41:10                  41:13 159:4 209:3  <b>connectivity</b> 95:21  <b>connotation</b> 245:4  <b>connotations</b>                  245:6  <b>consensus</b> 5:13                  67:8 82:14,18                  234:18  <b>consequences</b>                  31:21 186:17                  187:1 240:21  <b>consequently</b> 29:5  <b>consider</b> 9:7 10:7                  18:4 24:22 25:8                  42:6 55:2,6 56:16                  59:16 64:19 75:10                  111:10 117:19                  120:16 162:8                  250:17 258:3  <b>considerable</b>                  11:18 166:14  <b>considerably</b>                  215:7  <b>consideration</b>                  11:15 24:3 32:13                  37:22 40:4 162:3                  174:13 181:16  <b>considerations</b>                  36:16 118:4,5,5,6                  130:4 140:15</p>
--	--	---	--

172:10 180:18 191:10 <b>considered</b> 39:15 54:17 96:2 122:3 122:16 130:5 154:13 <b>considering</b> 76:6 82:20 <b>consist</b> 14:11 <b>consistency</b> 33:13 39:22 194:21 195:8 <b>consistent</b> 9:9 10:12 18:10 19:19 20:15 25:3 39:6 44:9 54:2 106:22 112:4,5 115:7 176:9 <b>consistently</b> 243:12 <b>constant</b> 101:14 201:5,6 244:14 258:5 <b>constantly</b> 30:12 <b>constitutes</b> 46:4 199:6 <b>constraints</b> 235:17 <b>construct</b> 173:9 <b>constructed</b> 242:10 <b>constructing</b> 107:7 <b>construction</b> 133:8 <b>consult</b> 34:21 <b>consumer</b> 32:19 36:5,12 39:3 45:15,15 54:2 61:19 94:21 127:3 133:14 145:3 148:8 181:9 186:14 189:3,7,8 189:9,12 195:13	195:21 196:6,10 198:16,18 208:3 208:16 222:18 <b>consumers</b> 26:13 36:22 37:9 45:10 53:18 61:14 65:7 76:10 156:10 180:22 189:8 196:10,17 201:10 204:12 214:19 220:18 243:21 244:4 248:15 251:7 <b>consumers'</b> 155:5 <b>consumer's</b> 32:15 32:18,18 <b>consuming</b> 244:6 <b>contact</b> 244:15 <b>contained</b> 74:20 <b>containing</b> 238:17 <b>contemplating</b> 150:6 <b>content</b> 225:5 <b>context</b> 12:20 24:5 31:19 64:14 100:11,20 118:21 137:1 144:9 148:5 154:8,17 159:7 171:17 179:3,9 204:16 225:22 237:8,17 238:11 240:1,3 241:17 245:12 252:2 <b>contexts</b> 211:17 245:13 <b>contextual</b> 247:6 <b>contextualizing</b> 247:14,21 <b>continually</b> 103:9 <b>continuation</b> 102:9 <b>continue</b> 9:21 11:19,21 14:20 16:5 39:2 87:10	93:7 136:11 144:10 223:4 <b>continued</b> 45:1 50:14 52:19 103:11 <b>continues</b> 91:1 250:6 <b>continuing</b> 12:15 16:8 153:4 156:2 253:14 <b>continuum</b> 92:1,2 105:8 192:12 220:7,9,12 <b>contract</b> 117:2,4 190:5 <b>control</b> 30:14 37:18 51:15 98:18 113:12 135:15,21 135:21 147:16 175:22 177:3 187:17 189:18 192:22 193:15 202:5 209:6 212:20 213:2,8 <b>controlled</b> 66:7 161:13 166:17 <b>controls</b> 83:4 87:16 <b>controversies</b> 205:19 <b>convening</b> 18:15 <b>conventional</b> 133:22 137:6 <b>conversation</b> 77:10 91:13 173:17 175:12 180:14 258:8 <b>conversations</b> 180:11,14 198:7 <b>conversely</b> 99:18 <b>convey</b> 116:4 <b>conveyance</b> 116:15 117:6	<b>convince</b> 246:15 <b>cooperative</b> 121:10 158:17 <b>coordinated</b> 44:17 <b>coordination</b> 10:3 17:16 <b>core</b> 126:16 136:6 <b>corner</b> 180:4 <b>corners</b> 116:17 <b>corporate</b> 62:16 126:2 158:5,10 <b>corporation</b> 164:22 <b>correct</b> 114:19 122:15 <b>corrected</b> 211:12 <b>correction</b> 20:7 203:3 <b>corrective</b> 92:10 202:14 <b>cosmetic</b> 219:18 <b>cost</b> 65:6 72:15 232:6,7 241:14 <b>costs</b> 21:11 217:15 <b>couldn't</b> 180:2,7 188:9 223:16 <b>counsel</b> 17:9 60:3 62:10,11,12,16,17 62:18 93:20 95:10 96:5 125:9 127:7 127:8 158:4 185:16 186:9 223:11 259:8,11 260:7 <b>counsels</b> 158:8 <b>counterparts</b> 109:11 129:7 <b>country</b> 52:6 247:3 <b>couple</b> 57:5 59:11 70:20 88:10 146:2 159:13 168:5 175:2 217:4 224:10
--	--	--	---

<p><b>courage</b> 224:6  <b>course</b> 15:4,16  16:4 33:22 83:8  96:2 129:18 130:7  204:7 214:6  257:17 258:16  <b>coursework</b>  189:22  <b>court</b> 16:16 89:5  133:11 148:9,12  149:16 164:14  <b>courts</b> 122:19  <b>cover</b> 43:4 58:18  59:15 73:20 76:3  145:18 157:5  179:4  <b>covered</b> 38:5 58:8  76:2 150:19 185:9  231:17  <b>coworkers</b> 12:17  <b>cpcc</b> 145:15  <b>cpsa</b> 148:7  <b>cpsc</b> 126:21  136:21 145:20  146:2,8,18 148:12  150:7 214:8,11  223:15  <b>cram</b> 109:15  <b>crash</b> 18:7 71:2  72:12,15 119:5  237:19  <b>crashed</b> 216:14  <b>crashes</b> 6:21 7:6  12:15 37:2 70:19  70:22 165:3 180:4  181:13 222:14  <b>create</b> 18:11 22:11  22:16 23:10 28:7  44:8 46:1 154:9  191:9 244:22  254:15  <b>created</b> 108:5  239:13</p>	<p><b>creates</b> 39:6  159:21 199:20  201:8,18  <b>creating</b> 20:2  46:21 110:21,22  245:14,16  <b>credibility</b> 122:7  <b>criminalizing</b> 55:9  <b>crises</b> 131:7  144:16,20  <b>crisis</b> 47:11 55:5  136:8  <b>criteria</b> 61:4,18  79:14 83:14,14  <b>critical</b> 8:13 20:6  28:1 30:14 31:2  37:17 85:10 87:2  248:2 254:13  <b>critically</b> 19:20  28:21  <b>criticism</b> 135:8  <b>criticisms</b> 135:2,7  <b>criticized</b> 136:19  <b>crossing</b> 23:22  <b>crucial</b> 50:21  248:19  <b>curious</b> 80:5,12  <b>current</b> 10:22  28:17 32:11 34:2  35:13 45:19 63:12  63:20 74:19 112:4  140:16 141:4  148:21 159:10,13  160:12 163:8  173:20 176:15  242:20 246:8,13  <b>currently</b> 4:9  30:17 31:11 71:4  84:9 94:19 105:19  125:21 126:6  154:18 160:22  173:3 175:7 179:4  228:2</p>	<p><b>curtis</b> 2:9 38:11  38:12,13 45:8  <b>customer</b> 235:19  <b>cyber</b> 87:12  171:14,19 172:1  172:15,16  <b>cybersecurity</b>  74:22 84:8,20  87:16 158:21  171:1,6 191:9  193:22 194:4,7  197:13,17 207:15  240:13</p> <p style="text-align: center;"><b>d</b></p> <p><b>d</b> 4:1  <b>daily</b> 152:2 206:7  <b>damaged</b> 37:14,18  <b>dangers</b> 204:14  <b>data</b> 43:10,14,15  43:19,21 46:18,22  47:1 60:22 74:21  78:10 84:7,21  85:7,10 86:8 91:7  94:22 104:2,4,4,8  126:20 141:8,11  141:17 142:13  155:10,12 159:17  160:9 163:10  186:18 188:7  202:17 249:4,6  250:3  <b>database</b> 25:9  <b>data's</b> 46:22  <b>date</b> 35:18 120:20  260:14  <b>dates</b> 187:7  <b>daughter</b> 6:3  <b>david</b> 2:11 5:20,21  5:22 49:11 94:18  94:19 97:10  100:13 103:1  104:19 105:5,6  109:14 110:9  115:19 162:6</p>	<p><b>david's</b> 95:11  107:12  <b>day</b> 16:18 77:9,10  81:3 110:21 119:7  132:4 154:5  163:20 164:14  166:5 167:14  191:12 200:12  204:19 219:7  246:18  <b>days</b> 16:8 66:22  <b>dead</b> 244:11  <b>deadline</b> 50:13  <b>deal</b> 67:15 68:13  78:1 84:13,14  102:15 119:13  143:19 144:1  169:1 173:4  179:21 181:19  193:19 194:12  205:3 206:9 213:3  213:5 230:15  <b>dealers</b> 36:20  154:19  <b>dealing</b> 137:5,11  142:6 143:4  152:10 178:8  202:4,5 206:2,11  206:12 212:12  213:12  <b>deals</b> 140:3  <b>dealt</b> 46:5 119:20  144:21  <b>dean</b> 228:4  <b>deaths</b> 216:2  <b>debatable</b> 219:1  <b>debate</b> 77:9 82:9  167:13 215:17  216:1  <b>debbie</b> 2:17 15:1  258:14  <b>decade</b> 142:5  <b>decades</b> 11:6 51:5  77:18 107:17</p>
--	--	---	---



133:19 243:15,15 <b>december</b> 1:6 <b>decentralized</b> 227:3 <b>decide</b> 109:9 177:12 <b>decided</b> 139:3 172:10 220:11 <b>deciding</b> 34:13 <b>decision</b> 41:4 107:10 111:8 130:2 149:20 183:3,17 231:4,9 <b>decisions</b> 35:17 87:2 173:1 176:17 176:22 179:5 181:17 188:7 247:7 <b>decorum</b> 54:20 <b>dedicated</b> 53:6 <b>dee</b> 2:2 4:8 49:14 157:13 258:13 <b>deemed</b> 180:13 <b>deeming</b> 161:22 <b>deep</b> 35:4 <b>defect</b> 28:16 72:14 114:5 133:3,8 153:20 154:11,12 <b>defective</b> 28:16 <b>defects</b> 178:1 205:8 221:21 <b>definable</b> 135:1,6 135:7 155:12 <b>define</b> 72:4 100:6 167:4 174:1 179:8 180:15 <b>defined</b> 26:2 41:2 42:11,21 120:17 135:3 <b>defines</b> 25:14 76:1 <b>defining</b> 51:1 162:22 168:19 <b>definitely</b> 16:16 77:7 198:7 206:21	223:18 255:16 257:7,7 <b>definition</b> 25:14 25:19 43:4,7 61:7 61:10 97:13 133:7 174:5 <b>definitions</b> 61:8 97:17 98:2 136:3 136:16 <b>degrading</b> 181:3 <b>degree</b> 33:13 202:18 226:16 245:3 <b>delay</b> 21:14 29:3 65:5 111:10 217:12 218:15 222:10 <b>delays</b> 32:11 <b>delegations</b> 82:3,4 <b>delineate</b> 33:4 <b>delineates</b> 9:4 <b>deliver</b> 39:2 <b>demand</b> 177:5 237:11 <b>demanding</b> 240:17 <b>democratic</b> 95:10 <b>demonstrate</b> 61:2 <b>demonstrating</b> 19:18 168:11 171:22 <b>denominator</b> 101:22 <b>dense</b> 55:17 <b>department</b> 4:15 6:10 7:10 11:20 92:13 95:5 128:6 129:2 <b>departments</b> 63:2 <b>department's</b> 93:19 <b>depend</b> 64:3 149:22 172:12	<b>dependent</b> 187:12 <b>depending</b> 77:18 106:18 122:14 <b>depends</b> 15:20 52:9 220:6 255:10 <b>deploy</b> 21:14 25:21 55:21 <b>deployed</b> 29:9 35:5 36:1,15 44:10 61:6 65:7 65:13,15 76:10 105:20 161:14,15 236:1 251:21 <b>deployer</b> 121:21 123:12 <b>deployers</b> 99:6 162:8 166:22 <b>deploying</b> 27:20 28:19 56:2 <b>deployment</b> 6:12 7:12,18 9:10 10:17 13:1 14:9 14:10 23:11 25:14 25:15,20 26:2,8 26:16 28:10 36:13 40:1 44:15 50:5 52:2,15 121:22 161:10 165:21 <b>depth</b> 131:15 136:10 143:21 167:13 <b>deputy</b> 158:22 159:1 228:4 <b>describe</b> 25:20 33:4 97:1 <b>described</b> 105:5 <b>describes</b> 43:9 <b>deserves</b> 184:1 <b>design</b> 9:22 21:7 21:10,13,19 55:15 61:3,15 82:7 89:13 107:9 122:3 123:5 169:7 194:1 240:11	<b>designation</b> 40:11 <b>designed</b> 7:21 53:16 72:6 94:11 111:14 180:6 240:4 <b>designer</b> 25:17 61:1 123:9 <b>desirable</b> 18:11 <b>desired</b> 188:5 <b>detail</b> 16:16 58:14 87:3 197:19 256:10 <b>detailed</b> 13:6 14:2 19:11 99:21 <b>details</b> 14:13 34:7 40:7 <b>detect</b> 88:22 <b>detected</b> 236:3 <b>detectors</b> 216:16 <b>determination</b> 34:19 129:22 204:6 <b>determinations</b> 22:12 <b>determine</b> 37:8 115:5,22 211:11 <b>determined</b> 195:1 <b>determining</b> 18:3 219:11 <b>develop</b> 21:9 35:4 68:1 84:6 87:14 91:6 101:14 102:17 146:21 169:21 203:14,19 205:19 <b>developed</b> 10:2,10 10:21 65:12 122:20 238:3 243:21 <b>developer</b> 25:17 61:1 75:18,20 85:12 98:1,4,6,7 98:11,12,15 100:4 106:7,15 111:22
---	---	--	--

<p>121:20 179:19  <b>developers</b> 9:13  30:19 54:13 55:1  55:3 99:4,6 107:8  254:13  <b>developing</b> 13:8  17:17 24:20 27:19  74:4 180:19 242:8  <b>development</b> 7:12  9:10 10:7,12 14:9  18:10,19 21:11,19  25:9 28:2 29:4  31:3,21 39:18  41:1 81:10 83:18  85:9  <b>develops</b> 175:16  <b>deviate</b> 51:6  <b>deviating</b> 235:2  <b>device</b> 59:19 191:1  198:19 199:11,17  209:7 214:1  <b>devices</b> 15:13  198:16 202:6  204:16 213:16  250:16  <b>devil</b> 256:10  <b>devise</b> 200:21  <b>dhs</b> 171:4 197:15  <b>diagnostic</b> 144:6  <b>diagnostics</b> 144:8  <b>dialog</b> 13:10  226:10 244:13  <b>dialogs</b> 223:17  253:6,8  <b>dictate</b> 44:14  <b>dictionaries</b>  204:19  <b>dictionary</b> 210:3  <b>didn't</b> 57:12  108:16 124:21  128:20 153:22  167:12 180:5  185:4 221:3  231:20 237:22</p>	<p>242:11 254:5  <b>died</b> 102:6  <b>differ</b> 209:11  <b>difference</b> 140:20  167:17 174:19  <b>differences</b> 32:4  137:2,4 148:4  208:19  <b>different</b> 21:8  40:4 59:16 63:19  63:20 66:3 67:2,4  68:8 72:22 73:11  78:4 80:18,19  82:3,16 84:5  92:12,13 94:4  99:10 100:1 103:4  123:9 140:17  145:16,18 161:1,2  161:6 170:15,20  171:2,7,11 172:16  172:20,21 176:19  177:10 187:6  195:3,4,7 200:3  200:16 202:17  204:8 206:1,12  207:13,18 209:8  220:8 222:9,13  229:10 231:16  235:8 243:13  256:20  <b>differently</b> 29:8  31:5 57:18  <b>difficult</b> 99:8  114:18 120:12  160:1 211:8  225:13,14 251:19  <b>difficulty</b> 112:17  113:3 230:18  <b>digital</b> 190:14  <b>digitized</b> 198:20  <b>dilemma</b> 56:4  242:20  <b>diminish</b> 155:14</p>	<p><b>direct</b> 82:10 188:7  <b>directed</b> 137:17  <b>direction</b> 56:8  85:5 105:14 109:9  150:12 179:12  256:3 259:5  <b>directives</b> 199:22  201:20  <b>directly</b> 42:6  93:12 148:9 151:4  226:20  <b>director</b> 27:13  38:13 62:21 189:2  189:6 227:12  228:2  <b>disabled</b> 50:1  <b>disabling</b> 30:3  <b>disadvantaged</b>  115:10  <b>disagree</b> 76:20  <b>disclose</b> 116:20  <b>disclosure</b> 69:2  72:11 86:17 90:20  91:5  <b>discomfort</b> 201:17  <b>discourage</b> 55:22  <b>discourages</b> 36:3  <b>discretion</b> 251:2  252:7,9 253:21  <b>discuss</b> 8:13 10:14  93:20 228:13  229:20 232:2  <b>discussed</b> 10:10  14:7 68:2 94:8  121:6 182:11  186:13 203:6  205:6  <b>discusses</b> 23:17  <b>discussing</b> 246:13  <b>discussion</b> 16:8  39:13 42:4 50:10  60:10 80:20 85:16  93:7 96:20 111:6  120:14 124:6</p>	<p>129:18 139:8  156:19 157:6  162:21 165:17  170:10 181:7  182:6 194:16  199:14,18 217:7  223:19  <b>discussions</b> 64:8  124:9  <b>disengagement</b>  46:15  <b>disengagements</b>  46:17  <b>disintermediate</b>  199:9  <b>disparate</b> 52:5  <b>display</b> 192:19  <b>displays</b> 195:4,7  <b>disproven</b> 144:7  <b>disrupted</b> 199:9  <b>disrupters</b> 167:19  <b>disrupting</b> 55:7  <b>disruption</b> 55:9  <b>distance</b> 7:2  175:21  <b>distinct</b> 40:15  60:17  <b>distinction</b> 41:20  210:20  <b>distracted</b> 42:18  <b>distribute</b> 56:18  <b>district</b> 149:16  <b>diverse</b> 62:15 99:5  <b>diversification</b>  115:16  <b>diversity</b> 99:7  <b>divide</b> 21:11  <b>division</b> 8:16 9:1  20:19 21:2 56:9  <b>dmv</b> 46:14 256:15  <b>docket</b> 71:22  166:20 258:1,5  <b>doctors</b> 206:7</p>
---	--	---	---

<p><b>document</b> 21:16 94:9 231:15 258:4 <b>documented</b> 43:10 <b>documents</b> 72:4 181:15 <b>dodd</b> 96:12 <b>doesn't</b> 48:7 63:14 63:15,17 67:10 73:4 76:17,18 83:4 84:9 103:19 136:2,22 138:2,12 139:10,10 145:21 145:22 146:18 148:17 154:6 155:14 159:16 184:2 192:2 245:7 251:16 <b>doing</b> 31:20 98:12 104:10 117:7 118:13 120:4,22 136:19 141:14 143:1 152:13 155:21 167:18 201:1,14 204:15 211:17 214:4 253:17 256:4 <b>dollars</b> 49:2 <b>domain</b> 114:17 120:17 123:5 213:15 <b>don't</b> 4:6 49:8 68:4 73:4 75:8 82:9 87:19 88:15 90:13 91:14,16 100:19 101:2 102:20 103:22 108:9 109:3,18 113:12 117:1,2 120:7 123:21 132:15 133:13,16 133:19,19 134:9 137:10 138:3,3,4 145:7 146:3 147:2 148:2 150:6 156:5</p>	<p>161:4 163:9 164:8 171:5,20 175:2 177:13 184:7 186:20 193:12,13 197:1 201:12,22 207:10 210:3 219:4 220:14 221:18,18 224:20 224:22 231:11 232:7 233:4,13 234:10,15 235:1 235:12 244:10 249:18 253:18 254:21 255:20 <b>doorway</b> 15:17 <b>dot</b> 21:21 137:16 171:3,11 <b>dot's</b> 99:15 <b>double</b> 23:22 24:16 <b>doubt</b> 77:8 210:22 <b>downloading</b> 256:14 <b>downsides</b> 63:20 <b>dr</b> 4:19,22 5:2,5 5:21 6:1 13:6 14:2 158:12 164:8 168:18 172:14 178:2 179:11 180:22 183:18 <b>draft</b> 27:4 85:4 <b>drafted</b> 24:10 187:19,22 <b>draw</b> 184:2 210:9 <b>drawn</b> 85:9,14 <b>dreamliner</b> 215:6 <b>drill</b> 12:15 <b>drive</b> 7:1 33:8 152:1 168:15 <b>driven</b> 41:15,21 117:15,16 <b>driver</b> 9:4 20:22 27:20 30:15 37:1 41:16,22 42:2,11</p>	<p>42:16 138:3 170:19 176:17 177:2,2,8 179:6 191:19,21 193:2 193:17,19 194:17 254:10 <b>driverless</b> 190:21 205:16 215:11 216:1 218:19 <b>drivers</b> 8:22 23:19 30:12,17 36:15 135:21 206:11,13 207:4 222:15 249:1 <b>driving</b> 5:12 7:7 30:8,13 31:14 37:12 42:18 43:1 49:13,15,21 50:4 96:2 108:15 111:18 112:14 113:17 115:6 123:2 164:21 165:3,5 173:2 194:3 208:9 221:10 246:19,20 246:22 247:17 248:11 255:3,4 <b>drone</b> 212:18 <b>drones</b> 82:22 83:1 104:10 212:14 <b>drop</b> 244:11 <b>drug</b> 101:20 <b>drunk</b> 5:12 7:1 <b>dual</b> 42:1 <b>due</b> 30:15 70:21 149:12 174:17 192:18 194:9 213:11 216:2,14 <b>duke</b> 189:16 190:3 <b>dummies</b> 237:20 <b>duration</b> 159:19 215:3 <b>duties</b> 189:21</p>	<p><b>dying</b> 238:14 <b>dylan</b> 1:18 259:2 259:16 <b>dynamic</b> 99:2 128:9 152:11 203:11 230:2 <b>dynamics</b> 153:13</p>
<b>e</b>			
<p><b>e</b> 2:1,1 3:1 4:1,1 <b>earlier</b> 74:10 82:15 84:6 97:20 143:8 165:1 179:16 191:14 193:11 198:3 204:9 247:22 251:5,7,10 258:16 <b>earliest</b> 32:9 <b>early</b> 29:1 57:21 60:10 64:18 65:17 75:11 85:8 141:20 216:9 <b>earnestly</b> 116:6 <b>earnings</b> 69:4 <b>ears</b> 99:15,15 109:8 <b>easier</b> 140:22 147:19 <b>easily</b> 161:16 230:5 <b>easy</b> 225:21 239:15 <b>eating</b> 198:13 <b>echoing</b> 4:11 <b>economic</b> 41:1 115:11 227:8 <b>economics</b> 227:4 <b>economists</b> 217:14 <b>economy</b> 158:5 190:22 245:7 <b>ecosystem</b> 119:19 <b>edge</b> 7:22 <b>editor</b> 190:2 <b>educated</b> 195:16</p>			

<p><b>educating</b> 56:14 204:12</p> <p><b>education</b> 17:16 20:12 35:9 104:1 204:11,15</p> <p><b>edward</b> 227:22</p> <p><b>effect</b> 99:1 106:9 118:6 154:9</p> <p><b>effected</b> 192:7</p> <p><b>effecting</b> 212:14</p> <p><b>effective</b> 37:9 196:18</p> <p><b>effectively</b> 34:13 131:18</p> <p><b>effectiveness</b> 206:20</p> <p><b>effects</b> 190:1 195:17</p> <p><b>efficient</b> 7:12 123:17</p> <p><b>effort</b> 44:17 49:3 121:10 122:12 128:14 130:3 218:12</p> <p><b>efforts</b> 5:14 6:12 18:17 21:14 26:8</p> <p><b>eight</b> 73:22 74:1 76:16 95:8 215:8</p> <p><b>either</b> 68:4 149:10 150:14 159:16 162:8 169:20 229:9 244:10 257:20</p> <p><b>elasticity</b> 54:9</p> <p><b>elderly</b> 50:1</p> <p><b>elected</b> 34:5</p> <p><b>electric</b> 187:18</p> <p><b>electronic</b> 113:11 135:15 187:17</p> <p><b>electronics</b> 126:17</p> <p><b>elegant</b> 132:17</p> <p><b>element</b> 175:8 235:11</p>	<p><b>elements</b> 74:19 148:22 149:3 162:7 184:18</p> <p><b>eliminate</b> 7:8 43:21 184:13,14 184:19,20</p> <p><b>eliminating</b> 144:16</p> <p><b>embarking</b> 73:14 232:13</p> <p><b>embed</b> 174:9</p> <p><b>embedded</b> 172:22</p> <p><b>embodied</b> 53:20</p> <p><b>embracing</b> 216:5</p> <p><b>emerged</b> 247:6</p> <p><b>emergence</b> 203:7</p> <p><b>emergencies</b> 213:4</p> <p><b>emergency</b> 15:15 149:6 191:3</p> <p><b>emergent</b> 87:22</p> <p><b>emerging</b> 62:7 87:17 95:16 137:5 141:10 190:20 203:9,21 204:18 242:5,18 253:4</p> <p><b>emily</b> 17:1 57:8</p> <p><b>emphasis</b> 228:6</p> <p><b>emphasize</b> 31:4</p> <p><b>emphasized</b> 12:21</p> <p><b>emphasizes</b> 44:13</p> <p><b>employed</b> 259:8 259:11 260:8</p> <p><b>employee</b> 259:10</p> <p><b>employees</b> 25:16 68:15 82:5</p> <p><b>empower</b> 132:18</p> <p><b>empowered</b> 106:1</p> <p><b>enable</b> 10:18 127:12</p> <p><b>enact</b> 52:5</p> <p><b>encounter</b> 72:8 229:1</p> <p><b>encountered</b> 179:20 180:1</p>	<p><b>encountering</b> 98:20</p> <p><b>encourage</b> 35:15 47:16 51:16 226:1 251:16</p> <p><b>encouraged</b> 21:16</p> <p><b>encourages</b> 21:20</p> <p><b>encouraging</b> 23:3 39:4 51:8</p> <p><b>encyclopedia</b> 209:2,13</p> <p><b>ended</b> 239:6</p> <p><b>ends</b> 231:22</p> <p><b>energized</b> 44:12</p> <p><b>enforce</b> 51:4</p> <p><b>enforceable</b> 34:4 222:6</p> <p><b>enforced</b> 54:11 216:11 254:12</p> <p><b>enforcement</b> 9:18 10:10 17:22 21:1 36:16 47:13 51:10 91:2 92:5 125:10 135:4,22 144:18 144:20 147:19 158:7 252:9</p> <p><b>enforcing</b> 5:11</p> <p><b>engaged</b> 72:12 140:7</p> <p><b>engagement</b> 17:16 20:12 143:3</p> <p><b>engaging</b> 128:8</p> <p><b>engine</b> 126:16</p> <p><b>engineer</b> 86:12 92:13 190:5</p> <p><b>engineering</b> 95:13 218:7,8 219:10</p> <p><b>engineers</b> 206:6 212:22 232:20</p> <p><b>enhance</b> 30:21 49:22 104:8</p> <p><b>enjoin</b> 132:13 133:11</p>	<p><b>enjoy</b> 90:8 258:8</p> <p><b>enjoyed</b> 143:7</p> <p><b>enlightened</b> 81:16</p> <p><b>enormous</b> 6:15 13:2 110:17,18 199:20</p> <p><b>enriched</b> 258:8</p> <p><b>ensure</b> 11:2 20:15 24:12 33:6 34:11 37:6 39:2 42:7 47:18 48:18 52:6 53:16 153:7 162:14 178:11 248:14,14</p> <p><b>ensured</b> 36:2,8</p> <p><b>ensures</b> 39:20</p> <p><b>ensuring</b> 44:9 82:6 147:15 153:9</p> <p><b>enter</b> 196:2</p> <p><b>enterprise</b> 61:22</p> <p><b>entertain</b> 254:5</p> <p><b>enthusiastically</b> 18:20</p> <p><b>entire</b> 8:4 12:6 67:20 113:16 119:19 203:19</p> <p><b>entirely</b> 41:15,21 88:3</p> <p><b>entities</b> 13:22 22:19 32:10 37:16 51:17 56:2,12 110:12 121:11</p> <p><b>entity</b> 43:18 44:14 45:7 110:11</p> <p><b>entrance</b> 56:1</p> <p><b>entrant</b> 83:2</p> <p><b>entrants</b> 120:5</p> <p><b>entrepreneur</b> 67:11</p> <p><b>entry</b> 118:13,22 119:1 120:3</p> <p><b>environment</b> 30:13 48:6,14 156:7 161:2 169:2</p>
--	--	--	--

173:2,10 174:1 175:15 213:13 228:18,20 237:1,4 243:11 252:1 <b>environmental</b> 50:2 <b>environments</b> 41:17 <b>envision</b> 7:5 133:2 <b>epa</b> 246:1 <b>equally</b> 90:3 187:12 <b>equation</b> 152:7 <b>equip</b> 11:11 <b>equipment</b> 8:21 19:6 27:14,17 31:2 37:13 60:22 61:18 64:14 66:1 151:21 165:16 <b>equipped</b> 47:21 <b>equivalent</b> 69:1 150:11 159:12 168:11 171:18,22 173:14 175:3,7,17 184:18,21 <b>era</b> 215:19 <b>eric</b> 27:9 57:9 <b>erika</b> 2:2 126:22 136:20 139:18 148:18 150:18 <b>error</b> 6:22 181:14 201:16 216:3 218:2,5,8 219:5,8 220:19,20 221:8 254:12 <b>errors</b> 46:21 <b>especially</b> 49:22 86:11 91:18 118:20 140:15 141:10 148:5 161:12 195:3 224:16 228:22 242:5	<b>essential</b> 28:13 39:18 194:7 <b>essentially</b> 22:16 28:15 41:5 66:6 107:1 111:21 191:17 219:12 229:8 233:7 <b>establish</b> 28:9 36:3 42:8 <b>established</b> 26:22 34:12 51:7 79:14 79:17 117:14 118:1 199:21 <b>establishing</b> 118:13 <b>establishment</b> 20:15 40:17 <b>estimated</b> 215:7 <b>et</b> 209:7 <b>ethical</b> 24:3,5 55:2 55:3,5,15 56:3 181:15 <b>ethics</b> 117:17 193:2 <b>eu</b> 170:10 <b>europe</b> 109:16 <b>european</b> 97:6 109:11 <b>euros</b> 169:16 170:1 <b>evaluate</b> 30:19 123:1 <b>evaluated</b> 29:8 30:2 161:4 <b>evaluation</b> 29:4 30:7,11 228:7 <b>evaluations</b> 32:12 <b>event</b> 1:10 12:21 15:15 104:11 235:13 244:8 <b>events</b> 176:2 <b>eventuality</b> 91:12 <b>eventually</b> 122:5	<b>everglades</b> 216:14 <b>everybody</b> 4:22 5:3,6 49:9 83:6 119:21 159:6 160:4 201:3 257:11,14 <b>everybody's</b> 87:17 91:8 180:17 212:10 <b>everyone's</b> 48:14 104:17 <b>everything's</b> 79:2 <b>evidence</b> 149:17 <b>evolution</b> 8:2 11:18 212:5 <b>evolve</b> 7:21 110:3 199:3 <b>evolved</b> 123:8 <b>evolves</b> 200:10,11 <b>ewr</b> 136:14 141:12 141:16,17 <b>ex</b> 211:5 248:1 <b>exact</b> 6:1 58:17 199:14 219:2 255:19 <b>exactly</b> 6:4 101:1 201:1 232:15 241:1 <b>exam</b> 109:14 <b>examine</b> 11:4 52:13 55:14 <b>example</b> 23:19,21 24:11,16,16 26:3 46:4,13 48:4 50:16 55:6 70:1 73:12 91:20 98:11 123:5 135:12,16 144:3 153:22 193:6 210:2 212:21 216:13 230:12 238:5,10 246:3 <b>examples</b> 187:14 187:15 209:13	237:16 <b>exceed</b> 161:16 <b>excellent</b> 77:15 232:5 <b>exception</b> 24:17 <b>exceptions</b> 24:13 25:3 <b>exchange</b> 90:8,19 101:13 <b>exciting</b> 12:19 196:4,21 243:20 <b>exclusive</b> 51:3 <b>excuse</b> 60:18 189:20 <b>execute</b> 113:11 180:3 <b>executive</b> 35:21 40:22 <b>executives</b> 41:3 <b>exemplify</b> 219:3 <b>exempt</b> 159:10 162:2 185:1 <b>exempting</b> 161:20 <b>exemption</b> 3:10 11:9 159:7,22 160:6,10,14,21,21 161:7 162:15 163:15 164:4,10 164:11 168:5,20 171:16 174:16,21 180:13 182:7,12 182:17 183:6,20 183:21,22 184:2 <b>exemptions</b> 54:14 54:18 58:21 112:5 157:9,15,19 159:20 165:18 168:8 173:14 246:6,8 <b>exempts</b> 55:13 <b>exercise</b> 33:6 129:16 145:4 219:2 251:1 252:6 253:15
---	---	--	--

<p><b>exercised</b> 98:18 138:6 <b>exercising</b> 141:3 253:17 <b>exist</b> 63:17 84:3 120:17 220:11 <b>existing</b> 25:2 51:20 52:14 54:15 74:12 97:4 106:12 106:22 111:15,16 111:16 115:1,4,11 115:18 183:22 <b>exists</b> 205:9 236:12 248:5 <b>exit</b> 15:16 <b>expand</b> 99:5 160:6 160:14 164:4 184:13,14 <b>expanded</b> 3:10 11:6,9 50:11 58:21 157:9 159:6 160:10 163:15 165:18 182:7,12 182:17 183:6,21 <b>expanding</b> 161:7 168:4 <b>expansion</b> 164:9 <b>expect</b> 113:9 115:3 117:8 <b>expectation</b> 184:15 <b>expected</b> 24:4 114:5,15 <b>expediency</b> 54:7 <b>expeditiously</b> 20:6 <b>expenses</b> 244:6 <b>experience</b> 18:20 34:18 54:3 65:20 67:21 68:16 84:9 174:15 237:17 242:11 <b>experienced</b> 222:12</p>	<p><b>experiences</b> 32:1 <b>experiencing</b> 242:12 <b>experimentation</b> 202:21 211:14 218:2,9 <b>experimenting</b> 201:16 <b>expert</b> 84:12 96:3 196:14 <b>expertise</b> 18:20 34:19 35:12 39:18 44:6 48:8 87:11 <b>experts</b> 248:18 250:9 <b>explain</b> 123:7 231:3 <b>explained</b> 233:10 <b>explains</b> 6:19 <b>explicitly</b> 23:13 <b>exploding</b> 133:20 <b>explore</b> 31:18 35:7 50:19 54:9 <b>exploring</b> 159:14 257:6 <b>exponentially</b> 200:11 <b>exposure</b> 31:1 <b>expressed</b> 40:20 129:13 <b>extend</b> 140:14 <b>extensively</b> 34:21 <b>extent</b> 52:12 77:3 83:12 90:4 97:3 112:13 246:6 <b>externally</b> 110:19 <b>extraordinarily</b> 211:8 <b>extreme</b> 98:5 100:12 105:11,15 219:21 <b>extremely</b> 169:1,2 219:22</p>	<p><b>eye</b> 65:11 206:15 230:13 <b>f</b> <b>faa</b> 48:3 62:10,11 62:13,17 66:5,6 66:10 67:22,22 68:15 69:7 80:2,7 82:2,4,5 90:7 99:3 190:6 202:5 203:18 206:2 212:12 214:8,11 215:2,3,7 216:7,8 242:9 251:9 <b>faa's</b> 65:20 66:16 104:10 112:6 <b>fabulously</b> 135:17 232:5 <b>face</b> 186:3 224:1 245:1 <b>facilitate</b> 59:7 <b>facilitating</b> 250:16 <b>fact</b> 22:20 47:20 52:17 65:11 71:5 73:19,21 90:21 97:14 131:12 132:19,21 142:8 144:6,21 147:16 149:17 154:20 187:2 192:7 200:10 228:18 240:4 241:16 244:5 <b>factor</b> 165:17 182:3 239:13 <b>factors</b> 121:2 165:19 189:22 190:5 239:17 <b>failed</b> 126:17 252:11 <b>failing</b> 236:2 <b>failure</b> 115:2 <b>failures</b> 114:20,22 115:14,17 118:3 135:19 218:4</p>	<p>254:14 <b>fair</b> 94:8 167:14 168:3 208:13 251:1 <b>fairly</b> 8:16 75:19 165:17 <b>faith</b> 122:12 166:7 <b>fall</b> 146:9 <b>fallacious</b> 180:9 <b>falsification</b> 91:3 <b>falsifications</b> 92:1 <b>familiar</b> 119:9 202:3 238:12 <b>family</b> 12:16 <b>famous</b> 198:12 <b>fan</b> 242:3 <b>far</b> 5:15 33:11 66:22 82:9 129:11 130:17 150:15 151:22 169:9 179:12,13 182:6 183:15 196:8 197:12 207:21 227:20 <b>farther</b> 192:20 <b>fascinating</b> 80:17 111:6 <b>fast</b> 31:8 141:11 165:14,22 167:22 242:8 <b>faster</b> 77:1 200:6 211:2,15 215:12 <b>fatalities</b> 6:7 7:8 152:20 216:12 217:2,10 <b>father</b> 6:2 <b>fav</b> 90:10 258:14 <b>favor</b> 86:16 130:13 243:6 252:12 256:22 <b>favorably</b> 107:1 <b>favoritism</b> 107:4 <b>favp</b> 28:7,17 29:11 29:21 31:19 32:8</p>
---	---	--	---

49:18 <b>favps</b> 32:5 <b>fca</b> 126:7 <b>fda</b> 107:13 199:15 202:2,6 203:18 204:20 205:2 206:1 209:17 210:2,8 223:15 242:9 <b>fda's</b> 204:15 <b>features</b> 10:1 30:3 <b>fed</b> 231:1 233:20 <b>federal</b> 1:3 4:10 4:16 7:9 8:17,19 9:5,21 17:9 19:16 19:18 22:13 23:14 23:18 25:11 28:6 28:8,12,15 29:14 32:22 33:4,18 36:3 39:1 43:19 43:20,22 51:1,2,4 51:20 53:18,20 56:5,11 58:9 61:13 64:12 69:17 73:19 74:12 84:12 84:13 85:6 96:5,6 101:17,19 108:8 110:18 116:5 121:4 125:19 127:6 145:17 159:2 186:13 202:4 203:17 231:12 233:8 234:19 238:16 239:12 242:10,16 248:4 <b>federalized</b> 66:14 <b>feed</b> 230:19 <b>feedback</b> 8:11 11:19 13:21 14:7 49:20 233:19 257:19 <b>feeds</b> 230:20 234:6	<b>feel</b> 46:18 86:6 252:10 <b>feeling</b> 74:2 82:8 <b>feet</b> 113:10 <b>fellow</b> 61:22 190:10 227:11 230:13 <b>felonies</b> 55:12 <b>fibbing</b> 234:11 <b>fifth</b> 185:13 <b>fighting</b> 5:12 <b>figure</b> 91:14,15 183:14 209:19 211:10 <b>figured</b> 157:15 <b>figuring</b> 220:10 <b>fikenstscher</b> 120:11 <b>fikentscher</b> 2:10 15:2 45:4 87:21 90:7 93:10 123:21 124:2 217:6 254:7 258:14 <b>file</b> 106:16 <b>filed</b> 106:21 <b>filing</b> 218:11 <b>fill</b> 51:12 188:22 189:5 <b>filler</b> 182:18 183:7 <b>final</b> 7:20 26:6 34:16 72:18 73:22 96:4 130:2 205:5 223:9 <b>finalization</b> 27:6 <b>finally</b> 26:7 56:5 62:20 190:9 227:20 235:22 <b>finance</b> 62:6 <b>financed</b> 153:6 <b>financial</b> 69:1 118:4,6 119:3 <b>financially</b> 259:12 260:9	<b>find</b> 16:5 47:13 55:20 91:16 97:3 121:7 141:17 162:6 167:9 169:17 206:8 214:4,5 227:21 <b>finding</b> 101:3 120:1,21 121:1 201:15 245:22 <b>findings</b> 149:16 <b>fine</b> 30:21 91:11 182:9,15 251:13 <b>finish</b> 223:13 <b>fire</b> 216:14,16 <b>fires</b> 126:17 <b>firestone</b> 126:15 131:10 <b>firm</b> 125:22 127:1 165:13 227:13 <b>firm's</b> 126:2 <b>first</b> 6:8 7:11 8:3,8 8:9,14 19:17 31:16 36:19 60:1 61:20 63:7 70:12 77:6 87:14 92:21 95:4 96:1 111:6 120:13,19 124:13 127:15,18 128:15 131:5 132:6 134:16 137:12 139:17 144:14 145:19 160:3 161:9 162:17 163:2 170:4 175:10,18 182:20 191:6 195:22,22 196:6,6 217:6 228:12 229:11,16 231:6 237:4 241:1 241:13 247:13 250:5 <b>fit</b> 90:4 138:2,18 139:10 180:7	<b>fits</b> 109:2 <b>five</b> 59:4 161:18 215:5,12 241:5 249:18,19,19 253:13 <b>fix</b> 64:20 101:2 107:18 136:1 182:2 221:1 <b>fixed</b> 203:10 209:3 <b>fixes</b> 200:21 <b>flag</b> 169:10 <b>flavors</b> 149:14 <b>flaws</b> 55:15 <b>fleet</b> 21:4 99:1 114:3 138:4,8 <b>fleets</b> 161:13 <b>flexibility</b> 100:10 112:8 138:16 211:22 246:7 250:1 251:13 <b>flexible</b> 7:21 10:19 98:9 200:5 203:11 244:22 <b>flight</b> 216:14 <b>flip</b> 152:3 193:8 193:21 <b>flipped</b> 57:18 <b>floating</b> 94:10 <b>floor</b> 69:12 107:13 <b>florida</b> 71:3 <b>flow</b> 223:1 <b>flush</b> 237:13 <b>flux</b> 98:21 101:15 <b>flying</b> 66:12 <b>flyovers</b> 86:2 <b>fmvss</b> 22:19,22 31:10 32:7 63:13,20 73:10,22 111:16 112:3 113:7 121:19 161:1,5,20 162:3 163:2 164:2 165:15 173:4,11 174:7 177:21
---	--	--	--

178:17 179:3,9 183:10 <b>fmvss's</b> 119:12 120:17 <b>focus</b> 13:21 14:6 51:9 58:12 103:16 158:17 176:7 190:13 216:9 231:11,20 <b>focused</b> 6:20 24:19 95:19 105:6 120:14 227:17 <b>focuses</b> 62:1 94:20 126:1 <b>focusing</b> 76:10 77:16 105:1 119:21 127:2 132:6 <b>folks</b> 4:4 6:4 15:21 46:2 70:14 89:5 109:8 157:20 182:14 185:18 226:6 235:4 241:10 253:16 <b>follow</b> 107:3,20 142:16 169:17,20 171:13 223:20 <b>followed</b> 24:14 61:16 179:19 199:19 <b>following</b> 14:17 42:12 103:4 112:15 125:6 <b>follows</b> 29:13 <b>fond</b> 90:12 <b>font</b> 192:19 <b>footnote</b> 41:19 42:6 <b>fora</b> 226:13 <b>forbearance</b> 252:22 <b>force</b> 151:21 <b>forcefully</b> 36:7	<b>forces</b> 118:1 <b>forcing</b> 249:13 <b>ford</b> 17:1 57:8 <b>forecast</b> 224:16 <b>forecasts</b> 247:15 <b>forefront</b> 156:3 <b>foregoing</b> 259:3 <b>foregone</b> 218:18 <b>foremost</b> 131:5 132:6 144:14 237:5 <b>forensic</b> 121:1 <b>foreseen</b> 13:12 <b>forever</b> 180:3 <b>forget</b> 255:19 <b>forgetting</b> 202:8 <b>forgive</b> 252:3 <b>forgotten</b> 204:10 <b>form</b> 83:3 92:3 98:8 100:12 105:11 106:14 138:19 139:1 162:10,15 199:10 201:19 203:14 <b>formalize</b> 54:9 <b>former</b> 38:8 49:12 227:12 <b>forms</b> 82:1,21 218:7 <b>formula</b> 219:12 <b>formulating</b> 39:17 <b>forth</b> 29:13 87:12 87:16 90:2 112:21 153:7 191:1 193:14 224:21 225:7 237:19 238:15 248:8 <b>fortunately</b> 84:11 <b>forum</b> 59:16 <b>forward</b> 7:17 11:16 12:5,10 16:7 47:4,19 48:5 48:11,15,15 49:5 49:8 50:14 52:7	52:10,20 75:2,5 85:15 132:1 147:3 152:9 155:6,13 157:20 159:18 167:15 175:14 177:17 182:4 183:17 223:2 226:18 232:2 235:21 251:13 <b>foster</b> 19:15 58:19 <b>found</b> 149:1 <b>foundation</b> 47:7 <b>foundational</b> 28:22 108:15 127:16 <b>founded</b> 126:9 158:12 <b>four</b> 74:1 169:16 175:13 <b>fourth</b> 156:22 157:9 185:12 <b>foxx</b> 6:11 <b>fra</b> 190:6 206:1 <b>framework</b> 9:10 13:1 20:16 28:8 29:11 34:12,20 35:13 36:10 39:6 44:11 47:15 51:13 56:7 66:2,3,5 68:20 203:4 <b>frameworks</b> 19:15 52:5 199:2 <b>frank</b> 63:4 96:12 <b>frankly</b> 49:1 72:10 84:8 101:20,21 102:3,5,18 108:9 108:11,14,22 114:3,12 119:12 121:4 128:9 129:3 130:4 139:19 142:18 151:8 249:15 <b>frascaroli</b> 17:1 57:8	<b>fraudulent</b> 210:4 <b>freddie</b> 62:19 <b>free</b> 190:12 <b>freely</b> 165:17 <b>freezing</b> 4:7 <b>frequency</b> 103:11 226:15 <b>frequent</b> 159:20 <b>frequently</b> 220:1 242:1 <b>fresh</b> 216:1 <b>friend</b> 6:3 <b>friends</b> 12:17 232:4 <b>front</b> 138:16 148:12 <b>fuel</b> 158:5 <b>fulfilled</b> 102:4 <b>full</b> 11:3 28:4 42:13 43:8 56:16 108:15 163:13 173:8 <b>fully</b> 7:6 30:19 32:7 41:14 42:19 50:4,7 170:15,18 255:15 <b>fun</b> 183:2 <b>function</b> 106:13 176:7 188:9 235:15 <b>functional</b> 72:2,2 72:3,13 77:21 78:15 100:9 122:9 123:14 135:18 147:11,14 150:10 162:3,13,13 169:5 174:5,9 175:4 176:3,4 179:17 182:16 188:12 232:18 <b>functionality</b> 41:11 186:19 <b>functioning</b> 118:8
--	--	--	---



<p><b>functions</b> 73:20 169:3</p> <p><b>fundamental</b> 83:1 83:2 192:21 201:9</p> <p><b>fundamentally</b> 66:3 94:4 99:10 112:22 172:16,19 172:21 180:14 248:19</p> <p><b>funding</b> 99:13,17 99:20</p> <p><b>further</b> 10:9 18:2 18:19 84:4 94:5 104:8 226:19 257:19 259:10</p> <p><b>furthermore</b> 30:15</p> <p><b>fused</b> 141:16</p> <p><b>futile</b> 240:20</p> <p><b>future</b> 7:5 11:12 12:4 18:8 20:5 24:22 29:6 32:11 65:10 74:16 78:7 159:9 181:8 205:17 224:16</p> <p><b>futuristic</b> 193:2</p>	<p><b>gathering</b> 13:21 14:6 60:22</p> <p><b>geez</b> 252:8,8</p> <p><b>gen</b> 64:2</p> <p><b>general</b> 12:7 17:9 38:22 48:22 62:18 63:10 64:2,5 82:8 89:11 93:20 94:16 96:5 115:20 116:16 131:10 138:9 190:17 206:12 229:21 233:22 247:9,19 249:9</p> <p><b>generally</b> 8:19 26:10 132:22 137:17 138:6 168:1 190:18 256:22</p> <p><b>generous</b> 97:9</p> <p><b>gentleman</b> 72:16 251:9</p> <p><b>george</b> 190:11</p> <p><b>german</b> 169:12</p> <p><b>germany</b> 169:15 169:21</p> <p><b>getting</b> 6:5 8:11 86:8,19 92:14 134:11 163:13 186:15 201:5 202:20 211:22 250:10</p> <p><b>give</b> 4:21 5:19 58:14 74:2 83:12 85:21 120:12 127:18 134:14 135:12 142:11 149:1 157:22 159:16 160:15 177:2 183:13 185:17 227:5 228:13 233:15 237:15 238:20 250:11</p>	<p><b>given</b> 4:4 19:13 50:11 59:7 65:5 76:15 120:16 164:11 174:22 175:6 182:11,19 202:17 204:17 249:16 251:19 255:11 256:4 257:11</p> <p><b>gives</b> 71:3 77:22 78:11 137:8 146:4 249:22</p> <p><b>giving</b> 100:21 132:12</p> <p><b>glad</b> 191:11 194:15</p> <p><b>glassman</b> 2:4 158:2 160:19 165:19 173:16 175:10 177:20 180:10 184:5,10</p> <p><b>glenn</b> 151:20</p> <p><b>global</b> 19:1,4 25:6 211:20</p> <p><b>globe</b> 129:8</p> <p><b>glossary</b> 42:11</p> <p><b>glue</b> 143:11</p> <p><b>gnomes</b> 233:17</p> <p><b>go</b> 4:3 16:21 45:5 47:19 48:5,10 49:4 58:4 59:22 63:9 68:11 71:14 75:15 77:12 82:9 85:5 87:18 92:6 93:16 96:22 102:21 106:7 109:9 111:4 114:5 125:7 136:5,7 137:13 142:22 147:3 148:9,12 150:12 154:6 157:4 160:4,5 162:17 163:8,16 164:5 166:13</p>	<p>168:10 171:15 175:1 177:4 178:17 179:15,22 182:4,22 183:12 183:19 184:7,7,11 185:11 186:2 192:20 203:12 204:2 205:2 206:13,14 207:10 212:1,15 219:20 226:18,19 229:15 241:7 244:6 245:18,21 246:2 254:7 255:5,8</p> <p><b>goal</b> 9:9 91:6 123:11 217:17 237:10,12 243:4</p> <p><b>goals</b> 118:8 237:9 237:14 240:8 256:5</p> <p><b>goes</b> 12:18 69:17 94:5 96:21 102:12 104:5 105:2 111:22 124:7 144:12 165:20 168:13 196:8</p> <p><b>going</b> 4:3 5:6 6:7 14:11 15:17 16:9 33:11 45:18 47:3 47:4,4,6 49:4 52:21 57:4,11,21 57:22 58:4,12,15 58:16,18 59:3,4,8 59:12,14 60:1,2 60:12 66:12 67:2 69:21 70:11 72:8 72:9 74:12 75:14 76:12 79:3 80:3 81:14,14 84:20,21 84:22 85:14,15,15 85:17,17,19,19,21 86:21 87:9,10 90:14 92:14 98:21 98:22 102:5</p>
<p><b>g</b></p> <p><b>g</b> 4:1</p> <p><b>gain</b> 35:8 169:17 236:16</p> <p><b>game</b> 91:9</p> <p><b>gaming</b> 229:5 235:12 236:12,20 237:6 239:18</p> <p><b>gaming's</b> 239:19</p> <p><b>gao</b> 135:9</p> <p><b>gap</b> 182:18 183:7</p> <p><b>gaps</b> 51:13</p> <p><b>gate</b> 244:18</p> <p><b>gateway</b> 107:7</p> <p><b>gather</b> 13:10 160:9</p> <p><b>gathered</b> 83:9</p>			

105:16 108:10 110:1,10,16 111:1 113:15,16,19,21 113:22 114:2,11 115:10 116:17,18 116:19 117:6 121:1,8,10,15,16 123:1,8,14,15 124:2,14,15 125:1 131:3,10,22 132:1 132:8 133:6 136:11 141:9,14 141:20 142:1,4,13 144:15,17 149:22 154:11 155:1,3,6 155:13,20 156:14 156:21 157:3,7,10 157:12,21 159:18 160:11 161:9 162:2,17 163:13 163:18 164:5 166:9,9 172:12 178:10 179:7,11 179:15,20 181:3 182:2,3,8 183:17 183:19,20 184:5 184:15 185:15 186:3 192:5,6,18 193:6 194:6,18 198:22 200:4,14 201:4,5,14,15,22 203:21 206:8,22 210:20 211:2,8,14 211:22 212:5,7 213:20 216:6 217:18 219:16,17 220:2 221:9,13,14 223:5,13 227:5 229:19 230:5 231:5 232:10 235:3,13,19 236:3 237:2 241:10 243:17 248:20 250:7 251:4,20,22	252:2,4 253:8 254:19 257:6 <b>good</b> 4:2,7,8,22 5:1,2,4 19:2 27:12 32:17 38:12 39:8 45:12 49:14 53:2 60:9 70:1 90:4 93:18 100:21,21 104:21 105:15 122:12 124:6 127:22 142:20 144:3,22,22 145:8 155:10,10 156:9 166:7 168:15 169:19 178:13 184:1 186:8 201:8 209:15 215:10 234:10 242:22 243:2 247:14 250:8,15,15 257:10 <b>gotten</b> 84:19 <b>govan</b> 2:15 62:10 66:2 80:14 91:21 <b>goven</b> 90:17 <b>governance</b> 56:7 <b>governing</b> 10:20 <b>government</b> 7:11 8:17 9:21 12:3 22:13 25:7 29:2 39:13 40:22 44:3 44:18 56:6,12 61:14 79:17 94:22 96:3 108:8 112:19 116:5 127:6 158:9 169:12 174:10 180:20,22 223:3 228:9 238:16 239:13 242:16 248:4 249:3 250:6 250:9 <b>governments</b> 7:16 28:9 51:22 52:4 101:17	<b>government's</b> 8:20 39:1 51:3 <b>governor</b> 36:7 <b>governors</b> 35:20 <b>grab</b> 15:22 <b>grade</b> 220:9 <b>graduated</b> 55:8 <b>grant</b> 34:14 35:22 <b>grapple</b> 75:5 <b>grass</b> 80:11 <b>grateful</b> 14:15 <b>gray</b> 140:9 <b>great</b> 17:6 38:6 49:21 52:10 59:21 65:9,18 67:15 68:13 71:3 78:1 78:12,15 79:5 93:18 100:13 104:16 124:4 128:11 134:20 141:18 151:15 152:8 153:3,4 156:19 163:7 201:13 218:6 234:15 235:19 239:2 251:17 <b>greater</b> 98:18 140:9 218:2 <b>greatest</b> 50:9 65:13 <b>greener</b> 80:12 <b>grip</b> 128:8 <b>grounds</b> 231:16 <b>group</b> 8:10 18:21 38:16 94:20 99:6 251:15 <b>grouping</b> 40:9,14 <b>group's</b> 39:16 <b>grow</b> 101:5 128:15 129:21 <b>growing</b> 84:20,21 84:22 200:12 <b>growth</b> 62:2	<b>guess</b> 17:7,7 79:7 89:2 120:6 128:1 145:19 146:1 149:10 205:13 223:20 225:16 227:21 236:15 255:15 <b>guests</b> 15:3 <b>guidance</b> 20:1,5 21:17 22:2,9,11 23:17 25:1,14 28:6,18 29:13,17 33:22 34:9 35:19 39:6,7,9 43:9 61:17 70:3 87:7 88:5 196:9 200:18 202:7 203:7,14 209:10,11 231:15 249:22 250:2 <b>guide</b> 7:11 8:2 <b>guidelines</b> 28:15 45:6 54:10 128:21 212:13 216:20 231:13 233:1 236:19 <b>guiding</b> 8:12 <b>guys</b> 93:10 112:10 117:11 145:13 185:9
<b>h</b>			
<b>hack</b> 194:11 <b>hacked</b> 194:10 <b>hackers</b> 194:6 <b>hageman</b> 16:18 <b>hagemann</b> 2:11 57:7 226:21,21 229:15 242:3 252:16 256:8 <b>half</b> 6:8 148:14 <b>hammer</b> 252:19 <b>hammered</b> 204:2 <b>hammersbaugh</b> 236:9			

<p><b>hand</b> 15:5 160:13 192:15</p> <p><b>handed</b> 185:19</p> <p><b>handle</b> 47:21 72:9 113:20 195:11</p> <p><b>handled</b> 63:5 135:4 177:22</p> <p><b>handling</b> 107:4 114:16</p> <p><b>hands</b> 243:22</p> <p><b>hang</b> 88:13 117:13</p> <p><b>happen</b> 48:20 68:9 104:9 119:4 131:1 131:20 144:5 145:19 146:16 215:18 219:22</p> <p><b>happened</b> 71:2 72:11 104:2,11 120:22 133:4 204:5 216:15</p> <p><b>happening</b> 46:16 68:21 151:9 200:6 201:11 211:2,15 211:19 212:7 221:19 239:6</p> <p><b>happens</b> 48:13 89:16 116:19 154:9 198:22 220:1 251:21</p> <p><b>happy</b> 49:16 92:9 105:9 170:9 198:2</p> <p><b>haptic</b> 189:18</p> <p><b>hard</b> 6:11 52:18 81:13 84:16 188:13 203:10 210:21 224:14,15 239:5,8,14 245:16</p> <p><b>harder</b> 181:19 236:16</p> <p><b>hardware</b> 89:15 187:12,20 188:1,4 188:4,8,12 192:3</p> <p><b>harm</b> 52:2 207:17 210:6</p>	<p><b>harmful</b> 238:17</p> <p><b>harmonization</b> 170:8 186:10</p> <p><b>harsh</b> 112:7</p> <p><b>hasn't</b> 138:14</p> <p><b>hat</b> 55:13</p> <p><b>hav</b> 37:17 40:11 42:13 50:14 52:15 52:17 54:3,13 55:1,3,7,9 160:9</p> <p><b>haven't</b> 15:12 38:9 132:19 133:4 137:9 151:4 195:8 205:6,12</p> <p><b>havs</b> 42:4 51:2,6 51:13 54:5,10,19 54:21 55:2 56:2 88:1,3 159:7 160:12</p> <p><b>hazard</b> 3:9 11:10 46:9 47:5 58:20 124:14 125:11 127:11 128:18 131:4 132:5,10,16 133:2,6,18 134:15 134:19 136:13 137:16 138:10,18 139:9,15 142:2,3 142:5 145:4,22 146:4,7,12 147:8 148:8,15 149:2,5 150:3,8 153:21 154:6,10 155:7,15 156:14,16 216:21</p> <p><b>hazardous</b> 128:17 154:21</p> <p><b>hazards</b> 54:22 127:14 132:22 146:11</p> <p><b>head</b> 158:1 252:18 252:19</p> <p><b>headquarters</b> 62:14</p>	<p><b>healthy</b> 119:2</p> <p><b>hear</b> 4:5 11:22 78:13</p> <p><b>heard</b> 8:10 46:20 47:20 135:6 149:15 164:13 171:1 200:7 204:9 232:18 244:1 251:18</p> <p><b>hearing</b> 12:10 31:14 50:18 60:15 83:7 128:2,13 157:20 173:17 175:2 226:8 240:11</p> <p><b>hearings</b> 226:13</p> <p><b>heater</b> 126:16</p> <p><b>held</b> 8:8 50:17 199:16 235:8</p> <p><b>hell</b> 109:15</p> <p><b>help</b> 7:6 8:2 11:20 35:7 56:2 68:18 140:8 141:18 142:10 160:9 163:17 168:8 213:2 216:18 237:13</p> <p><b>helpful</b> 8:12 87:4 91:18 189:1</p> <p><b>hememrsbaugh</b> 60:7</p> <p><b>hemmersbaugh</b> 2:13 60:3,5 65:18 69:19 73:6 75:3 75:13 76:12 78:16 79:7,13,16,20 80:1 83:5 85:18 87:5 88:2 89:20 90:6,11 92:19 223:11 224:2,6,9 234:20 240:18 248:10 250:10 252:6,9,15 253:18 254:1,4,18 255:17</p>	<p>256:7 257:8</p> <p><b>hereto</b> 259:12</p> <p><b>here's</b> 113:8 209:19 233:16 238:10</p> <p><b>hermetically</b> 60:17</p> <p><b>herrington</b> 227:14</p> <p><b>hey</b> 91:17 117:7</p> <p><b>he's</b> 16:19 60:3 93:5 95:14 96:13 126:1,14,18 223:13 227:5,11 230:14</p> <p><b>hi</b> 45:12 53:2</p> <p><b>high</b> 55:17 111:1 119:15 121:8 167:1 234:13 254:16</p> <p><b>higher</b> 96:20 113:15 164:17 228:22</p> <p><b>highest</b> 44:7 169:11</p> <p><b>highly</b> 9:2 10:11 10:21 11:2 13:9 14:10 18:3,5 21:22 23:3 25:15 25:18 28:3 30:8 40:10 50:12 75:22 76:3,11 77:17 103:7 161:12 171:18 174:2 177:4 178:15 206:3 237:1 240:1 247:18 248:20 255:9</p> <p><b>highway</b> 4:20 38:7 62:22 125:19</p> <p><b>highways</b> 70:21</p> <p><b>hinder</b> 31:21</p> <p><b>hinds</b> 1:18 259:2 259:16</p>
---	--	--	--

<p><b>hines</b> 2:8 189:6 195:20 207:8 214:7 220:13</p> <p><b>hiring</b> 11:11 92:12 92:13 248:18</p> <p><b>historical</b> 103:12 104:2</p> <p><b>historically</b> 237:8 247:3</p> <p><b>history</b> 126:11 136:7 166:1,13 187:9 222:12</p> <p><b>hit</b> 125:4 252:18 252:18</p> <p><b>hitting</b> 58:3</p> <p><b>hold</b> 7:7 63:16,18 65:9 216:15</p> <p><b>holding</b> 4:14 8:5</p> <p><b>holds</b> 216:17 247:17</p> <p><b>holes</b> 68:7</p> <p><b>holistically</b> 183:11</p> <p><b>holland</b> 158:21</p> <p><b>hollow</b> 101:18</p> <p><b>home</b> 57:21</p> <p><b>honda</b> 19:7</p> <p><b>honest</b> 86:17 91:22 92:7</p> <p><b>honestly</b> 252:17</p> <p><b>honor</b> 96:1</p> <p><b>honorable</b> 125:16</p> <p><b>honoring</b> 151:20</p> <p><b>hood</b> 152:4 153:22</p> <p><b>hop</b> 88:15</p> <p><b>hope</b> 60:9 215:9 235:16</p> <p><b>hopeful</b> 70:17</p> <p><b>hopefully</b> 124:5 181:4</p> <p><b>hoping</b> 94:14</p> <p><b>horizon</b> 232:9 244:19</p> <p><b>horrible</b> 109:17</p>	<p><b>horse</b> 49:6 131:2 131:21 136:6 197:6 233:4 257:2</p> <p><b>host</b> 198:16</p> <p><b>hours</b> 165:2 213:2 215:7</p> <p><b>house</b> 50:16</p> <p><b>housekeeping</b> 15:11</p> <p><b>huge</b> 86:13 193:16 212:5 242:3</p> <p><b>hugely</b> 170:9 177:19 181:8 182:1</p> <p><b>huh</b> 75:3</p> <p><b>human</b> 6:22 23:19 41:16,22 42:2,12 98:2 170:19 176:16 177:2,2,8 179:6 181:13 189:17,22 190:5 216:3 219:5,8 220:19 221:7 222:11 249:1 250:6 254:10</p> <p><b>humans</b> 189:16,21 190:1,7</p> <p><b>humility</b> 226:16 252:21 253:15</p> <p><b>hundreds</b> 5:14 110:12 220:18</p> <p><b>husch</b> 57:1</p> <p><b>hybrid</b> 187:18</p> <p><b>hypodermic</b> 204:22 209:14</p> <p><b>hypothetical</b> 217:20</p> <p><b>hyundai</b> 19:7</p>	<p><b>ice</b> 84:15</p> <p><b>idea</b> 45:22 94:10 144:13 147:5 155:19 161:6 201:4 241:6 254:15 256:9 257:5</p> <p><b>ideally</b> 99:17</p> <p><b>ideas</b> 11:22 14:8 193:2 225:1 226:3 226:6 250:15</p> <p><b>identification</b> 170:14</p> <p><b>identified</b> 11:8 54:16 86:6 221:1</p> <p><b>identifies</b> 8:1 10:9 10:16 215:14</p> <p><b>identify</b> 18:9 25:2 54:13 56:3 68:19 123:4 141:13,18 172:6 183:8 188:7 221:3</p> <p><b>identifying</b> 163:6 164:19</p> <p><b>ig</b> 135:8</p> <p><b>ii</b> 3:5,8</p> <p><b>iii</b> 3:9</p> <p><b>illustrated</b> 225:19</p> <p><b>illustrates</b> 226:9 240:19</p> <p><b>image</b> 230:20</p> <p><b>immediate</b> 29:6 127:13,14 226:20</p> <p><b>immediately</b> 76:21</p> <p><b>imminent</b> 3:9 11:10 46:9 47:5 58:20 124:14 125:11 127:11,14 128:17,17 130:12 130:19 131:4 132:5,10,16,22 133:2,6,18 134:15 134:19 136:13</p>	<p>137:16 138:10,18 139:9,15 141:9 142:1,3,4,5 145:3 145:21 146:4,7,12 147:7,21 148:8,15 149:1,5,6 150:3,8 153:21 154:5,10 154:13 155:2,7,15 156:14,15</p> <p><b>immunity</b> 92:17</p> <p><b>immunized</b> 90:21</p> <p><b>impact</b> 31:20 55:12 232:10</p> <p><b>impacting</b> 38:18 96:10</p> <p><b>impacts</b> 114:9</p> <p><b>impair</b> 21:14</p> <p><b>impediment</b> 52:15 109:5</p> <p><b>impediments</b> 112:2</p> <p><b>implement</b> 14:20 16:6 34:2 105:14 213:7</p> <p><b>implementation</b> 4:10 28:2 122:4 140:11 244:16 247:20</p> <p><b>implemented</b> 64:4 106:8 213:11</p> <p><b>implementing</b> 52:16</p> <p><b>implements</b> 121:19</p> <p><b>implications</b> 190:19 191:3 209:9</p> <p><b>implicitly</b> 164:14</p> <p><b>importance</b> 202:16 226:10</p> <p><b>important</b> 12:1 17:8,17 19:14,17 19:20 24:2 27:3 28:21 41:20 42:4</p>
	<p><b>i</b></p>		
	<p><b>i.e.</b> 217:10</p> <p><b>ian</b> 2:13 227:10 234:20 250:11 252:17 255:17</p>		

44:14,22 46:19 47:2 70:15 75:21 85:15,16 105:2 119:6 120:16 153:2 154:14 156:12 160:13 170:9,12 177:19 181:8 182:1 197:13 198:18 205:11,22 207:13 224:18,21 225:8,8 236:20 238:8 249:8,14 251:20 252:5 253:8,15,16 257:15 <b>impose</b> 229:22 <b>impossible</b> 173:9 174:18,22 <b>imprimatur</b> 108:7 <b>improve</b> 11:21 50:2 164:15 <b>improved</b> 152:16 216:5 <b>improvement</b> 83:18 202:21 <b>improvements</b> 13:13 54:1 151:11 <b>improving</b> 13:3 38:21 181:4 <b>inability</b> 131:17 <b>inadequate</b> 64:10 <b>inadvertently</b> 29:3 <b>inanimate</b> 254:10 <b>incentive</b> 115:4 <b>incentives</b> 153:9 254:15 <b>inception</b> 166:12 <b>incident</b> 104:11 120:20 <b>incidents</b> 103:12 104:5,9 <b>include</b> 11:9 19:7 22:6,8,17 30:2	31:12 36:11 40:21 62:3 88:5 89:14 140:14 187:1 189:17 227:1 242:4 <b>included</b> 21:16 87:22 88:2,18,20 226:7 <b>includes</b> 22:4 23:2 34:8 36:14 44:3 <b>including</b> 10:1,8 10:10 23:4 35:17 41:7 58:19 95:4 95:21,22 97:5 98:10 118:4 126:15 127:7 159:4 190:20 200:15 210:11 241:14 <b>inclusion</b> 26:19 <b>inclusive</b> 89:22 <b>incompatible</b> 20:17 <b>inconsistencies</b> 20:9 <b>inconsistency</b> 52:1 <b>inconsistent</b> 13:14 22:13 26:17 111:17 134:11 135:22 <b>incorporate</b> 180:2 <b>incorporated</b> 41:9 43:6 61:3 <b>incorporating</b> 42:6 <b>increase</b> 21:10 152:19 239:10 <b>increasing</b> 38:21 <b>increasingly</b> 9:3 <b>incredibly</b> 85:16 119:6 <b>incrementally</b> 200:11	<b>independent</b> 35:12 126:6 <b>index</b> 55:12 59:9 117:10 185:19 <b>indicated</b> 16:10 20:4 87:6 94:1 <b>indicating</b> 35:17 <b>individual</b> 8:6 10:4 21:6 137:17 138:1 194:22 247:6,10 <b>individualized</b> 247:15 <b>individuals</b> 13:17 <b>indoctrinated</b> 30:8 <b>industries</b> 209:5 <b>industry</b> 7:15 11:15 12:2,6 29:2 30:6 39:13 44:3 44:18 61:16 67:9 67:16,20,22 68:14 68:15 69:5,13,15 79:9,14 81:6 84:2 84:16 85:17 91:8 96:3 103:7 115:4 115:16 128:8,10 140:8 143:3,7,14 146:21 151:1,10 151:18 153:14 156:5 167:17 169:15 172:5 177:18 181:1,9 201:18 204:12 208:19 209:4,6,8 212:19 213:6,12 218:21 234:17 235:4,5,8 243:9 243:11,18 244:15 253:6 <b>industry's</b> 164:5 <b>inevitable</b> 207:10 <b>influence</b> 172:8	<b>influenced</b> 66:18 <b>inform</b> 244:16 <b>informal</b> 244:14 <b>information</b> 13:11 26:20,21 37:8 40:17 43:12,17 46:16 47:1 67:16 69:22 71:9,11,13 71:15 81:2 85:11 86:10,14,19,22 87:1,9 91:7,14 100:21 101:14 103:13,16,18,20 104:6,14 105:1,7 106:3 107:5 115:21 116:2 156:6 192:16 196:5 197:10,19 <b>informed</b> 30:9 34:22 193:17 <b>informing</b> 35:10 <b>infrastructure</b> 48:6 62:3 178:12 <b>infertuous</b> 233:19 <b>inherent</b> 108:5 112:16 <b>inherently</b> 170:14 171:10 <b>initial</b> 69:11 104:17 114:13 129:22 <b>initially</b> 69:7 237:18 <b>initiated</b> 169:12 <b>initiative</b> 140:6 <b>injured</b> 219:7 <b>injuries</b> 152:20 <b>innovating</b> 12:2 <b>innovation</b> 19:15 27:2 31:22 39:4 52:2 58:19 81:20 81:20 177:17,18 190:16 211:1,20
---	---	--	---

218:4 224:20 242:8 245:1 <b>innovations</b> 27:22 38:18 107:15 119:16 <b>innovative</b> 50:5 81:21 <b>innovator</b> 67:10 <b>innovators</b> 39:21 119:15 201:1 211:21 <b>input</b> 11:18 13:7 14:4,20 39:16 40:2 123:17 257:11 <b>inputs</b> 233:18 <b>inquires</b> 105:22 <b>insecurity</b> 201:9 <b>inside</b> 93:13 133:21 <b>inspired</b> 12:3 <b>install</b> 216:16 243:5 <b>installation</b> 187:10 <b>installing</b> 256:18 <b>instance</b> 87:14 <b>instances</b> 56:3 79:5 101:17 171:8 <b>institute</b> 45:10,13 62:1 217:18 227:11 <b>institutional</b> 143:22 147:20 <b>institutions</b> 169:14 <b>instructive</b> 65:22 97:21 <b>instrument</b> 245:13 <b>instrumentation</b> 30:3 <b>insufficient</b> 196:10	<b>insurance</b> 9:18 10:12 17:5,10,12 17:14 18:1,12,14 21:1 36:17 44:4 51:11 96:7,10 117:1,3 121:5,8 181:1 227:13,18 235:7 243:11,13 <b>intangible</b> 188:13 <b>integrate</b> 110:13 <b>integration</b> 41:4 163:17 <b>intellectual</b> 81:1,2 <b>intelligence</b> 104:1 181:8,17 230:8 233:7,21 234:6 247:12 256:11 257:4 <b>intelligent</b> 158:15 <b>intend</b> 88:4 <b>intended</b> 21:17 61:2 167:10 183:7 183:9 <b>intends</b> 36:9 41:12 <b>intensely</b> 53:11 <b>intent</b> 19:10 22:20 42:16 43:6 167:8 <b>intention</b> 61:13 88:9 89:22 92:3 <b>intentional</b> 55:9 91:2,21 225:11 251:11 <b>interact</b> 10:11 166:19 178:5,9 <b>interacting</b> 178:3 <b>interaction</b> 109:1 170:18 177:7 178:22 189:18 <b>interest</b> 23:7 39:21 96:17 157:17 <b>interested</b> 53:12 69:20 70:5,7 83:6 83:11 160:8 168:4	213:20 226:8 250:13 259:12 260:9 <b>interesting</b> 139:18 145:2 157:6 165:1 180:10 182:6 191:12 198:5 207:21 247:11 256:3,9 257:5,6 <b>interestingly</b> 71:8 <b>interests</b> 40:5 189:17 <b>interface</b> 248:3 <b>interfering</b> 203:2 <b>interim</b> 31:17 75:16 <b>internal</b> 69:6 <b>internally</b> 110:19 <b>international</b> 19:5 95:18 170:6,7 186:10 <b>internationally</b> 211:19 <b>internet</b> 94:21 95:15 190:12 227:3 <b>interpret</b> 188:6 230:11 <b>interpretation</b> 229:10 <b>interpreted</b> 14:1 229:7 <b>interrupt</b> 48:16 <b>intersection</b> 227:4 227:16 <b>intervene</b> 106:4 219:19 220:4 <b>intervening</b> 106:2 <b>intervention</b> 219:11 <b>intestine</b> 133:22 <b>introduce</b> 4:19 15:1 61:20 93:4 94:18 125:15	188:19 202:22 226:19 240:13,14 244:20 <b>introduced</b> 11:2 63:7 100:9 <b>introducing</b> 157:21 202:15 <b>introduction</b> 97:13 <b>introductory</b> 103:2 <b>invasive</b> 210:5 <b>investigated</b> 154:13 <b>investigating</b> 153:20 <b>investigation</b> 154:1 <b>investigations</b> 107:3 <b>investment</b> 19:16 62:4 96:13 110:18 111:3 151:13 152:15 153:2 <b>inviting</b> 198:4 <b>invoked</b> 134:5 <b>involve</b> 39:20 <b>involved</b> 49:9,10 84:19 124:9 152:7 209:1 251:15 <b>involvement</b> 39:20 <b>involves</b> 193:18 <b>involving</b> 127:2 159:3 <b>ipads</b> 186:15 <b>iphone</b> 207:14 209:3 <b>iphones</b> 186:15 207:14 <b>irritation</b> 186:18 <b>isn't</b> 26:22 86:14 114:4 135:3,10 142:3 153:18 154:7 219:17
--	---	---	--

233:11 <b>isolated</b> 138:6 <b>issuance</b> 23:5 <b>issue</b> 23:16 24:8 25:13 26:6 27:4 44:22 46:2 53:19 73:22 77:11 84:21 84:21 85:1 87:17 96:17 112:14 129:21 138:1 145:6 151:4 152:22 159:19 170:13,22 183:20 183:20 187:5,7 191:16 193:8,10 193:21 197:12 206:22 216:8 241:4 242:14 250:19 251:2,4 <b>issued</b> 7:10 202:9 <b>issues</b> 18:9,12,16 20:6,9,21 22:17 23:5 36:14 44:4 45:15,21 46:12,19 47:5 53:15 56:15 62:2,3 63:6 67:19 68:2,4,18,19 75:2 84:1 90:20,21 94:16 95:4,5 96:10,18 99:7 123:18 126:11,15 126:17 131:16 144:2 154:12,17 155:17 159:3,5 167:11 171:1 177:17 183:8 190:7 191:13 193:9 194:8,13 197:14,20 199:12 206:19 207:15,15 213:11 216:19 220:17 227:3 244:19 253:14	<b>issuing</b> 102:11 187:10 <b>item</b> 188:17 <b>items</b> 15:12 <b>iteration</b> 8:12 107:10 <b>iterative</b> 28:5 87:8 101:12 102:13 105:6 218:5 244:13 <b>it'd</b> 24:21 26:2 <b>it'll</b> 44:17 <b>it's</b> 5:5 6:9 12:18 14:11 19:14 20:5 24:18 27:3 28:21 33:3 42:15 47:4,5 47:10 49:9 54:20 56:11 60:1,2,8 61:8,12,13 65:12 65:13 68:10 70:6 70:9,15,16 71:9 72:4,5,6 75:21 76:1,21 77:9 80:17 81:9 83:1 85:13 86:22 89:2 89:7 90:4,17 91:6 91:10,21 96:15 101:2 102:4 103:21 104:2 108:10 112:6,19 112:20 113:15,16 113:20,22 114:2,8 114:10,16,18 115:7,16 116:12 117:6,22 119:7 121:3 122:9,22 124:15 127:21 128:12,22 130:16 130:17 132:16 133:6 134:5,6 136:15 137:22 138:5,14,22 140:7 141:18 144:19 145:6 152:11	156:6,12 157:10 161:9 163:13 171:3,21 172:8 173:8 175:22 176:1,1,8,10,16 177:4,14 179:2,2 179:15 180:10 181:9,10 182:1,5 183:1,2,9,17,21 185:10,15 192:6 193:16 198:19,20 199:5,12,20 200:4 200:15,19 203:15 207:13,17 208:13 209:2 210:4,20 211:9 217:10 218:1 219:12,16 219:18,19 222:9 223:22 224:15,18 224:21 225:8,18 226:11,12 228:20 230:1,21 231:2 233:20 236:3,13 236:14 237:2 242:15,15 243:22 244:6 246:19 251:20 252:4 257:5 258:2 <b>iv</b> 3:10 14:5 58:12 <b>i'd</b> 4:18 16:17 61:9,20 65:19 69:20 70:7 73:6 73:17 93:10 94:17 130:11,17 136:22 140:5 141:2 142:15 165:13 169:10 198:2 226:1,7 228:12 235:4 236:7 257:5 <b>i'll</b> 17:3 28:7 58:14 92:20 97:19 120:12 125:14 130:15 131:19 134:16 135:12	136:20 170:22 174:14 181:6 205:5 229:18 235:1 248:10 257:16 <b>i'm</b> 4:8,9,11 16:9 17:9 22:15 32:17 38:12,15 45:12 53:3 57:4 70:5 75:17 76:12 79:11 80:5,12,14 85:20 88:3 90:13 93:19 93:20 94:14 103:3 105:9,10 110:22 125:9 128:13 130:6 132:8 139:10 140:13 146:1,6 148:2 155:4 157:11,19 157:21 162:16 172:1 175:2 177:12 182:8 189:6 190:2,5 194:15 196:14 211:7 216:6 218:17 225:15 229:19 233:1 235:3 240:11 241:10 242:3 243:6,7 244:5 249:15 250:18 252:12 257:6 <b>i've</b> 47:20 103:17 108:2 117:10 122:18 128:1 130:6 164:13 190:6 192:10 198:4 199:13 209:16 211:16 213:19 232:17 233:9
---	---	--	---

<b>j</b>	<b>jurisdictions</b> 42:5 44:1	104:20 107:7 112:11,12 128:13	141:12,14,15 142:7,8,10,11,15
<b>j</b> 113:11	<b>justification</b> 74:6 146:13	129:4,8 130:19 152:1 154:15	143:8,17,18 144:12 146:6,8,8
<b>jackie</b> 2:4 158:2,3 158:11 160:18 173:13 175:3 178:14	<b>justify</b> 217:12	171:14 176:3,4 197:20 205:13	146:15,20 147:7,9 147:17 148:2
<b>jackie's</b> 167:16	<b>k</b>	212:10 213:18 216:8 220:2	151:7,19 152:4,19 153:7,13 154:4,8
<b>jeopardize</b> 36:5	<b>kane</b> 2:22 45:9,12 126:8,10 130:10	221:13 222:9 226:3,10 232:9	154:11,17,21 155:6,16,19,19,21
<b>jersey</b> 63:5	134:20 141:6 143:17 146:6	233:22 236:12 239:21 249:13	156:1 157:7 162:16 163:18
<b>joan</b> 38:8 57:12	154:4 197:5	252:18 255:2	167:16 169:9 171:20 181:22
<b>job</b> 128:11 143:21 163:7 232:5	<b>karol</b> 2:4 17:4,7,8 96:4,5 103:1,3 104:22 110:8	<b>kinds</b> 88:7 105:22 140:14 144:1	183:1,4,13,15 184:10,12 188:22
<b>join</b> 57:6 58:6 169:20	111:5 116:9 117:5 120:19	168:7 239:10	191:22 192:9,15 192:17 193:2
<b>joined</b> 14:17	<b>keep</b> 34:7 60:10 65:11 75:21 92:21	<b>king</b> 158:3 <b>knew</b> 100:15 167:9,14	194:1,2,9,20 195:22 196:2,8
<b>joining</b> 4:13 16:4 62:17,22 95:2,7 127:5	172:14 193:16 194:8 203:21	<b>knight</b> 158:21 <b>know</b> 4:4 5:16 6:1	197:13,20 201:11 205:14 206:13,14
<b>joke</b> 86:2	204:20 212:6 225:12 242:13 253:17	6:4,6 13:7 16:19 45:18,21 46:8,15	206:22 207:12,13 207:20 208:6
<b>joking</b> 225:18	<b>keeping</b> 81:13 253:8	48:2,12 60:16 64:11 65:6,8,12	210:2,8,13,19 211:3 212:11,21
<b>jones</b> 2:2 126:22 127:5 132:8 137:4 141:2 145:2 148:7 149:7,11 156:8	<b>keeps</b> 223:1 <b>kept</b> 212:17	65:14 67:20 69:3 72:22 73:2 76:6 76:21 77:7 78:13	213:6,9,22 214:5 214:9,9 215:22
<b>josh</b> 15:1 258:14	<b>kerry</b> 2:20 124:16 124:16 125:7,8 127:19 128:16	83:21 84:15 85:16 86:11,12,16,16,18 86:19,20 87:19	216:8,13 217:10 217:14 219:1,4,17
<b>joshua</b> 2:10	<b>key</b> 29:6 31:3 61:5 103:16 116:16 146:7	91:10,14,16,20 93:14 107:21 109:5,8,21,22	220:8,15,15 221:5 221:6,12,17,18,21
<b>journey</b> 43:2	<b>kia</b> 19:7 <b>kick</b> 113:11	112:10,19 113:19 113:22 114:13 117:6,7 120:9,21	221:22 222:2,2,5 224:14,15 225:4 225:18 226:16,17
<b>jud</b> 252:7	<b>kicked</b> 213:9 <b>kidding</b> 184:9	121:3,11,12 123:4 123:12 128:16 129:10,16 130:10	230:19,21 233:14 234:14,14 235:2 235:12 237:18
<b>judge</b> 122:13 148:13 149:16,19	<b>kids</b> 238:14,20 239:1,14	130:16,22 131:8,9 131:22 132:4,15 134:20,22 135:17	240:9 241:4 242:6 242:15,16,21 243:1,3,5,5,22
<b>judicial</b> 111:11 132:19 133:7 252:7	<b>killed</b> 220:17 222:15	136:3,13 141:1,6	244:12 246:11 247:22 249:11,18 249:20 250:5
<b>juggled</b> 155:18	<b>kind</b> 61:7 70:4 78:22 82:16 83:15 86:5,8 98:5		
<b>jumble</b> 230:21,22			
<b>jump</b> 76:13 198:1 235:1			
<b>jumping</b> 191:6			
<b>jurisdiction</b> 42:2 43:18 51:9 145:15 146:9			
<b>jurisdictional</b> 23:6 40:1,18 51:14			



<p>252:22 253:2,18                  255:15,21 256:15                  256:16,20 257:1,3                  258:3,9  <b>knowing</b> 174:1                  252:3  <b>knowledge</b> 143:22                  147:20 197:17                  252:4 259:7  <b>known</b> 38:15                  45:11 125:13                  166:18 187:15                  207:11  <b>knows</b> 83:6 169:9  <b>kolodrieg</b> 124:18                  124:18,19,20,20                  125:8,9 130:8                  132:7 134:13                  136:20 139:13                  145:9 148:18                  149:10 150:13                  153:15 154:2                  156:17  <b>kolodriej</b> 2:20  <b>krayem</b> 2:5                  158:19 162:20                  167:7 170:3 172:1                  176:14 181:6                  183:2  <b>kurdock</b> 2:16 38:6                  57:12 62:20 70:12                  77:6 78:19 79:11                  79:15,19,22 86:1                  91:17</p>	<p><b>lahood</b> 154:1  <b>laid</b> 14:21  <b>land</b> 62:1 123:15  <b>landed</b> 131:8  <b>lane</b> 55:18  <b>language</b> 23:2                  167:21 255:20  <b>large</b> 97:16 99:9                  119:9 165:6                  235:20  <b>largely</b> 66:18  <b>larger</b> 99:5 108:4  <b>largest</b> 119:16                  120:9  <b>laser</b> 137:22  <b>lasted</b> 215:6,8  <b>lasting</b> 14:12  <b>late</b> 222:14  <b>lately</b> 203:17  <b>latent</b> 68:5,19  <b>laughing</b> 119:12  <b>lautenberg</b> 63:4  <b>law</b> 10:10 21:1                  24:10,17 36:16                  95:13,15,16,20                  106:22 115:5,5                  122:20 125:22                  127:1 139:19                  148:13 149:7,11                  163:9 165:13                  203:8,10,11,20                  205:8 208:2                  227:16,22 228:3                  247:4 253:3</p>	<p>207:20 208:4,17  <b>lawsuits</b> 235:18  <b>lay</b> 7:17  <b>lays</b> 12:22  <b>lead</b> 21:8 27:19                  28:17 37:2 41:3                  52:17 55:15 119:5                  190:2 207:17                  217:19 220:2                  241:18  <b>leader</b> 4:9 96:13                  158:15 258:13  <b>leaders</b> 126:3  <b>leadership</b> 3:4                  6:11 19:18 28:12                  50:22 53:19 97:17                  128:7,12 129:7                  152:9 181:1  <b>leading</b> 7:22                  243:22  <b>leads</b> 219:5  <b>lean</b> 108:18  <b>leaning</b> 182:14  <b>leap</b> 201:13  <b>learn</b> 128:3 218:3                  249:14  <b>learned</b> 72:10                  90:1 198:5 209:18                  253:12  <b>learning</b> 84:10                  87:18 181:7,16                  201:14 218:3                  226:18 247:12                  249:11,21  <b>leave</b> 184:17 239:7                  253:20 258:15  <b>leaves</b> 34:20  <b>leaving</b> 119:21  <b>led</b> 126:5 135:13  <b>leeway</b> 51:12 78:1                  78:12  <b>left</b> 15:17 109:17                  239:11</p>	<p><b>leg</b> 138:5  <b>legal</b> 21:18 24:15                  62:12,12,15 115:3                  115:7 245:9 247:3                  247:18  <b>legality</b> 24:6 25:5  <b>legally</b> 99:22  <b>legislating</b> 51:17  <b>legislation</b> 96:12                  118:14 133:10                  208:9 229:9  <b>legislative</b> 40:21                  50:20 62:17 63:1                  63:3 94:22 166:13                  189:2,6  <b>legislatures</b> 41:3                  57:2 208:7  <b>lehner</b> 2:8 38:1,4  <b>leigh</b> 2:6 27:11,12                  38:5  <b>length</b> 77:10                  235:19 241:12  <b>lessons</b> 90:1  <b>letter</b> 26:7,9,11,15                  26:21 27:5 70:3                  71:6,8 74:17,19                  75:9 83:8,13 84:7                  85:3 86:6 88:19                  106:21 123:3  <b>letters</b> 105:22                  106:16  <b>let's</b> 59:22 91:13                  91:13,14 134:13                  135:12 160:18                  162:17 168:5,16                  175:1 185:11                  188:18 221:6                  225:14 243:5                  249:16  <b>level</b> 19:16 20:3                  22:15 25:11 30:17                  37:3,3 39:22 40:9                  40:12 41:2 42:17                  53:18 61:15 76:2</p>
<b>l</b>	<p><b>lab</b> 11:13 189:16                  189:22  <b>label</b> 82:2  <b>lack</b> 34:17 47:14                  115:2 131:12,14                  131:14  <b>lacking</b> 139:11  <b>lagged</b> 146:17</p>	<p><b>laws</b> 8:22 9:17                  13:8,14 17:22                  20:17 23:16,18,21                  24:12 25:2,10                  28:14 29:18 30:16                  33:14 37:20 42:9                  45:19 46:4,6                  52:14 53:14 54:6                  54:10,11,15,17                  55:6,8 140:16</p>	

76:3,3 85:4,6 94:9 96:20 110:16 111:2,9 146:18 155:15 166:8,17 168:2 169:18 170:6 173:21 177:4,11 178:8 180:20 181:2 184:16,19,21 211:1 228:22 234:13 243:14 248:5 254:16	<b>lifetime</b> 100:7 <b>lift</b> 140:5 <b>lifted</b> 151:22 <b>light</b> 73:18,21 125:4 140:17 <b>likelihood</b> 116:13 121:15 <b>likewise</b> 106:10 118:15 <b>limb</b> 187:3 210:7 <b>limit</b> 33:9 161:16 161:17 166:3 <b>limitation</b> 90:18 184:14 <b>limitations</b> 36:21 86:21 184:20 246:8 <b>limited</b> 59:8 101:9 112:4 142:18 159:19 166:21 174:6 178:11 179:3 210:10 212:16 241:17,17 <b>line</b> 63:9 66:9 68:8 85:9,14 160:5 184:3 210:20 212:16 <b>lines</b> 23:22 24:16 40:1 210:10 <b>lisa</b> 260:3,14 <b>list</b> 12:17 92:6 208:8 <b>listed</b> 69:3 250:14 <b>listen</b> 16:7 80:17 <b>listening</b> 3:5 13:16 150:18 173:17 257:14 <b>lists</b> 190:15 <b>literally</b> 110:12 199:16 201:14 <b>litigating</b> 205:18 <b>litigation</b> 125:10 127:4 158:9	<b>litigator</b> 62:16 <b>little</b> 58:14 64:7 80:3 81:18 87:3 87:11 93:8,11 107:1 112:21 116:3,21 124:7,9 125:3 142:16 168:13 170:11 183:14 203:12 204:3,3 207:17 210:12 215:12 225:10 226:15 229:20 231:10 234:11 251:10 257:10 258:10 <b>live</b> 16:2 82:9 152:6 163:3 200:3 209:22 210:10 211:20 212:2 215:19 217:15 219:4,5 <b>lives</b> 5:9,15 12:16 52:4 70:19,21 71:1 73:3,3 171:9 196:3 207:10 215:20 218:17,20 219:6 221:7,12,20 <b>local</b> 7:16 23:18 25:11 51:17,22 52:1,4 <b>localities</b> 23:9 <b>locations</b> 55:20 <b>locust</b> 118:19 <b>logic</b> 233:17 <b>long</b> 33:7 49:2 77:9 84:16 102:15 102:19 114:11 126:11 147:1 192:2 238:22 241:18 <b>longer</b> 159:22 177:8 194:4 215:7 243:16 258:10	<b>longstanding</b> 30:5 64:20 <b>look</b> 14:18 16:7 47:17 48:4 52:10 52:20 72:17 83:13 107:1 121:12,13 121:15 129:2,5 133:14 136:7 138:8 140:1,2 141:8 142:1 143:19 147:11,18 159:8 163:11,20 164:1 173:7,18 175:18,18 176:19 177:6 185:20 187:4 194:21 205:22 206:1,22 207:3 212:8 216:18,19 219:15 219:21 220:9 223:22 232:14 256:17 258:17 <b>looked</b> 88:21 131:7 192:10 <b>looking</b> 12:10 47:22 48:14 76:7 80:7,9 88:17,20 89:19 111:13 115:18 131:4 134:21 140:16 141:12,22 146:1 147:7,15 156:1 157:19 161:7 162:1 170:16 175:17 181:22 206:5,6,6 212:10 218:16 219:10 220:15,16 230:13 238:4 <b>looks</b> 186:3 219:12 230:10 245:2,10 <b>loop</b> 233:19
--	---	---	--

<p><b>loosening</b> 82:19  <b>lose</b> 121:16 175:22  <b>losing</b> 215:20  219:6  <b>loss</b> 135:20 186:18  244:3  <b>lost</b> 5:18,19 12:14  70:21 186:20  <b>lot</b> 30:1 46:20  56:13 68:20 72:1  77:19 84:9 85:7  86:1 98:20 99:7  115:15 117:22  131:20 140:21  142:21 143:14  156:9 159:16  164:13 166:20  167:12,20 182:14  191:13 194:21  195:20 197:17  198:5 199:11  200:5,15 201:13  203:9,13,16 204:4  204:13,15 210:18  212:20 216:2,10  218:6,9 221:19,22  224:17 225:1  230:4,4 231:5  232:11 241:9  250:1 253:3,12,14  256:20  <b>lots</b> 85:18 99:20  157:6 160:17  238:14  <b>love</b> 117:3 170:1  <b>low</b> 55:17 68:9  222:14  <b>lower</b> 55:21 76:8  98:17,17  <b>lowest</b> 101:21  <b>lucky</b> 109:22  <b>lunch</b> 16:1 57:22</p>	<p style="text-align: center;"><b>m</b></p> <p><b>mac</b> 62:19  <b>machine</b> 113:3  181:7,16 210:15  247:12 256:12  <b>magic</b> 230:13  <b>magical</b> 153:11  <b>maintain</b> 222:18  222:22  <b>maintains</b> 21:3  <b>maintenance</b> 25:9  66:13 192:18  <b>major</b> 132:21  163:1  <b>makers</b> 205:16  <b>making</b> 26:3 36:4  37:5 41:3,4 64:12  74:5 110:18,19  114:11,14 122:11  123:12,17 124:10  128:3 138:19  153:12 155:9  173:1 176:21  179:5,6 181:4  201:15 210:9  212:11 247:15  <b>male</b> 237:20 238:2  <b>mallrats</b> 230:13  <b>manage</b> 113:16  168:9 177:17  182:1 222:22  <b>managed</b> 179:21  <b>management</b>  68:22 69:10 96:11  <b>management's</b>  84:22  <b>manager</b> 19:3  53:3  <b>managing</b> 62:18  <b>mandate</b> 26:15  51:3 69:16 150:16  <b>mandated</b> 75:7  187:16</p>	<p><b>mandating</b> 74:16  75:10 85:2 106:3  187:10  <b>mandatory</b> 70:10  71:7 196:18  <b>maneuvers</b> 54:19  <b>manifest</b> 68:5  <b>manner</b> 11:21  <b>manufacture</b>  27:17  <b>manufactured</b>  202:11  <b>manufacturer</b>  61:2 64:15 71:10  71:14,16 72:12  78:8 89:21 123:10  132:12,21 133:12  136:1,2 137:20  138:5 150:16  220:19,20 222:17  <b>manufacturers</b>  13:22 18:6 19:5  21:3,9 22:6,18,21  23:8 25:17,21  31:9,13 35:7 37:7  43:11 46:15 71:4  77:22 78:12 80:20  89:13,18 90:10  99:6 127:12  159:16 160:1,15  165:15 168:10  186:16 188:2  195:4,6 196:21  235:14  <b>manufacturer's</b>  27:15 63:13  160:11  <b>manufacturing</b>  21:19 61:3,16  78:22 82:6 92:11  <b>mapped</b> 122:15  <b>marc</b> 2:14 61:21  63:22 65:18 70:16  73:12 77:14 80:15</p>	<p>83:19 90:12  198:11  <b>marc's</b> 61:21  <b>mark</b> 2:3 4:19  <b>market</b> 3:8 17:14  21:5 35:11 106:3  114:20,21 115:2  115:14 118:3,8  133:5 138:17  139:4,6 150:4  196:2 204:3,4  211:9 217:11  222:21  <b>marketplace</b>  102:12 108:12  161:11  <b>market's</b> 79:3  <b>martin</b> 2:12 52:22  53:2,3  <b>mason</b> 190:11  <b>massive</b> 22:11  65:9  <b>material</b> 121:14  <b>math</b> 218:12  <b>matrix</b> 66:8  <b>matter</b> 22:3 31:18  50:19 51:18 63:10  64:2,5 116:20  <b>matters</b> 67:21  91:4,4,19,22  127:2 139:22  227:15 255:1  <b>maximize</b> 53:17  <b>mayer</b> 127:1,5  <b>mc</b> 224:2  <b>mean</b> 60:20 70:1  75:17 78:20 84:17  88:4 89:21 90:19  98:21 110:1  130:21 140:13  141:17 142:2,4  151:14 154:5  171:17 182:19  193:10,12 202:2</p>
---	---	--	--

208:10 220:14,14 224:8 232:19,21 237:15 240:19 243:3 245:16 248:22 256:8,13 256:14,17 <b>meaningful</b> 244:9 251:12 <b>means</b> 8:11 12:4 67:7,11 81:13 82:13 100:6 105:8 116:2 155:6 162:4 164:16 171:5,8 174:4 177:10 196:15,19 200:13 231:13,22 253:8 <b>meant</b> 43:4 63:18 144:9 258:8 <b>measure</b> 100:6 180:16 203:1 251:12 254:11 <b>measured</b> 5:16 107:16 173:6 <b>measurement</b> 231:7 <b>measures</b> 23:4 33:17 73:16 145:14 155:11 <b>mechanical</b> 210:14 211:3 <b>mechanics</b> 66:13 <b>mechanism</b> 26:12 35:7 162:13 253:1 <b>media</b> 15:7 96:3 190:12 <b>medical</b> 191:1 199:11,17 202:6 204:16,18 209:2,7 213:15 <b>medications</b> 238:15 <b>medicines</b> 238:17 <b>meet</b> 21:12 22:19 31:10 69:11 91:11	160:12 161:4 169:8 172:10 176:3 177:11 237:2,19,22 <b>meeting</b> 1:4 13:5 14:3 16:2 21:10 49:18 58:8 67:18 109:11 111:19,20 122:10 147:17 162:12 178:16,20 237:11 257:18 258:19 <b>meetings</b> 4:14 14:19 <b>meets</b> 29:14 35:18 170:21 <b>mema</b> 27:11,15,16 28:5 29:5,16 31:14 32:3 38:1 <b>mema's</b> 31:3 32:13 <b>member</b> 53:5 181:10 258:12 <b>members</b> 17:12 19:6 25:16 27:19 31:15 40:20 53:9 92:20 102:9 226:20 <b>membership</b> 40:21 <b>members'</b> 39:17 44:8 <b>mention</b> 43:14 170:22 194:14 205:5 250:13 <b>mentioned</b> 127:10 128:19 131:11 134:17 136:21 137:15 138:21 148:19 181:15 197:15 202:2,14 207:20 208:19 228:19 237:16 251:10	<b>men's</b> 15:18 <b>mercatus</b> 190:11 227:9 232:4 <b>merino</b> 2:6 27:11 27:12,13 38:5 <b>messages</b> 56:18 <b>met</b> 48:19 239:1,2 239:5 <b>metaphysical</b> 199:18 <b>method</b> 37:10 247:4 <b>methodology</b> 176:5,8 <b>methods</b> 169:21 217:2 <b>metric</b> 168:15 <b>mic</b> 13:20 88:15 89:3 <b>michael</b> 2:10 189:14,15 191:5 195:16 205:20 208:18,21 212:3 <b>michelle</b> 2:14 15:2 258:16 <b>michigan</b> 118:14 <b>microphone</b> 89:4 125:4 186:2,5 223:22 <b>microphones</b> 16:14 <b>mid</b> 222:14 <b>middle</b> 104:21 227:10 <b>mightily</b> 199:8 <b>miles</b> 165:2 168:14 <b>military</b> 190:7 <b>million</b> 17:13 53:9 168:14 169:16 170:1 <b>millions</b> 49:1 <b>mimic</b> 105:20	<b>mind</b> 16:9 75:21 88:16 139:21 172:15 237:10 240:6 246:17 255:3 <b>mindful</b> 240:15 244:5 <b>minds</b> 106:18 <b>minimum</b> 48:17 48:19 49:6 208:14 <b>minor</b> 54:5 <b>minute</b> 125:7,14 185:17 <b>minutes</b> 14:12 59:3,4 88:10 <b>minutia</b> 254:17,20 <b>mirrors</b> 237:3 <b>misconduct</b> 91:3 92:2,3 <b>misdemeanors</b> 55:11 <b>misjudge</b> 7:2 <b>missing</b> 130:22 136:6 <b>mission</b> 5:8,10 50:3 <b>mistake</b> 36:4 92:7 251:11 <b>mistakes</b> 91:22 119:4 252:1 <b>misunderstandin...</b> 29:3 <b>mitigate</b> 55:5 127:13 <b>mix</b> 151:15 155:18 <b>mobile</b> 151:14 204:16,18 <b>mobility</b> 6:15 7:16 12:6 13:3 19:21 24:6 25:4 38:22 49:22 50:9 53:7 53:15 56:19 95:22 <b>mobilization</b> 32:19
--	---	---	--

<p><b>mode</b> 37:17 42:14 42:20</p> <p><b>model</b> 3:6 8:14 9:4 9:15 13:7 14:1 17:19 20:2,13,18 22:3,4 23:12,16 26:17 29:10 31:19 32:5,21 33:21 34:7 35:3 36:10 39:5 40:8 43:13 44:10 45:17 46:2 48:22 49:18 50:10 54:1,4 57:15 58:8 67:2 80:4,6 81:17 83:9 111:11,11 112:5 115:18 138:2,11 214:22 215:2,10 257:21</p> <p><b>models</b> 48:3</p> <p><b>moderated</b> 14:11 60:2 124:15 157:10 185:15 223:10</p> <p><b>moderator</b> 93:4</p> <p><b>moderators</b> 14:13 59:5</p> <p><b>modern</b> 10:15 14:4 57:19 58:13 136:9 142:6 144:13 172:19 257:22</p> <p><b>modes</b> 129:3 137:16 138:12 139:10,15</p> <p><b>modifications</b> 30:2,5,10 200:15 201:22</p> <p><b>modified</b> 29:22 32:6 192:9</p> <p><b>modify</b> 184:20</p> <p><b>moment</b> 12:1 14:22 76:13 85:20 88:15 152:5 153:11 198:9</p>	<p>255:11</p> <p><b>monday</b> 1:6</p> <p><b>money</b> 121:16</p> <p><b>monitor</b> 100:7 126:7 209:9,17 211:9</p> <p><b>monitoring</b> 30:13 122:5 239:20</p> <p><b>month</b> 8:9</p> <p><b>months</b> 52:21 107:17 175:13 249:19</p> <p><b>morning</b> 4:2,5,8 4:13,22 5:1,3,4 13:5 16:12 19:2 27:12 32:17 38:10 38:12 45:12 49:15 53:2 57:14,20 58:6,7,14 83:8 200:8 215:19 223:12 257:21</p> <p><b>morph</b> 180:11</p> <p><b>mortem</b> 120:22</p> <p><b>mother</b> 6:2</p> <p><b>motivated</b> 6:18</p> <p><b>motivating</b> 81:8</p> <p><b>motivations</b> 196:21</p> <p><b>motor</b> 8:18,20 9:18 10:3 17:2,22 19:5 23:13,20 27:14 29:14 34:18 35:21 38:14,19 51:4 56:15 61:5 64:12 65:22 73:19 74:13 88:5,18 133:16 148:6 186:22 248:14</p> <p><b>motorcycle</b> 88:18</p> <p><b>motorcycles</b> 87:22 88:8,20 89:14 90:3</p> <p><b>motoring</b> 53:11 248:11</p>	<p><b>motorist</b> 33:7</p> <p><b>motors</b> 27:10 57:9 131:10</p> <p><b>move</b> 11:12,16 48:15,15 49:8 52:4,6,17 75:12 98:9 142:11 151:17 174:5,8 175:14 177:16 184:15 215:11 235:3 251:13 256:2</p> <p><b>moved</b> 247:8</p> <p><b>movement</b> 129:6</p> <p><b>movie</b> 230:12</p> <p><b>moving</b> 75:2,5 80:16 98:17 135:14 156:6 193:14 213:10 223:2 232:2 235:21</p> <p><b>mullen</b> 103:1</p> <p><b>mullens</b> 2:18 93:5 93:14,18,19 100:13 104:16 105:1 111:4 112:9 117:9 123:19 124:1,4</p> <p><b>multi</b> 113:5 203:20 220:2 239:13 253:5</p> <p><b>multiple</b> 21:10,13 40:4 59:19 200:7 237:14 240:5,16</p> <p><b>multiplicitous</b> 123:9</p> <p><b>municipalities</b> 52:10</p> <p><b>mutual</b> 17:5,10 96:7</p>	<p><b>nail</b> 252:19</p> <p><b>name</b> 16:14,22 17:8 19:2 27:12 33:12 124:16 125:8 186:8</p> <p><b>names</b> 13:17 16:10 17:4 57:5</p> <p><b>name's</b> 53:2</p> <p><b>namic</b> 18:18 96:8 96:9</p> <p><b>namic's</b> 96:11,13</p> <p><b>naps</b> 60:11</p> <p><b>narrow</b> 167:22 173:4 174:6,13 237:9 243:3</p> <p><b>national</b> 4:20 5:13 9:9 13:1,10 17:9 19:19 20:16 28:7 53:19 56:6 57:1 95:17 96:7 126:19 154:19 189:3,7 208:7</p> <p><b>nationwide</b> 37:20</p> <p><b>nation's</b> 44:19</p> <p><b>nature</b> 110:5 251:2</p> <p><b>near</b> 20:8 78:7</p> <p><b>necessarily</b> 60:16 70:9 77:17 87:1 99:22 103:19 119:16,22 123:6 128:21 137:10 147:2 165:21 172:2 208:2 225:20</p> <p><b>necessary</b> 13:10 24:13 25:3 28:3 34:19 73:16 127:17 152:13 153:7 179:15 244:17</p> <p><b>need</b> 10:9 15:10 31:4 39:12 40:11 40:12 43:6,16</p>
		<b>n</b>	
		<b>n</b> 2:1 3:1,1 4:1	
		<b>naca</b> 189:10	

64:9 65:11 71:13 75:1 77:12 83:16 86:6,7 87:1 99:16 102:20 103:13 108:4,10,16 109:3 109:10 115:22 119:18 123:6 131:1,20 133:13 134:11 136:17 137:3,7 138:16 144:14 146:12 150:22 151:12 152:6 154:6,22 155:20 159:17 162:22 163:6,15 164:1,2,3,4,11,20 165:3 168:14 170:6 171:4 172:14 178:5 181:2 182:15 183:13,22 184:5 184:11 195:16 197:4,14,18 200:4 202:10 204:6 207:4 213:1 214:3 215:11,15 219:19 222:6,22 225:11 225:12 229:9 232:1 234:3 239:22 249:2,20 250:4 252:20 255:21 <b>needed</b> 37:13 84:5 132:19 153:21 <b>needing</b> 133:10 <b>needle</b> 205:1 209:2,14 <b>needs</b> 65:4 75:5 98:6 101:4 130:21 146:16 152:12 177:11 182:20 197:18 211:12 219:11 225:16 226:17 234:17	240:15 245:22 246:7 <b>negative</b> 245:4,4 <b>negotiating</b> 97:7 <b>negotiation</b> 100:21 228:8 <b>neighbors</b> 12:17 <b>neither</b> 259:8 260:7 <b>networks</b> 227:3 248:17 <b>nevada</b> 118:13 <b>never</b> 102:4,12 116:10 217:22 <b>nevertheless</b> 31:17 <b>new</b> 10:16,17 11:8 11:10 13:2 14:7 14:18 51:18 54:16 61:5 63:5 64:13 74:3 81:9,10 82:16,21 83:2 90:13 99:8,11 102:11,17 103:5 110:1 115:17,17 116:7 120:4 133:10,13 137:8 140:2,3,17 152:5 152:10 153:12,13 156:13 165:16 175:15 177:14 186:21 191:4 193:17,18 198:10 202:1,7,15,22 206:8 214:9 229:9 232:10 235:20 240:13,17 243:20 254:10 258:18 <b>newly</b> 231:14 233:3 <b>news</b> 220:16 <b>nhtsa</b> 1:5 3:4 5:8 6:4,18 11:4 15:5 17:15,19 18:2,8	18:15,17,21 19:12 19:17 20:14,20,20 21:20 24:3,22 25:7 26:1,7 27:4 29:5,7,11,13,17 31:17 32:3,9 33:1 33:8,14 34:10,22 35:1,15 36:3,6,18 36:19 37:5,7,11 37:19 38:9 39:9 39:15 40:6,13 41:12 42:5 43:10 43:11,13,16 44:21 45:6 49:12 50:22 51:16,19 54:4,8 55:1 56:16 60:3 64:9,19 65:1 70:13 73:13 75:4 76:1,7 77:3 83:22 84:8,12 85:10 89:18 90:9 93:12 95:3,7 99:3,14 104:7 105:13,20 106:1,22 110:19 116:4 125:1 126:20 127:8,12 127:16 128:2,6,22 129:5,16,20 133:15 139:14,16 139:20 140:1 142:17 143:7 145:20,22 146:3,5 146:15 148:10,11 148:16,20 151:7 152:9 153:19 157:11 158:4 159:8 171:12 177:15 184:22 185:16,20 198:4 200:13 204:7 208:4,13 214:21 215:2,14 218:11 223:11 229:16 233:7 234:19	237:17 242:9,15 244:13 249:22 251:16 253:16 258:2 <b>nhtsas</b> 20:11 <b>nhtsa's</b> 20:11 22:9 28:6,18 35:19 42:16 44:22 49:17 49:19 50:12 52:6 85:17 87:22 97:5 97:16 106:12 127:7 128:11 140:7 143:1 181:22 210:17 211:4 238:11 240:10 <b>nice</b> 100:22 <b>nicely</b> 215:15 <b>night</b> 117:5 <b>nimble</b> 7:21 10:19 200:5 203:13 <b>niskanen</b> 227:1 <b>nissan</b> 19:7 <b>non</b> 45:14 63:2 91:21,22 92:2 156:15 188:11 <b>noon</b> 15:19 <b>norma</b> 2:5 158:19 158:22 162:17,19 167:6 170:2 176:13 179:1 182:19 <b>normal</b> 51:14 181:9 <b>normally</b> 258:10 <b>notary</b> 259:1,17 <b>note</b> 25:20 26:7 97:19 137:14 145:2 <b>noted</b> 19:22 31:14 97:12 245:17 <b>notes</b> 41:6 <b>nother</b> 205:8
--	--	---	--

<p><b>notice</b> 132:12 156:11 196:11</p> <p><b>noticed</b> 224:2</p> <p><b>notices</b> 193:13</p> <p><b>notifies</b> 98:11,13</p> <p><b>notion</b> 10:22 74:3 79:8 101:2,7 108:17 109:2 113:5,21 118:22 120:8 180:8 228:14,17 230:7</p> <p><b>notions</b> 123:14</p> <p><b>notwithstanding</b> 112:2</p> <p><b>novel</b> 51:18</p> <p><b>november</b> 14:17 19:12 31:13</p> <p><b>ntia</b> 203:18</p> <p><b>number</b> 5:19 6:1,4 6:7,19,20 10:9 15:20 19:22 22:1 24:19 74:18 84:5 95:4 96:18 99:4 104:7 105:18 113:10 117:10 138:9 141:2 142:18 149:14 152:20 165:2,2,20 165:22 187:6 206:17 213:1,8 220:14 221:18 231:14,16 233:10 246:9</p> <p><b>numbers</b> 5:17 12:16 121:7 165:6 219:2</p> <p><b>nurses</b> 206:7</p> <p><b>nuts</b> 66:14</p>	<p><b>objected</b> 231:16 233:2</p> <p><b>objective</b> 13:15 20:14 180:21 228:20 231:7 233:12 235:2 236:3,8,13,22,22 237:2 238:6 239:3 240:10 254:8 256:5</p> <p><b>objectively</b> 173:5</p> <p><b>objectives</b> 24:5 240:5,8,14,16</p> <p><b>obligation</b> 98:17</p> <p><b>observation</b> 114:11</p> <p><b>observations</b> 224:11</p> <p><b>observed</b> 51:5</p> <p><b>obsessing</b> 217:20</p> <p><b>obstacles</b> 23:10</p> <p><b>obstreperous</b> 119:11</p> <p><b>obstructions</b> 55:22</p> <p><b>obtaining</b> 49:20</p> <p><b>obtuse</b> 86:13</p> <p><b>obviously</b> 89:20 94:2 96:15 198:17 226:4 237:21</p> <p><b>occasion</b> 60:19</p> <p><b>occasionally</b> 119:11,11</p> <p><b>occupant</b> 42:21</p> <p><b>occur</b> 141:3 180:4 192:13 195:3 223:4</p> <p><b>occurred</b> 72:14 217:3</p> <p><b>occurring</b> 144:11 219:13,14 242:8</p> <p><b>occurs</b> 18:7 137:20</p>	<p><b>oem</b> 19:6 130:1 172:10</p> <p><b>oems</b> 31:12 84:18 129:11,14 153:6 162:8 163:14 166:1 167:18</p> <p><b>offer</b> 56:6,10 57:14 63:11 65:13 73:10 88:12 162:20 172:10 189:19</p> <p><b>offered</b> 11:15 31:10 186:16</p> <p><b>offering</b> 65:9</p> <p><b>offers</b> 44:5 212:20</p> <p><b>office</b> 26:11 62:11 93:6,20</p> <p><b>officer</b> 259:2</p> <p><b>official</b> 258:1</p> <p><b>officials</b> 35:8,22 210:9 249:3</p> <p><b>oh</b> 4:11 111:5 124:1 239:14 252:8,8</p> <p><b>okay</b> 4:2 5:5 17:3 24:18 27:11 38:8 38:10 45:9 57:3 57:11,13,17 58:1 58:3 73:6 76:12 89:20 93:18 117:14 123:19 124:1 135:19 136:2 141:19 142:5 146:4 157:2 157:13 162:18 168:4,18 175:1 185:1,9,9,11,13 204:20 206:8 207:1,4 217:4 220:13 223:5 224:1 252:14 254:6,7</p> <p><b>old</b> 81:17 191:4 198:9 200:16</p>	<p>243:10</p> <p><b>once</b> 37:21 54:16 133:5,5 139:22 236:1 251:21</p> <p><b>ones</b> 59:15 98:10 107:2 133:4 137:8 155:2,2 206:3 209:22 250:8</p> <p><b>one's</b> 117:12 236:22</p> <p><b>ongoing</b> 26:8 28:1 39:9 50:11 122:5 200:20 218:1 226:11 243:16 244:13 253:5</p> <p><b>online</b> 74:4 190:13</p> <p><b>onset</b> 60:10</p> <p><b>open</b> 13:20 59:5 81:4 100:16 102:18 161:10 238:21,22 239:1,6 239:7,7,8,11,15 239:16</p> <p><b>opening</b> 3:5 4:21 13:16 67:18 90:9</p> <p><b>oper</b> 192:1</p> <p><b>operate</b> 25:22 31:9 66:4 176:17 236:5</p> <p><b>operated</b> 34:15 43:5 44:10 217:7</p> <p><b>operating</b> 30:17 37:17 42:13,19 106:16 177:8</p> <p><b>operation</b> 9:8 22:11 24:21 25:15 36:13 37:12 55:10 61:5 66:13</p> <p><b>operational</b> 22:2 114:17 123:4</p> <p><b>operations</b> 25:20 52:17 62:4,14 113:20 190:8 192:2</p>
<p><b>o</b></p>			
<p><b>o</b> 3:1 4:1</p> <p><b>oatmeal</b> 120:1</p> <p><b>object</b> 230:10 254:10</p>			

<p><b>operator</b> 42:13,21 98:2 113:4,4 114:10 193:3 213:3</p> <p><b>operators</b> 18:6 30:7 67:20 137:20 206:3</p> <p><b>opinion</b> 103:14</p> <p><b>opinions</b> 103:4</p> <p><b>opportunities</b> 14:18 32:1 50:9 191:8 258:18</p> <p><b>opportunity</b> 13:19 19:9 27:7 31:16 32:20 44:21 53:21 56:20,21 82:15 93:11 98:14 127:20 130:1 137:21 140:2 151:10 152:11 191:20 217:15</p> <p><b>oppose</b> 33:17</p> <p><b>opposed</b> 35:13 74:7 76:10 174:6 203:9</p> <p><b>opposite</b> 219:21</p> <p><b>optimality</b> 245:2 245:11</p> <p><b>optimally</b> 244:22 244:22</p> <p><b>optimistic</b> 70:22</p> <p><b>option</b> 98:16</p> <p><b>oral</b> 13:18 15:20 16:11 32:20 57:14</p> <p><b>order</b> 30:1,21 115:22 132:11,14 133:10,11 139:3 148:10,11 149:4,5 149:7,21 150:16 165:3,8 194:8 210:15 218:16</p> <p><b>organization</b> 16:15 53:6 70:16 86:15</p>	<p><b>organizational</b> 40:3</p> <p><b>organizations</b> 25:8 45:14 46:7 63:2 103:17 206:17 242:9</p> <p><b>oriented</b> 56:7</p> <p><b>original</b> 19:6 27:17 30:1 166:13 174:20,21 176:9 182:7 188:15</p> <p><b>originally</b> 202:11</p> <p><b>orrick</b> 227:14</p> <p><b>ota</b> 201:2</p> <p><b>ought</b> 54:11,17 85:11,11 173:18</p> <p><b>outcome</b> 163:3 172:4 177:11 259:13 260:9</p> <p><b>outline</b> 66:5</p> <p><b>outlined</b> 56:10 77:20</p> <p><b>outlines</b> 9:6</p> <p><b>outmoded</b> 246:12 246:14</p> <p><b>outputs</b> 233:18,20</p> <p><b>outset</b> 128:5</p> <p><b>outside</b> 51:14 172:7 179:8 238:10</p> <p><b>overall</b> 8:9 18:17 103:14</p> <p><b>overlap</b> 93:9 94:2 133:7</p> <p><b>overlapping</b> 51:21</p> <p><b>overly</b> 81:17 203:2</p> <p><b>overnight</b> 195:17</p> <p><b>overriding</b> 46:3</p> <p><b>overripe</b> 161:8 162:21</p> <p><b>overseeing</b> 158:6</p> <p><b>oversight</b> 8:18 9:22 180:21</p>	<p><b>overstated</b> 37:4</p> <p><b>overview</b> 157:22</p> <p><b>overwhelm</b> 185:1</p> <p><b>owner</b> 192:16 193:3,9 194:17</p> <p><b>owners</b> 18:6</p> <p><b>ownership</b> 84:22 85:7</p> <p><b>o'clock</b> 58:4</p> <hr/> <p style="text-align: center;"><b>p</b></p> <hr/> <p><b>p</b> 2:1,1 4:1</p> <p><b>p.m.</b> 156:21 258:19</p> <p><b>pace</b> 27:1 81:10 81:20 211:1 225:12 242:9,13</p> <p><b>pacing</b> 200:8,9</p> <p><b>package</b> 128:20 169:6 238:20</p> <p><b>packages</b> 239:7,14</p> <p><b>packaging</b> 238:13 238:18</p> <p><b>page</b> 3:2</p> <p><b>pages</b> 49:7</p> <p><b>paid</b> 196:16</p> <p><b>panel</b> 3:7,8,9,10 3:11,13 46:11 59:2,5,8 60:1,12 61:20 92:20,22 93:4,9,12 94:15 124:14 125:6 126:6 157:9 160:20 171:2 185:12,13,22 186:11 188:19 194:16 197:15 198:8 223:6,6,9 224:3 226:20 228:10 249:17 257:9,15 258:9</p> <p><b>panelist</b> 59:10 62:9 96:4</p> <p><b>panelists</b> 16:20 63:7 94:18 96:22</p>	<p>125:2,13 156:18 157:22 160:4 165:13 168:8 185:8 186:2 223:8 223:21 224:18 226:2</p> <p><b>panels</b> 58:17 108:3 124:13 157:3,8 183:9 224:10 228:11 231:18 247:22 251:19 253:13</p> <p><b>panel's</b> 124:14 139:7 217:7</p> <p><b>parallel</b> 138:11</p> <p><b>parameters</b> 130:21 132:2 134:17,18 136:16 137:11 142:13 155:9,10</p> <p><b>paramount</b> 215:17</p> <p><b>pareidolia</b> 230:8,9 230:17</p> <p><b>parenthetical</b> 61:11</p> <p><b>park</b> 213:21</p> <p><b>part</b> 12:19 20:11 22:5 26:22 33:6 37:20 82:2 87:15 97:16,20 103:17 111:11 116:16 118:7 128:14,19 145:6 160:20 188:15,17 189:4 204:10 210:14,14 221:7 233:10 242:21</p> <p><b>participants</b> 90:21 235:5</p> <p><b>participate</b> 156:12</p> <p><b>participating</b> 257:14</p>
--	---	---	---



<p><b>participation</b> 11:20 156:15 228:8</p> <p><b>particular</b> 6:9 18:16,18 92:12 101:6 106:3 113:8 114:17 115:6 120:2 161:3,20,22 169:7 190:13 209:20 231:9,21 256:19</p> <p><b>particularly</b> 33:17 37:2 74:21 77:4 84:18 85:6 95:20 107:12 135:3 199:5 207:12 215:10 239:22 244:7</p> <p><b>parties</b> 111:9 259:9,11 260:8</p> <p><b>partly</b> 202:21 233:9</p> <p><b>partner</b> 94:19 125:21 127:1 158:2</p> <p><b>partners</b> 56:18 158:13</p> <p><b>partnership</b> 45:1 234:19</p> <p><b>parts</b> 66:11</p> <p><b>party</b> 214:12,16</p> <p><b>pascrell</b> 63:4</p> <p><b>pass</b> 24:18 57:10 107:8 167:15</p> <p><b>passed</b> 59:9</p> <p><b>passenger</b> 27:18</p> <p><b>passengers</b> 18:6</p> <p><b>passing</b> 13:8</p> <p><b>patchwork</b> 13:14 20:16 21:8 28:13 33:12 48:22 49:8 244:1,2</p> <p><b>path</b> 7:17 12:5 24:1 136:12</p>	<p>158:14,17 160:15 232:13</p> <p><b>pathway</b> 120:5</p> <p><b>pattern</b> 230:10 254:16</p> <p><b>patton</b> 125:22</p> <p><b>paul</b> 2:5,13 18:22 19:3 60:2,4 70:13 88:13 94:1 223:11 229:16 234:22 243:7 245:17 252:20 257:17</p> <p><b>pause</b> 71:3 138:20 186:1,6 223:20</p> <p><b>pay</b> 220:8 240:1</p> <p><b>paying</b> 12:14</p> <p><b>pedestrian</b> 55:17 56:1 194:22 195:1 195:7</p> <p><b>pedestrians</b> 178:5 192:6 194:15,18 195:2,11</p> <p><b>penalty</b> 91:6 92:8</p> <p><b>penn</b> 228:2</p> <p><b>pennsylvania</b> 228:1</p> <p><b>pens</b> 59:9 185:20</p> <p><b>people</b> 46:20 55:7 102:3 103:4 105:3 117:1,2 142:19 151:5 152:6 153:3 157:14 164:14 167:18 168:1 171:4 175:2 196:20 205:17 206:7,18 210:18 213:1 215:20 219:6,7 221:8,9 224:22 225:4,11 238:1 244:3 246:14 253:9 255:4</p> <p><b>people's</b> 133:22 221:20</p>	<p><b>percent</b> 6:8 7:3 17:13 46:20 154:20 176:22 181:12 218:15 239:1</p> <p><b>percentage</b> 6:21 218:20</p> <p><b>perceptions</b> 187:6</p> <p><b>perfect</b> 116:18 200:20 217:17 225:17</p> <p><b>perfectly</b> 80:14 108:22 200:19</p> <p><b>perform</b> 188:4</p> <p><b>perform</b> 54:19 78:4,9 113:9 114:1 169:2 176:15 179:3,4 187:20 188:5,8 195:19 237:1,13 238:1 240:4,15</p> <p><b>performance</b> 21:7 21:21 22:9 23:17 29:13,17 35:19 43:9 61:17 67:6 67:13 70:2 79:13 82:12 108:11 110:3 113:8,13 114:3,4,10 121:18 160:9 173:5 187:11 208:14 211:10 222:13 237:18 254:12</p> <p><b>performances</b> 254:21</p> <p><b>performed</b> 237:22</p> <p><b>performing</b> 114:5</p> <p><b>performs</b> 114:14</p> <p><b>period</b> 75:16 159:12,22 179:5 218:18 245:21 249:16</p> <p><b>periodic</b> 206:15</p>	<p><b>periodically</b> 249:14</p> <p><b>permission</b> 23:6 34:14 35:22 223:2</p> <p><b>permissions</b> 190:15</p> <p><b>permit</b> 36:9</p> <p><b>permits</b> 23:5</p> <p><b>perseverance</b> 224:7</p> <p><b>persevering</b> 228:11 257:9</p> <p><b>person</b> 42:16 83:4 104:1</p> <p><b>personal</b> 157:17</p> <p><b>personally</b> 145:12</p> <p><b>perspective</b> 102:8 140:17 152:17 231:19</p> <p><b>peter</b> 2:16 38:6 57:11 62:20 69:19 70:7 76:13,15 80:15 85:21</p> <p><b>peter's</b> 62:20</p> <p><b>petition</b> 246:14</p> <p><b>petitions</b> 246:11</p> <p><b>pharmaceutical</b> 238:13</p> <p><b>philosophers</b> 200:9</p> <p><b>philosophy</b> 100:5 102:15</p> <p><b>phone</b> 199:16 201:5 205:1</p> <p><b>phones</b> 198:17</p> <p><b>phrase</b> 198:12</p> <p><b>phrases</b> 232:18</p> <p><b>physical</b> 211:3 234:4</p> <p><b>pick</b> 59:14 173:20 196:22 209:18 243:3,4</p> <p><b>picture</b> 118:7</p>
---	---	--	---

<p><b>piece</b> 142:12,14 176:14 181:6</p> <p><b>pieces</b> 68:8 161:3</p> <p><b>pilot</b> 66:12</p> <p><b>pilots</b> 206:5 212:22</p> <p><b>place</b> 19:15 33:19 35:13 45:20 48:17 120:2,4 131:13,22 135:19 136:17 144:14 145:15 172:9 173:3 175:12 176:12 182:20 199:2 207:4 216:20 238:16 241:14 242:5 245:12</p> <p><b>placed</b> 108:8</p> <p><b>places</b> 131:8</p> <p><b>plan</b> 22:7 122:1</p> <p><b>plane</b> 137:18</p> <p><b>planned</b> 20:11</p> <p><b>planning</b> 160:1</p> <p><b>plans</b> 20:4</p> <p><b>platinum</b> 66:16</p> <p><b>platooned</b> 41:9</p> <p><b>platooning</b> 41:7</p> <p><b>play</b> 34:11 46:1 47:2 52:10 136:4 152:9 155:3 233:6 235:14 248:6 253:14</p> <p><b>playbook</b> 200:14 200:16</p> <p><b>players</b> 120:3</p> <p><b>playing</b> 78:22 128:7 152:10 199:14</p> <p><b>plays</b> 56:4 129:5 135:9</p> <p><b>plea</b> 32:3</p> <p><b>please</b> 15:4 210:3 258:17</p>	<p><b>pleased</b> 128:14 234:21</p> <p><b>pledge</b> 17:17</p> <p><b>pledges</b> 18:18</p> <p><b>plus</b> 252:16</p> <p><b>point</b> 26:6 31:7,15 39:8 40:16 71:18 74:20 77:14,15 78:7 85:3 98:18 106:4,10,21 111:7 113:13 123:2 129:13 133:4 136:3 137:11 139:18 142:7,9,16 144:12,15 145:8 147:21 150:20 156:9,16 165:12 167:16 168:3,13 169:10 176:6 177:21,21 178:13 183:10 184:15 188:12 192:20 194:7 198:1,20 200:20 212:13 213:9,17 216:1,2 216:11 220:10,11 223:14 231:7 234:13 240:9 250:5,20 251:9</p> <p><b>pointed</b> 242:7</p> <p><b>pointing</b> 243:4</p> <p><b>points</b> 170:3</p> <p><b>poisoning</b> 239:11</p> <p><b>policies</b> 28:9 29:18 47:3 53:16 119:12 151:11 190:13 193:13 199:1,21 216:11 233:1</p> <p><b>policy</b> 1:3 3:6 4:10 4:16 7:9 8:1,2,5,7 8:9,12,14,15 9:4 9:15,20 10:2 11:17,21 12:22 13:7 14:2,21 16:6</p>	<p>17:14,19,20 19:15 19:19 20:2,7,12 20:14,18 22:3,4 23:1,12,16 26:17 28:7,22 29:6,10 31:6,19 32:6,19 32:21,22 33:2,14 33:21,22 34:3,8,8 34:11,13 35:3 36:3 37:22 39:5 39:17,19 40:8 41:2,6,12 42:7,12 43:8,14 44:11 45:17 46:3 47:9 47:10 48:22 49:18 49:21 50:10,13 51:12 52:19 53:20 54:1,4 56:10 57:15 58:9,10,12 61:13 62:2 72:1 83:9 87:7 90:13 90:14 93:13,21 94:9,21 96:15 106:17 125:12 153:2 158:20 186:13 190:3,10 190:19 191:6 196:9 200:10 202:9 208:1,5 214:8 217:18,21 226:7,13,14,22 227:3,13,16 231:12 242:20 248:20 250:14 252:19 257:21 258:14</p> <p><b>policyholders</b> 17:13</p> <p><b>policymakers</b> 11:15 26:13 53:14</p> <p><b>policymaking</b> 228:9</p> <p><b>policy's</b> 7:14,20 36:10 37:15</p>	<p><b>politely</b> 71:15</p> <p><b>political</b> 181:1 203:8 228:1</p> <p><b>pool</b> 80:9</p> <p><b>poor</b> 144:19</p> <p><b>pop</b> 159:15</p> <p><b>portion</b> 32:21 57:15 58:9 168:1</p> <p><b>pose</b> 59:10 191:8</p> <p><b>position</b> 34:21 50:22 51:20 103:3 103:6 128:21 143:14</p> <p><b>positive</b> 104:18,19 202:5 209:6 212:20 213:2,7 245:5</p> <p><b>positively</b> 129:15</p> <p><b>possibilities</b> 100:16</p> <p><b>possibility</b> 53:12 106:18</p> <p><b>possible</b> 28:22 32:9 44:20 61:9 106:19 115:20 143:12 194:12 234:8</p> <p><b>possibly</b> 179:22 180:2</p> <p><b>post</b> 3:11 58:21 120:20,22 185:14 186:12 187:6 191:7 193:5 197:8 197:12 200:15 201:21 204:3,4 207:21 209:9 211:5 214:14 217:11 222:5,21 248:1</p> <p><b>potential</b> 6:15,17 7:4,7 10:16 13:3 13:11 24:4,13 25:4 27:1 28:4,13 32:11 37:1 38:20</p>
--	--	--	--

45:2 49:21 52:3 54:21 55:12 56:17 58:18 63:19 65:4 65:5 70:18 74:15 107:15 115:2 116:8 159:8 191:19 204:13 210:6 218:14 223:10 257:2 <b>potentially</b> 26:19 50:6 99:12 170:16 179:6 195:14 218:18 229:9 244:19 <b>power</b> 139:11 140:20,21 141:1,4 151:21 152:1 <b>powerful</b> 253:1 <b>powers</b> 153:12 204:8 205:17 <b>practical</b> 195:17 <b>practice</b> 30:6 62:15 96:14 125:22 126:2 127:1 237:10 240:16 241:22 <b>practices</b> 61:17 69:2,6 79:10 82:8 84:3 140:10,10 200:17 203:15 204:2 234:18 <b>pre</b> 3:8 87:22 103:9 110:15 197:9 <b>preapproval</b> 103:15 104:3 191:15 <b>precedence</b> 137:10 <b>precedent</b> 51:7 219:9 <b>precisely</b> 64:9 120:16 122:15 229:12	<b>preclude</b> 67:10 <b>predicated</b> 165:2 <b>predict</b> 103:22 216:22 225:14 <b>predictability</b> 245:6 <b>predictive</b> 103:21 <b>predominantly</b> 110:9 <b>preempt</b> 33:18 45:19 <b>preemption</b> 45:22 208:1,4 <b>preemptive</b> 201:20 <b>preemptively</b> 211:6 <b>preintroduction</b> 222:20 <b>preliminary</b> 94:9 <b>premaking</b> 222:20 <b>premarket</b> 11:9 26:12 35:14 58:20 60:13,13,19,20,21 64:8,22 65:2,20 70:1,8 73:14 74:7 77:3,8 79:8 80:8 93:2,8,21 94:2,3,4 97:2 100:12,17,19 101:7,16,19 102:21 105:21 106:14 107:22 108:16 109:10,13 109:16 111:13,21 112:11,18 114:8 124:6 139:8 162:10,11 180:12 180:12 <b>premiere</b> 129:5 <b>prepare</b> 30:22 <b>prepared</b> 260:4 <b>preparing</b> 109:14 <b>preprogrammed</b> 229:3	<b>prerequisite</b> 26:16 <b>prescribed</b> 82:12 <b>prescriptive</b> 81:17 155:11 201:20 <b>present</b> 51:6 98:6 115:17 <b>presented</b> 107:5 122:1 250:19 <b>presenting</b> 150:3 <b>presents</b> 98:15 153:20 <b>preset</b> 229:4 <b>president</b> 126:10 <b>press</b> 60:7 <b>pressures</b> 237:19 <b>presumably</b> 46:11 202:8,12 <b>pretty</b> 4:5 70:1 80:15 104:19 150:19 189:11 192:1 210:2 <b>prevent</b> 29:1 31:22 55:7 64:17 70:19 138:17 <b>preventative</b> 68:11 143:4 144:22 145:14 <b>preventatively</b> 131:6 <b>prevented</b> 72:15 220:21 221:6,21 <b>preventing</b> 132:5 <b>preventive</b> 131:5 <b>preventively</b> 142:1 <b>previous</b> 100:9 186:19 197:15 <b>previously</b> 122:18 125:18 148:19 158:22 227:7 253:2 <b>price</b> 65:6 <b>primarily</b> 67:7 82:14,22 94:9	243:9 <b>primary</b> 96:11 240:10 243:4 <b>principally</b> 111:12 248:5 <b>principles</b> 128:12 140:6 247:5 <b>prior</b> 27:5 62:17 62:22 95:2,7 105:11 <b>priority</b> 44:7 169:11 <b>privacy</b> 74:22 84:7,14 87:12,16 94:22 158:21 190:14 191:2 193:12 195:15 197:13 207:15 227:2 240:12 <b>privacy's</b> 84:21 <b>private</b> 85:12 96:10 <b>privatization</b> 62:5 <b>privileged</b> 62:9 118:16 <b>proactive</b> 68:10 140:6 143:15 <b>probability</b> 68:9 219:13 <b>probably</b> 4:4 57:18 59:14 67:7 69:6 78:13 83:6 102:1 108:21 110:12 121:9 143:9 152:14 161:14 162:20 168:19 180:5 183:15 200:17 219:18,20 220:3 234:11 236:7,19 238:12 248:9 <b>problem</b> 6:5 46:5 46:21 48:9 68:16 106:5 107:13,14
---	--	---	--

138:8,9 149:22 154:7 163:19 179:14 183:5 200:8,9 215:14 221:3 234:16 235:10,12 243:19 248:9 249:13 251:15 <b>problematic</b> 64:16 <b>problems</b> 47:6,12 55:18 68:5 141:9 141:13 142:7 144:10,20 147:1 147:22 155:16 164:19 194:9 201:16 202:22 216:22 222:12 224:14 225:20 230:4,5 250:8 <b>problem's</b> 200:12 <b>procedure</b> 228:14 <b>procedures</b> 151:12 235:2 236:7 <b>proceeding</b> 259:3 <b>proceedings</b> 259:4 259:6 <b>proceeds</b> 146:22 <b>process</b> 23:5 26:22 27:5 28:5 30:5 35:15 41:4 54:12 59:7 61:3,16 64:11 72:13 84:10 87:8 92:11 101:7 102:2,13,18,21 105:6 109:1,16 110:2,4,15 111:21 113:21 114:6,8 116:2 120:7 121:19 123:1,7 124:7,8 133:22 134:3 135:3,5,10 147:15 148:16 149:12 152:7	174:16 178:1 179:17,18 183:19 184:1,6 191:15 200:20 203:3 215:4 218:5 222:6 223:3 226:17 228:6 251:8 252:4 253:5 <b>processes</b> 82:2,7 101:19 124:10 203:20 232:18,19 <b>processing</b> 250:3 <b>produce</b> 21:4 169:6 <b>producing</b> 165:15 <b>product</b> 11:17 45:15 95:20 98:7 98:22 99:1 106:2 107:10,10 127:3 133:6,15 145:3 150:2 189:12 205:7,7 207:20 226:18 238:20 239:14 <b>production</b> 29:9 30:22 31:5 32:5 <b>products</b> 21:4 118:18 145:16,18 146:9,10 148:8 150:9 186:14,16 208:20 209:8 238:13,17 239:2,5 239:11 243:20 244:2 <b>professional</b> 208:20 <b>professionals</b> 30:8 <b>professor</b> 95:12 97:11 101:11 227:22 <b>professor's</b> 252:11 <b>profit</b> 45:14 53:5 63:2	<b>profound</b> 235:12 <b>profoundly</b> 36:4 <b>program</b> 35:9 55:16 57:16 88:1 158:6,14,14 162:10 174:10 180:7,17 190:11 228:3 <b>programming</b> 55:2,4 <b>programs</b> 23:4 38:13 55:3 68:14 69:13,13 90:22 158:10 161:14 <b>progress</b> 169:13 242:12 <b>prohibit</b> 23:9 37:12,16 <b>prohibition</b> 37:20 <b>project</b> 169:13 256:1 <b>promise</b> 11:3 65:9 101:11 102:3 247:17 <b>promises</b> 101:10 <b>promote</b> 50:3 54:1 <b>promptly</b> 156:22 <b>promulgate</b> 51:3 76:17 241:13,18 243:2 <b>pronounce</b> 124:16 <b>pronouncement</b> 90:14 <b>proof</b> 120:6 <b>proper</b> 178:11 179:19 196:1,11 222:2,2,4,4,5 <b>properly</b> 109:2 119:3 130:17 136:16 143:21 148:1 <b>property</b> 56:4 62:6 81:2,3 96:10 227:18	<b>proposal</b> 122:14 125:11 <b>proposals</b> 82:20 91:11 <b>proposed</b> 22:14 59:1 231:14 232:10 233:3,8 <b>proposes</b> 17:19 18:8,21 29:11 <b>proposing</b> 195:7 <b>proprietary</b> 81:1 81:2 85:11 <b>proscriptive</b> 66:6 67:5 <b>prosecutorial</b> 252:7 <b>prospect</b> 76:19 <b>prosperity</b> 218:2 <b>protect</b> 33:15 91:5 119:19 214:19 <b>protected</b> 26:21 85:12 <b>protection</b> 90:8 94:21 149:12 196:10 208:3,17 <b>protections</b> 39:3 48:17 189:9 <b>protocol</b> 30:9 <b>prove</b> 78:2,10 174:15,18,22 184:21 <b>proven</b> 8:11 102:19 132:17 164:16 <b>provide</b> 13:18,19 16:11 18:20 19:9 24:12 27:7 39:22 46:15 49:16 53:21 54:17 56:20 61:14 63:15,19 71:10,11 71:15 74:12 83:15 89:21 99:16 100:10 149:8,12 150:15 182:20
--	--	--	--

203:1 209:10 257:20 <b>provided</b> 19:12 20:10 24:11 31:10 63:12 111:2 128:16 <b>provides</b> 23:18 39:5 40:17 41:20 44:11 51:12 62:12 110:16 126:19 129:7 <b>providing</b> 17:12 26:12 107:6 112:8 128:12 202:18 <b>proving</b> 175:3 180:9 <b>provision</b> 31:11 31:16 43:19 128:16 145:7 161:20 165:14,22 244:8 249:12 <b>provisions</b> 161:22 241:21 242:4 245:11 248:8 249:17 <b>provocative</b> 130:15 131:19 132:9 <b>prudence</b> 252:13 <b>prudent</b> 252:6 <b>psychological</b> 230:9 <b>public</b> 1:4 4:14 8:6,8 9:8 11:16,18 12:7 23:8 25:16 26:4 28:11 31:1 33:16 34:15 36:1 36:9 49:17,22 52:9 53:11 56:14 61:6,19 62:5 71:5 71:22 72:4,8,11 74:17 77:22 83:10 83:16 86:3 87:6 89:11 100:3	101:10 108:6,16 111:1 115:21 116:16 118:21 153:10,18 163:14 166:11,16,18 171:10 181:21 187:4 189:11 190:19 201:10 203:2 204:11 206:13 217:21 227:13,16 228:8 252:2 258:11 259:1,17 <b>publicly</b> 69:2 251:14,15 <b>published</b> 20:8 147:12 164:22 <b>pull</b> 139:3 <b>pun</b> 167:10 <b>puracol</b> 228:6 <b>pure</b> 110:10 <b>purpose</b> 42:12 174:21 175:18,19 175:19 178:17,20 179:7,8 <b>purposely</b> 55:7 <b>purposes</b> 130:14 176:4 212:16 <b>push</b> 50:14 196:21 <b>pushed</b> 192:16 <b>pushing</b> 193:14 196:3 <b>put</b> 72:18 78:2 81:12 99:13 101:6 113:18 114:12 136:17 149:4 169:6 171:20 183:16 199:2 205:1 207:4 218:12 231:19 238:16 248:8 <b>puts</b> 250:1 <b>putting</b> 49:5 71:4 78:3 172:9 180:19	249:21 256:11 <b>q</b> <b>quality</b> 50:2 92:12 246:2 253:16 <b>quantify</b> 218:12 <b>quantitative</b> 175:8 <b>question</b> 24:2 59:10 63:8 70:13 73:8 74:10 75:6 76:2,15 85:22 87:21 88:4,6,11 88:14 107:22 109:6 112:9 113:1 120:16 122:19 123:20,22 127:15 134:21 145:11 148:3 153:15 160:3,6 164:9 167:4 173:18,22 182:8,13 183:18 184:17 185:7,8,18 192:8 199:6 202:19 209:15 211:6 214:22 217:6 229:18 232:14 242:17 244:21 246:17 247:18 248:1,22 253:21 254:2,3 255:20 <b>questioning</b> 164:16 <b>questions</b> 15:4 20:3 56:22 59:6 73:13 85:18,19,20 87:19 93:11 94:11 113:13 120:12 145:10 158:1 168:6 188:18 191:6 217:5 219:3 253:19 254:5 256:20 <b>quick</b> 58:7 137:21 157:3 170:3 214:7	<b>quickly</b> 44:20 48:15 93:1 97:1 104:19 149:18 164:6 165:7 220:13 225:12,14 236:4 <b>quite</b> 71:8 75:20 87:4 94:14 96:18 143:2,2 167:19 205:15 241:17 249:15 <b>quo</b> 108:14 217:8 <b>quote</b> 140:11 215:3 <b>r</b> <b>r</b> 2:1 4:1 227:11 227:11 <b>r&amp;d</b> 158:14 <b>rail</b> 137:18 212:19 213:6 <b>railroad</b> 159:2 202:4 <b>rain</b> 4:7 <b>raise</b> 99:7 <b>raised</b> 74:18 76:6 <b>raises</b> 24:2 96:18 139:18 156:8 <b>raising</b> 222:8 <b>ramifications</b> 227:8 <b>rand</b> 164:22 <b>range</b> 10:5 27:20 55:11 56:17 67:21 90:20 91:3 92:17 97:14 119:8 121:13 126:14 192:12 208:22 238:4 <b>ranging</b> 8:10 240:20 <b>rapid</b> 50:5 81:11 134:11 137:19 212:4 242:12
--	--	---	---

<p><b>rapidly</b> 12:2 33:3 74:4 99:5 156:6</p> <p><b>rare</b> 180:5</p> <p><b>rate</b> 254:12</p> <p><b>rationale</b> 80:13</p> <p><b>reach</b> 28:4 106:2 206:17 223:15 258:12</p> <p><b>reaches</b> 111:7 113:15 151:22</p> <p><b>reaching</b> 140:7 179:10</p> <p><b>reaction</b> 139:14</p> <p><b>read</b> 23:2 106:19 117:1,2,11 139:19 193:12,13</p> <p><b>readily</b> 37:4 144:6</p> <p><b>reads</b> 116:21,22</p> <p><b>ready</b> 93:15,17 125:2,7 145:9 186:6</p> <p><b>reaffirms</b> 9:20</p> <p><b>real</b> 31:1 32:1 46:6,18,21,21,22 47:9 48:9 86:9 107:15 116:7 135:9,12 141:8,11 141:15,15 148:4 200:21 201:2 211:14 218:9 221:3 231:2,7 235:9,15,17 242:16 243:19 244:3 246:17 247:20 248:8</p> <p><b>reality</b> 66:20 81:7 81:9 82:11 107:18 152:5 199:19 212:2</p> <p><b>realize</b> 11:3</p> <p><b>realizing</b> 64:17 65:16</p> <p><b>really</b> 6:14,16 45:18 46:8 66:21</p>	<p>67:3,14 68:10 69:1 71:21 72:3,6 72:17 74:10 75:4 75:5,10 86:14 97:15 99:14 103:18 104:6 109:18 110:16 115:19 118:18 121:19 122:20 123:21 127:15 128:5,8 130:16 132:5 135:3 136:8 138:12,18 139:10 141:13,19,20 142:10 143:11,15 144:9 146:7,17 147:8 149:5 151:3 152:12 154:22 155:4,17 156:8 157:17,19 160:8 163:9,12 164:4,22 172:19 173:1,1 179:13 180:5 184:11,11 193:10 196:4,17 197:9 198:5 207:21 210:17 220:13 221:14 224:15,18 224:21 225:8,8,12 225:18 236:13 242:22 243:10 245:13 246:19 247:11,14,14,14 248:2 254:22 257:10 258:7</p> <p><b>reason</b> 10:22 51:6 114:7,18 148:7 161:16 231:20 233:10 237:5,7</p> <p><b>reasonable</b> 25:2 33:13 74:6 112:2 121:21 122:12,17 123:17</p>	<p><b>reasonably</b> 112:1 117:8</p> <p><b>reasons</b> 31:3 70:6 100:2 134:4 226:12 236:17</p> <p><b>reassess</b> 244:7</p> <p><b>rebecca</b> 2:3 157:10 160:19</p> <p><b>recall</b> 114:6 129:14 132:11 133:10 134:1,2,11 136:1 138:13 139:11 144:11 148:10,11,14,21 150:2 156:11,15 193:13 204:8 211:4,12 235:18</p> <p><b>recalls</b> 132:18 140:10 141:3,19 154:18,20 155:5 156:9,16 220:22 221:2</p> <p><b>recap</b> 58:7</p> <p><b>receive</b> 11:19 185:6</p> <p><b>received</b> 8:4 94:13 129:11</p> <p><b>recess</b> 157:1</p> <p><b>reckless</b> 91:2,22 217:8</p> <p><b>recognition</b> 18:17</p> <p><b>recognize</b> 32:4 141:1 201:17 222:17</p> <p><b>recognized</b> 89:15 133:1</p> <p><b>recognizes</b> 143:13</p> <p><b>recognizing</b> 28:8 35:2 107:4</p> <p><b>recommend</b> 9:6 10:6 36:18,19 37:11,19 54:8 84:11 171:14 214:13</p>	<p><b>recommendation</b> 25:1 37:5</p> <p><b>recommendations</b> 18:12,16 20:18 29:21 32:6 40:5 44:5</p> <p><b>recommended</b> 13:13 196:9</p> <p><b>recommends</b> 22:18 25:6 42:5 54:4</p> <p><b>reconcile</b> 105:17</p> <p><b>reconsidered</b> 161:21</p> <p><b>record</b> 45:5 49:17 58:2 259:6</p> <p><b>recorded</b> 43:11 259:4</p> <p><b>recorders</b> 104:8</p> <p><b>recordkeeping</b> 249:7</p> <p><b>recourse</b> 71:12</p> <p><b>recovering</b> 216:12</p> <p><b>red</b> 15:16</p> <p><b>redevelopment</b> 62:6</p> <p><b>reduce</b> 7:6 25:4 50:1 52:5 55:21 65:16</p> <p><b>reduced</b> 259:5</p> <p><b>reducing</b> 76:19</p> <p><b>redundancies</b> 43:21</p> <p><b>reference</b> 41:20 151:6</p> <p><b>referred</b> 216:10</p> <p><b>refers</b> 200:10 214:9,10</p> <p><b>refinement</b> 84:4 203:3</p> <p><b>reflect</b> 64:13 84:2 86:4</p> <p><b>reflects</b> 11:22</p>
---	---	--	---

<p><b>reform</b> 114:22 158:5</p> <p><b>refreshed</b> 226:15</p> <p><b>refresher</b> 92:14</p> <p><b>regard</b> 87:8</p> <p><b>regarding</b> 13:21 42:8 49:20 60:22 83:7</p> <p><b>regardless</b> 108:20 184:6</p> <p><b>regards</b> 20:13 26:19 90:10 207:19 214:8</p> <p><b>reggie</b> 62:10,10,15 65:19,19 69:19 76:13,14 80:1 85:21 91:17 92:19</p> <p><b>regime</b> 64:10,21 67:14 72:22 74:14 98:20 101:6,12 102:10 109:2 110:6 111:15 112:14 119:2 122:14 246:13 255:13</p> <p><b>regimes</b> 9:19 10:8 18:1 98:10 100:17 112:20</p> <p><b>reginald</b> 2:15</p> <p><b>region</b> 227:12</p> <p><b>regions</b> 62:14</p> <p><b>register</b> 15:9</p> <p><b>registration</b> 9:17 10:8 16:10 17:22 20:22</p> <p><b>regular</b> 3:11 206:4</p> <p><b>regularly</b> 126:19 166:7,19</p> <p><b>regulatable</b> 188:17</p> <p><b>regulate</b> 8:20 21:6 21:21 23:3,9 36:12 42:2 133:16 138:3,3,4,4,15</p>	<p>185:14 187:22 232:15 234:9,9 254:17,20 255:4</p> <p><b>regulated</b> 8:21 103:7 159:18 208:21 243:14</p> <p><b>regulating</b> 33:5 99:9,10 112:17 205:8 233:5 249:10 253:3 257:2</p> <p><b>regulation</b> 8:18 9:5,7 23:13 42:10 51:22 58:22 62:5 65:22 66:17 69:8 83:3 95:22 96:12 118:19 144:13 147:3 174:11 188:14 217:15 227:19 228:3,5 241:4 247:1,8 248:12</p> <p><b>regulations</b> 5:11 10:7,20 13:9,14 24:20 39:7 46:1 47:7,18 52:14 53:14 67:2,6 68:4 69:14 77:11 80:2 140:2 144:14 145:15,17 146:17 163:9 206:9 233:13 241:3,13 241:19,21 242:18 243:12</p> <p><b>regulatoin</b> 114:19</p> <p><b>regulator</b> 94:5 98:2,3,12,13,13 98:16 120:8 123:16 153:14 238:7 244:15 250:21,22 251:3</p> <p><b>regulators</b> 201:10 222:17 230:6 234:19 253:6</p>	<p><b>regulatory</b> 10:15 14:4 22:12,16 27:14 47:14 48:6 48:13 51:13 52:5 56:9 57:19 58:13 62:21 64:11 66:5 66:16 67:14 68:3 68:12,18 69:12,17 94:20 99:19 106:12 107:16 111:10,16 112:14 115:1 118:7 127:2 138:2 143:12 146:18 168:20 177:14,16 210:11 213:12 214:9,15 224:19 225:3 226:5 228:6,7 235:8 237:8 241:7 242:19 243:8,11 245:1,15 252:22 253:15 255:13 257:22</p> <p><b>reinforce</b> 19:11</p> <p><b>reinforces</b> 32:3</p> <p><b>reiterate</b> 27:4</p> <p><b>reiterates</b> 18:2 40:2</p> <p><b>relate</b> 191:15 236:21</p> <p><b>related</b> 23:15 24:8 24:20 25:13 36:14 46:5 50:14 58:21 127:3 133:3,8 190:7 216:7 259:8 260:7</p> <p><b>relates</b> 29:10 140:10,11 153:13 242:17</p> <p><b>relations</b> 53:3 158:9 228:9</p> <p><b>relationship</b> 153:14 210:15 251:1</p>	<p><b>relative</b> 116:1 259:10</p> <p><b>relatively</b> 99:4 254:12</p> <p><b>relaxing</b> 67:14</p> <p><b>release</b> 4:15 12:22 19:18</p> <p><b>released</b> 4:17 220:4</p> <p><b>releases</b> 69:4</p> <p><b>relevant</b> 104:4,6 104:14 120:20 157:17 223:19</p> <p><b>reliability</b> 45:11 171:8</p> <p><b>reliable</b> 254:8</p> <p><b>relied</b> 66:21</p> <p><b>reluctant</b> 256:2</p> <p><b>rely</b> 68:13 81:14 82:11 99:22</p> <p><b>relying</b> 48:8 67:15</p> <p><b>rem</b> 150:7</p> <p><b>remain</b> 86:13</p> <p><b>remains</b> 35:13 206:16</p> <p><b>remark</b> 103:2</p> <p><b>remarks</b> 3:14 4:21 16:11 19:10 38:2 53:21 57:15</p> <p><b>remediation</b> 251:12</p> <p><b>remedied</b> 92:8 150:5</p> <p><b>remedy</b> 211:13</p> <p><b>remember</b> 109:10 177:20 178:4 205:22</p> <p><b>remind</b> 59:18 185:18 186:1</p> <p><b>reminding</b> 12:18</p> <p><b>remote</b> 10:22</p> <p><b>remotely</b> 43:5</p> <p><b>remove</b> 24:14 55:22</p>
---	---	---	--

<b>renewals</b> 159:21 <b>renewed</b> 226:14 <b>rental</b> 36:20 <b>reorganizing</b> 72:1 <b>repaired</b> 37:14 150:4 <b>repeated</b> 159:20 <b>replace</b> 98:1 <b>replacement</b> 114:22 <b>replacing</b> 191:20 <b>report</b> 68:15 103:7 250:21 251:4 <b>reported</b> 1:18 <b>reporter</b> 16:16 89:5 <b>reporting</b> 1:19 43:22 68:14 69:2 103:9,9,9 104:9 104:12 249:7 <b>reports</b> 32:19 46:14 218:22 <b>represent</b> 17:11 17:11 38:17 53:8 173:10 <b>representation</b> 154:12 <b>representations</b> 154:19 <b>representative</b> 25:8 <b>representatives</b> 34:6 40:22 50:17 <b>representing</b> 16:15 19:5 45:10 <b>represents</b> 7:3 27:16 96:9 <b>repurchased</b> 150:5 <b>reputation</b> 117:17 <b>reputational</b> 118:5	<b>reputed</b> 224:15 <b>request</b> 15:21 43:16 <b>requested</b> 13:18 94:13 <b>requests</b> 41:11 42:15 43:3 <b>require</b> 21:9 22:6 22:18 36:20 41:16 43:10 54:14,21 56:2 69:14 71:12 78:7 98:14 99:11 99:12 104:8 106:15 110:17 114:22 127:12 159:20 229:7 241:6 245:18,21 250:8 <b>required</b> 30:17 145:5 <b>requirement</b> 68:21 171:15 175:19 <b>requirements</b> 21:8 21:18 28:14,16 29:11,19 36:14 37:6 45:19 51:11 52:16 68:12 69:12 69:18 72:2 111:17 117:15,19 122:11 122:16 145:14 162:13 171:19 174:20 176:4 <b>requires</b> 11:4 46:15 83:2 94:5 <b>requiring</b> 22:10 28:18 45:6 69:7 106:6 <b>research</b> 11:10 55:13 61:22 95:17 95:19 126:10 156:10 169:14 189:15,17 190:10 227:1,17 230:8,16	<b>researcher</b> 126:9 <b>researchers</b> 54:13 <b>resemblance</b> 243:16 <b>reservations</b> 236:6 <b>reshape</b> 6:16 <b>residents</b> 208:11 <b>residents'</b> 208:12 <b>resistant</b> 238:12 238:18 <b>resolved</b> 154:7 <b>resolves</b> 24:9 <b>resource</b> 34:22 39:18 107:18 <b>resources</b> 10:17 21:11 35:4 71:19 99:20 101:4,5 142:19 144:19 151:6,8 152:12 155:20 210:11,11 241:16,16,18 244:10 248:17 250:6 <b>respect</b> 18:14 45:17 69:3 85:7 90:1 107:13 196:13 237:14 240:10 248:20 <b>respond</b> 100:14 113:5 129:15 130:2 154:3 157:18 <b>responding</b> 156:11 203:13 <b>response</b> 49:17 91:15 96:12,16 229:20 241:2 <b>responses</b> 68:1 240:20 <b>responsibilities</b> 9:16 51:2 56:9 102:11	<b>responsibility</b> 8:16,20 9:1 17:21 20:20 21:2 23:14 56:13 119:4 129:17 142:18 143:6 <b>responsible</b> 9:21 18:3 20:21,22 34:13 42:17,22 43:1 166:10,18 <b>responsive</b> 103:21 <b>rest</b> 15:1 33:21 228:11 255:6 <b>restrict</b> 33:15 <b>restrictions</b> 46:10 <b>restrictive</b> 52:16 <b>restrooms</b> 15:16 <b>result</b> 65:6 <b>retailers</b> 36:20 37:6 <b>retain</b> 9:16 17:20 34:6 <b>retrieval</b> 43:11 <b>retrofitted</b> 139:2 <b>returning</b> 100:4 <b>review</b> 25:1 104:4 110:15 122:14 150:15 162:11 180:13 245:22 <b>reviewed</b> 258:5 <b>reviewing</b> 114:3 <b>reviews</b> 201:21 <b>revision</b> 20:7 <b>revisions</b> 24:22 <b>revisit</b> 241:7 245:19 246:2 <b>revolutionize</b> 6:17 <b>revolutionized</b> 151:19 198:10 <b>rick</b> 88:14 89:9,12 90:5 <b>ride</b> 161:14 <b>right</b> 7:14,15 15:18 19:14 27:3
--	---	---	--



<p>34:6 61:21 63:15                  76:7 78:4 79:4,7                  79:15 84:3,16                  85:7 86:2,5 90:5                  91:12 94:18 95:11                  100:21 103:16                  108:14 117:9,13                  119:13,22 120:4                  124:4 127:22                  130:11,18 133:9                  139:12 146:10                  148:11 152:22                  156:3 158:1 167:2                  172:17 175:5                  181:20 183:12                  185:6 194:10                  195:6,15,15,15                  196:6,6 198:17                  212:14,21 219:5                  222:19 224:19,22                  224:22 225:9                  226:21 227:10,20                  227:21 229:15                  233:11 234:16                  235:1,14,16 241:1                  242:17 244:18                  248:17 249:13,18                  255:9,14 257:7  <b>rightly</b> 240:12  <b>rights</b> 62:6 189:9                  195:14,21 208:17  <b>rigid</b> 245:1  <b>rigidity</b> 245:3,7  <b>rigorous</b> 204:4                  255:15  <b>rigorously</b> 211:9  <b>rings</b> 101:18  <b>ripe</b> 160:7 182:13  <b>rise</b> 155:15 207:9  <b>rises</b> 65:6  <b>rising</b> 217:10  <b>risk</b> 55:21 56:4                  60:21 62:5 95:19                  108:5,19 117:17</p>	<p>119:2 149:13                  188:7 191:20                  204:15 207:10,14                  216:21 219:10,13                  219:14 223:1                  230:4 250:19  <b>risked</b> 221:20  <b>risking</b> 196:3                  221:12  <b>riskless</b> 217:9,9                  219:4  <b>risks</b> 119:20 122:2                  122:3 127:13                  168:9 172:11                  177:22 247:20  <b>risky</b> 209:22                  217:11  <b>road</b> 11:13 42:18                  46:17 48:19 53:6                  62:8 71:5 72:8                  75:14,15 77:12                  106:17 114:13                  119:5 160:2 169:4                  170:19 172:17                  174:2 177:22                  178:4,7,12,22                  179:5 180:2 194:5                  194:5,20 215:21                  221:10,11,15                  252:1  <b>roads</b> 9:8 31:1                  33:16 34:7,15                  36:1,9 52:9 53:7                  55:18 61:6 114:1                  118:21 166:11,16                  166:18 180:20  <b>roadway</b> 6:17                  12:14,19 56:1  <b>roadways</b> 5:9                  38:21 44:19 55:14                  152:20  <b>robotic</b> 213:16,22  <b>robotics</b> 190:2,22                  227:2</p>	<p><b>robust</b> 69:6,14                  72:13 108:22                  131:12,14 136:9                  144:13 158:10  <b>rodney</b> 2:21                  125:16  <b>role</b> 34:10 35:16                  47:2 52:10 128:7                  129:5 152:10                  174:10 205:7                  228:8  <b>roles</b> 9:5 33:5  <b>roll</b> 200:5  <b>rolled</b> 61:19  <b>rolling</b> 66:8                  198:21 199:3,4  <b>room</b> 15:7 16:4,18                  83:18 183:14  <b>rooted</b> 131:11                  136:8  <b>roots</b> 147:8  <b>rosekind</b> 2:3 4:19                  4:22 5:2,5,21 6:1                  12:13,14 13:6                  14:2 34:1 90:12                  258:6  <b>round</b> 69:11                  116:17  <b>rule</b> 73:22 78:17                  78:22 138:19                  187:17  <b>rulemaking</b> 145:1                  145:5 158:6 186:9                  196:12  <b>rules</b> 18:3 24:14                  42:18 74:5,8                  76:16 82:21 85:5                  137:12 194:22                  242:20 243:2                  247:9  <b>run</b> 57:4 100:22                  179:12 191:19                  231:6 256:16</p>	<p><b>running</b> 230:4                  243:12 256:18  <b>runs</b> 230:3  <b>ruptures</b> 126:16  <b>rush</b> 197:10,20,21  <b>rushing</b> 51:17  <b>russ</b> 2:12 52:22                  53:3  <b>ryan</b> 2:11 16:18                  16:18 57:7 226:21                  226:21 229:14                  242:2 252:15                  256:7</p> <hr/> <p style="text-align: center;"><b>s</b></p> <hr/> <p><b>s</b> 2:1 3:1 4:1  <b>sacramento</b>                  227:14  <b>sadly</b> 70:20  <b>sae</b> 30:17 40:9                  41:16 50:8 76:3  <b>safe</b> 6:12 7:12,18                  10:17 13:1 14:9                  33:7 34:7 44:15                  49:13 50:5 54:20                  56:18,19 61:4                  78:11 79:1,2 88:1                  88:3 112:1,20                  113:1 116:10                  164:21 165:4                  175:5,5 200:19  <b>safeguard</b> 51:21  <b>safely</b> 11:3 44:10                  44:20 53:17 55:13                  72:9 78:4 114:1                  176:18  <b>safer</b> 5:11 49:16                  53:7,7  <b>safety</b> 3:7 4:20                  6:17 7:16 8:21                  9:22 11:3,6 12:4,6                  12:8,19 13:3 19:3                  19:21 20:21 22:6                  23:11 24:6 25:4                  26:6,8,11,15,20</p>
---	--	--	--

27:5,22 28:11 29:14 33:16,19 34:11,18 36:2,5,7 36:12 37:15,18 38:7,21 44:10,22 45:10,11,13,15,16 46:5 47:5,8,18 49:6,22 50:9 51:4 51:21 52:7 53:6 53:15 54:7 55:12 56:15 58:20 60:1 60:13,21 62:4,22 63:10,11 64:8,12 64:17,22 65:2,10 65:14,21 66:17 67:13,19 68:3,19 68:22 69:10,16 70:2,3,8,15 72:2,2 72:3,13 73:15,20 74:7,13,16,19 75:9 77:4,21 78:14,15 79:5 80:21 81:5 83:7 83:13,15 85:3,10 86:15 87:22 88:19 90:9,20 91:9 95:22 100:3,5,5,9 101:10 102:16 103:19,20 104:14 106:11 107:15 108:13,18 109:18 112:2,12 115:15 115:17 116:1 117:14 122:9 123:2,14,18 126:4 126:5,8,10,11,12 126:15 127:3,3,13 129:6 132:13,17 133:3,8,15 139:12 140:6 145:3 147:11,14,17 148:8 152:16 153:10,20 155:16 158:10 159:12	162:3,9,14 163:4 164:12,15 165:10 166:1,6,12,14,16 168:9,11,22 169:5 169:8,18 170:21 171:9,18,22 172:3 172:9 173:15,21 174:1,5,9 175:3,4 175:7,17 177:17 177:22 178:11 179:17 180:9,11 180:15 181:3 182:16 184:4,19 184:22 187:11 188:15 189:13 190:13 191:2,9 195:1 196:5,7,11 199:7 206:18 208:12,15 215:16 217:17,19 219:10 220:17 224:20 229:6 232:18,19 240:11 248:14,15 250:16 254:11,13 <b>sake</b> 196:3 <b>sale</b> 3:11 31:10 58:21 94:7 150:2 150:10 177:21,22 185:14 186:12 187:6 191:7,21 193:4,5 200:15 201:21 207:21 209:10 222:5 <b>sales</b> 69:4 161:10 <b>samsung</b> 133:20 <b>satisfy</b> 67:12 <b>save</b> 49:1 52:3 70:18 71:1 218:20 <b>saved</b> 5:14 73:3 218:19 <b>saving</b> 5:8 <b>saw</b> 147:10 153:15 231:13,21	<b>saying</b> 78:16 79:11 90:12 100:4 107:21 128:1 129:22 140:13 161:19 162:4 166:15 177:12 181:12 184:1 195:16 201:13 210:3 211:21 213:21 221:17 222:1 225:4 <b>says</b> 111:22 112:19,19 164:1 166:5 169:7 184:6 204:20 215:3,15 230:21 231:1 234:10 <b>scalable</b> 52:11 <b>scale</b> 112:5 <b>scaling</b> 176:20 <b>scant</b> 46:22 <b>scenario</b> 171:3 173:9 209:20 <b>scenarios</b> 54:14,16 144:11 180:1 217:20,22 <b>schedule</b> 92:21 <b>scheme</b> 100:19 230:1 <b>scholar</b> 95:14 <b>school</b> 95:12,13,15 <b>school's</b> 228:4 <b>schooner</b> 230:14 <b>science</b> 95:9 135:6 155:13 190:3 196:14 228:1 <b>sciences</b> 126:19 <b>scientist</b> 189:15 <b>scientists</b> 203:8 <b>scipol</b> 190:4 <b>scope</b> 101:9 <b>scores</b> 158:6 <b>scribner</b> 2:14 61:21 64:1 74:9	75:4,17 83:20 <b>scrutiny</b> 65:15 <b>scullion</b> 2:5 18:22 19:2,3 <b>se</b> 172:3 <b>sealed</b> 60:17 <b>sean</b> 2:22 45:9 126:8 130:8 134:16 146:1 154:2 156:8 197:5 <b>sean's</b> 133:4 <b>season</b> 50:20 <b>seat</b> 5:13 42:17 258:16 <b>second</b> 4:13 10:14 37:11 55:1 62:9 88:13 93:3 111:12 117:13 170:13 235:11 237:7 <b>secondly</b> 67:15 81:16 <b>secretary</b> 6:11 93:6 125:17,18,21 126:5 127:18 130:20 137:14 139:13 142:9 150:13 153:19,22 154:1 <b>section</b> 3:5 8:9 10:14,15 11:8 14:4,5,8 22:3,17 24:3 31:7 33:2 35:3 39:17 40:3 40:17 41:14 43:8 49:18 58:12 93:21 106:18 132:11 145:4 168:1 248:17 <b>sector</b> 38:19 44:13 183:13 199:8 <b>sectors</b> 167:20 171:6 172:13 196:17 198:9 205:12
---	---	---	--

<p><b>secure</b> 200:19  <b>securities</b> 172:4  <b>security</b> 62:5  87:12 94:22 191:2  199:7 200:21  <b>see</b> 15:4,16 23:7  50:8 57:5,12 59:5  71:22 79:4 86:10  88:22 92:22 99:17  99:18 100:20  106:5 108:9 140:1  140:8 157:14  161:9,13 166:14  167:20 172:13  180:10 185:4  186:3 187:9  192:11 198:7  206:19 207:1,3  212:11 216:22  221:19 230:14  232:22 233:3  238:21 243:20,21  244:19 251:22  256:6  <b>seeing</b> 76:9 87:15  99:3 135:19  139:11 143:18  152:19 198:6,13  203:7 212:9  <b>seek</b> 14:3 18:10  22:6 26:14 115:7  206:3 246:15  <b>seeking</b> 13:6 26:1  149:18  <b>seeks</b> 33:12  <b>seen</b> 22:14 38:9  45:21 47:11 70:20  85:4 96:16 106:11  117:10,10 118:11  130:22 135:7,8  154:16,18 195:8  203:16 209:16  221:4 230:12</p>	<p><b>sees</b> 80:6 230:10  <b>seize</b> 150:8  <b>seizures</b> 150:7  <b>selected</b> 117:12  156:11  <b>self</b> 7:6 22:8 31:14  48:9 49:15,21  50:4 63:13,21  64:10,15,20 65:3  74:13 80:10 82:1  82:11,21 96:2  97:5 101:13  102:10 108:1,1,12  108:15 109:1  110:2 112:12  114:9 117:16  123:2 124:8 156:1  156:11 176:9,11  208:9 214:12  <b>selfishly</b> 105:17  <b>sell</b> 108:16 160:11  160:16  <b>senate</b> 95:8  <b>senator</b> 63:3  151:20  <b>send</b> 7:1 207:1  <b>senior</b> 27:13 95:10  158:20 189:15  190:10 227:11  <b>sense</b> 25:21 56:10  73:9 74:3 98:3  104:18 118:17  183:11 191:22  217:9 229:11  241:10 243:3  247:1 254:21  <b>senseless</b> 12:15  <b>sensible</b> 248:20  <b>sensitive</b> 26:19  <b>sensor</b> 55:22  202:17  <b>sensors</b> 37:17  <b>sent</b> 139:3</p>	<p><b>sentence</b> 188:21  <b>separate</b> 82:17  117:19 188:17  <b>separating</b> 234:4  <b>september</b> 4:17  7:10  <b>series</b> 4:14 8:5  72:6 116:11  <b>serious</b> 64:8 81:7  91:4,19 127:14  156:13 164:18  207:17 210:5,6  <b>seriously</b> 69:16  257:10  <b>serve</b> 27:13 52:14  258:13  <b>served</b> 62:18 63:1  63:3 95:3 115:10  125:17,18 127:5  158:3,22 228:3  <b>server</b> 53:9 256:15  <b>serves</b> 39:8 96:5  124:22 126:6,9  130:14 158:19  228:2  <b>service</b> 98:22  137:18,18,19,22  138:11  <b>services</b> 53:5  96:13  <b>serving</b> 4:9 109:12  <b>session</b> 3:5 13:6  13:15,16 14:3,6  14:14 58:8,11  100:10 130:7  156:22 246:6  257:21,22  <b>sessions</b> 14:12,19  57:19 179:17  191:14 193:11  258:11  <b>session's</b> 58:15  <b>set</b> 9:14 15:8 27:1  29:13 37:6 47:7</p>	<p>53:22 69:6 73:14  78:7 84:15 93:3  97:17 100:1 114:8  125:1 137:10  147:12,13 154:15  155:1,9,11 185:13  185:17 237:9,19  238:7,8 247:9  248:20  <b>setting</b> 48:17 49:6  51:10 69:12 106:4  107:8 118:15  124:13 146:16  <b>settled</b> 130:18  <b>seven</b> 143:9  189:10  <b>severity</b> 92:6  103:12 219:13  <b>shack</b> 107:7  <b>shaking</b> 154:16,17  <b>shame</b> 251:15  <b>shape</b> 199:10  <b>share</b> 32:20 35:1  56:13 107:12  174:2 204:1  <b>shared</b> 20:14  143:6  <b>sharing</b> 67:16  161:14 190:22  <b>sheridan's</b> 97:20  <b>she'll</b> 15:10  <b>she's</b> 157:11 159:3  <b>shield</b> 101:21  <b>shift</b> 67:5 81:8  82:12 83:1,2  118:18 121:17  <b>shifting</b> 67:1  <b>shils</b> 227:22  <b>shladover</b> 2:6  158:12 164:8  168:18 172:14  178:2 179:11  180:22 183:18  228:19</p>
--	---	--	---

<p><b>short</b> 39:12 48:12 53:22 138:19 141:16 188:20 224:20 229:22</p> <p><b>shot</b> 85:21</p> <p><b>shouldn't</b> 48:21</p> <p><b>shoved</b> 253:10</p> <p><b>show</b> 17:8 83:22 164:11 165:4,9 238:21</p> <p><b>shown</b> 179:19</p> <p><b>shows</b> 122:2 156:10</p> <p><b>sic</b> 84:15 198:14 223:21</p> <p><b>side</b> 47:13 103:10 107:18 116:14 132:20 135:4,22 139:11 144:18,20 144:22 145:2 147:19 163:11 172:1 193:21 211:7</p> <p><b>sides</b> 152:6</p> <p><b>sig</b> 114:16</p> <p><b>sight</b> 212:17</p> <p><b>sigma</b> 114:16</p> <p><b>sign</b> 117:5</p> <p><b>signal</b> 51:16</p> <p><b>signaling</b> 253:1,9</p> <p><b>signature</b> 259:15</p> <p><b>signed</b> 57:4 118:14</p> <p><b>significance</b> 208:22</p> <p><b>significant</b> 19:13 20:2,8 26:18 37:1 38:18 46:2 63:11 70:18,19,21 71:18 71:19 94:2 96:17 135:2 143:2 152:15 176:6 187:2 199:1</p>	<p><b>significantly</b> 21:13 37:13 38:20 152:21</p> <p><b>signs</b> 15:16 194:20</p> <p><b>silence</b> 15:13 57:17 59:20</p> <p><b>silicon</b> 198:11</p> <p><b>similar</b> 76:15 100:8 105:7 122:8 186:16</p> <p><b>similarly</b> 225:2</p> <p><b>simple</b> 192:14,19 219:18</p> <p><b>simply</b> 51:17 69:15 71:11,16 80:19 81:12 82:22 107:4 120:9 183:12 186:18,20 235:3 242:12 243:1</p> <p><b>simulation</b> 256:16</p> <p><b>simulations</b> 169:6</p> <p><b>simultaneously</b> 67:4</p> <p><b>single</b> 9:13 21:4 40:3,10 43:19 44:14 55:18 107:9 107:10 188:21 199:8,13 213:3 219:7 228:20 238:6,6 239:3</p> <p><b>sister</b> 133:15</p> <p><b>sit</b> 153:5</p> <p><b>sitting</b> 42:16 258:15</p> <p><b>situated</b> 80:14</p> <p><b>situation</b> 48:7 72:7,9 139:16 149:6 221:8 233:5 239:9,21</p> <p><b>situations</b> 30:14 54:6 78:5 140:3 233:14</p>	<p><b>six</b> 14:11 58:17 59:2 143:9 175:13</p> <p><b>sixth</b> 223:9</p> <p><b>sized</b> 237:20</p> <p><b>skills</b> 259:7</p> <p><b>skip</b> 76:13 85:21 162:17</p> <p><b>slater</b> 2:21 125:16 125:18,21 126:5 127:18,19,22 137:14 139:13,17 141:5 142:15 145:8 150:13,18</p> <p><b>sleeper</b> 157:16 160:20</p> <p><b>slight</b> 152:19</p> <p><b>slightly</b> 73:11 162:21</p> <p><b>slow</b> 49:4 52:2 64:11 102:5 147:5</p> <p><b>slowdown</b> 218:16</p> <p><b>small</b> 99:4 119:10 246:9</p> <p><b>smaller</b> 115:9 238:1</p> <p><b>smarter</b> 110:14,20</p> <p><b>smartphone</b> 199:16 204:17</p> <p><b>smith</b> 2:19 95:11 95:12 97:9,12 100:22 101:11 105:9 109:19 114:19 117:20,22 121:17</p> <p><b>smith's</b> 95:19</p> <p><b>smoke</b> 216:16</p> <p><b>smoothing</b> 245:15</p> <p><b>snacks</b> 16:1</p> <p><b>social</b> 227:8</p> <p><b>society</b> 38:22 95:15 151:14,17 223:3</p> <p><b>sociology</b> 227:4</p>	<p><b>soft</b> 106:14 162:10 203:8,11,20 211:1 253:3</p> <p><b>software</b> 3:11 58:22 89:14 110:5 110:13 113:21 116:22 124:11 185:15 186:12 187:8,12,22 188:3 188:6,10,13 195:18 198:12 202:7,10 207:16 209:1,21 211:7 212:5 214:15,18 217:11 222:20 232:20 234:7 254:17,20,22</p> <p><b>softwarization</b> 198:14</p> <p><b>sold</b> 192:9</p> <p><b>solid</b> 47:14 155:12</p> <p><b>solution</b> 234:16 248:9</p> <p><b>solutions</b> 18:10 52:11 55:21 225:21,21 227:6 248:8</p> <p><b>solve</b> 163:19 183:6 250:7</p> <p><b>solved</b> 234:17</p> <p><b>somebody</b> 138:21 197:15</p> <p><b>someone's</b> 66:8</p> <p><b>somewhat</b> 35:1 161:6</p> <p><b>son</b> 6:3</p> <p><b>soon</b> 194:12</p> <p><b>sooner</b> 15:19 160:14</p> <p><b>sophisticated</b> 119:10,17 120:10 238:3</p> <p><b>sorry</b> 4:11 195:20 217:9 218:17</p>
--	--	--	--

<p><b>sort</b> 60:16 63:9 64:5 65:11 68:7 70:8 73:14 80:3,7 80:9 94:10,16 102:14,15 104:21 112:16 118:15 120:1 123:3 124:7 128:4 140:8 150:7 151:6 171:14 179:8 182:18 183:1 191:7 198:13 199:18,22 200:10,16,17 201:12,19 203:8 203:10,11 204:1,4 208:9 225:15 228:13 229:3,22 230:15 233:22 234:12 236:2 238:7 240:19 241:2 242:14 243:16 245:3 247:9 256:22</p> <p><b>sorts</b> 112:18 203:16 239:17</p> <p><b>sought</b> 129:20</p> <p><b>sound</b> 187:18</p> <p><b>sounded</b> 241:20 255:20</p> <p><b>sounds</b> 124:5 182:14 256:3,9</p> <p><b>soup</b> 66:14</p> <p><b>source</b> 43:20 222:9</p> <p><b>south</b> 95:14</p> <p><b>space</b> 50:12 54:3 56:8 133:17 151:22</p> <p><b>sparingly</b> 241:22</p> <p><b>spaulding</b> 158:3</p> <p><b>speak</b> 16:14 102:2 125:3</p> <p><b>speaker</b> 89:3 125:3 127:21</p>	<p><b>speakerphones</b> 128:4</p> <p><b>speaking</b> 8:19 38:15 85:19</p> <p><b>spearheading</b> 158:4</p> <p><b>special</b> 118:15 127:8 139:3 248:18</p> <p><b>specializes</b> 190:12 228:5</p> <p><b>specially</b> 22:3</p> <p><b>specialties</b> 227:1</p> <p><b>specific</b> 13:7 14:4 40:12 74:11,11 86:7 98:7,8 99:19 106:7 114:21 121:18 122:10,16 161:4 172:2</p> <p><b>specifically</b> 8:10 102:6,17 126:4 137:1 192:3</p> <p><b>specifics</b> 20:13 128:3</p> <p><b>specify</b> 81:18</p> <p><b>spectrum</b> 97:15 205:3</p> <p><b>speech</b> 190:13</p> <p><b>speed</b> 7:1 33:9 55:17 222:14</p> <p><b>speeding</b> 92:16</p> <p><b>speeds</b> 55:22</p> <p><b>spend</b> 217:19</p> <p><b>spending</b> 169:15</p> <p><b>spent</b> 95:7 189:10 199:11</p> <p><b>spoken</b> 115:15</p> <p><b>spot</b> 97:7</p> <p><b>spread</b> 91:7</p> <p><b>spreadsheet</b> 203:19</p> <p><b>squire</b> 125:22</p> <p><b>stability</b> 113:11 135:15 187:17</p>	<p><b>staff</b> 15:5 86:12 92:12 95:8 158:22 185:20 215:8</p> <p><b>staffing</b> 99:13</p> <p><b>stage</b> 31:2 75:8,12 85:8</p> <p><b>stages</b> 29:1</p> <p><b>stake</b> 195:21</p> <p><b>stakeholder</b> 17:16 20:11 203:20 253:5</p> <p><b>stakeholders</b> 10:5 25:7 26:13 29:2 33:1 37:8 39:14 44:4,18 47:2 49:10 56:8 253:7</p> <p><b>stalking</b> 233:4 257:2</p> <p><b>stance</b> 72:20</p> <p><b>stand</b> 88:15</p> <p><b>standard</b> 66:17 67:13 74:1,13 79:13 110:3,4 111:1 131:14 135:19 144:22 146:22,22 147:11 214:17 238:19 241:22</p> <p><b>standardization</b> 170:8</p> <p><b>standards</b> 9:14 29:15 33:19 34:5 49:6 51:4 61:4 64:13 66:16,20 67:6,8 70:10 73:2 73:9,20 75:15 76:16 77:1 78:17 78:18 79:1,9,18 82:7,13,14,15 121:18,19 131:12 136:7,9,11 145:17 147:14 160:12 162:14 163:3 166:2,6,8 170:21</p>	<p>171:5 172:3 173:3 176:16 187:10,19 187:21 196:1,12 196:16,19 197:14 208:12,14 218:21 222:2,2,4,4,5 225:6 233:12 234:18 235:9 238:16 246:3</p> <p><b>standpoint</b> 80:8 181:21 195:13 245:11</p> <p><b>stanford</b> 95:15</p> <p><b>stark</b> 210:3</p> <p><b>start</b> 5:6 16:18 93:15 96:19 98:10 111:1 147:14 156:21 157:21 160:18 168:16 175:5 188:18,19 191:6 193:1 207:8 232:2 233:5 234:3 253:3 254:18</p> <p><b>started</b> 4:3,18 16:17 58:5 59:17 59:22 67:17 73:11 128:1 147:9 157:4 175:4 215:18 223:12 247:2</p> <p><b>starting</b> 39:8 96:20 163:21 198:17 215:22 216:2 234:13</p> <p><b>startup</b> 99:10</p> <p><b>startups</b> 117:18 117:19 118:2 119:21</p> <p><b>starved</b> 151:8</p> <p><b>state</b> 3:6 7:16 8:15 9:4,5,15 13:7 14:1 17:19 19:16 20:3 22:15 23:12,13,16 23:18 25:11 28:8 28:14 29:10,18</p>
---	--	--	--

<p>31:19 32:5,21  33:5,14,18,22  34:5,8 35:3,7,20  36:7,9,19 37:20  39:5,21 40:8,22  41:2,3 42:4,8  43:14,22 44:11  45:19 46:3,4,6  49:18 50:10,11  51:1,16,22 52:1,4  52:9 53:14 54:1,4  54:6,15,17 57:2  57:15 58:8 83:9  85:4 106:11,15,20  208:3,7 227:18  243:14 248:5  256:1,1 257:21  <b>stated</b> 28:20 91:13  236:18  <b>stateliness</b> 9:12  33:8  <b>statement</b> 20:1  41:19 42:3 49:17  95:4 207:9 208:1  208:5  <b>statements</b> 20:17  21:17 22:2 87:6  104:17  <b>states</b> 8:17,21 9:7  9:15 10:4,6 13:8  13:22 17:20 18:2  18:4,9,13 20:20  20:21 21:6,18,21  22:5,10,18 23:3,8  24:3,19 25:1,12  26:14 28:13,17  29:16,22 32:2  33:15 34:1,9,13  34:17,20 35:3,10  35:16 36:4,10,12  36:18 37:6,11  39:7 40:4,13,19  41:14 42:1 43:15  43:16 44:5 45:20</p>	<p>47:21 48:21 50:13  50:19 51:8,12  52:12 53:3,10,13  54:8,13,18 55:4,6  55:8,14,20 56:12  95:8 118:11 121:4  181:13 208:6,8,11  211:18 243:13  244:2 255:16  <b>states'</b> 35:2  <b>state's</b> 106:17  208:17  <b>static</b> 99:2 229:8  230:1  <b>stating</b> 45:5  <b>statistically</b>  174:18  <b>statistics</b> 174:15  174:18,22 175:2  <b>status</b> 108:14  217:8  <b>statute</b> 149:8  150:14 160:22  161:18  <b>statutes</b> 10:20  <b>stay</b> 7:22 156:2  <b>stayed</b> 258:9  <b>stead</b> 82:5  <b>steer</b> 175:22  <b>steering</b> 176:1  <b>stengel</b> 224:14  <b>step</b> 19:17 22:16  35:3 145:19 152:9  220:3 233:18,18  233:18  <b>steps</b> 8:1,3 14:20  36:17 108:15  135:13 232:3,12  <b>steve</b> 2:7 158:12  158:18 162:16  164:7 168:16  178:13 184:6  185:16 186:6,8  198:3 209:16</p>	<p><b>steven</b> 2:6 170:4  <b>steve's</b> 168:13  179:10  <b>stick</b> 111:14  142:20 143:13,16  153:9 204:22  236:8  <b>stickland</b> 113:2  <b>stool</b> 138:5  <b>stop</b> 150:2 175:20  217:2 220:7,10  <b>stopping</b> 7:2  150:10 175:21  <b>stopple</b> 123:13  <b>stops</b> 175:20  <b>stories</b> 218:6  <b>straddling</b> 98:10  <b>straight</b> 163:22  <b>straightforward</b>  24:12 54:2  <b>strange</b> 205:13  <b>strategies</b> 126:10  228:7  <b>strategy</b> 158:9  249:9,10 254:9  <b>strawman</b> 105:10  <b>street</b> 227:11  255:10  <b>streets</b> 49:16  <b>street's</b> 227:12  <b>strickland</b> 2:11  49:12,14 94:19  95:2,7 97:7,11  100:15 101:1  107:20 109:14,21  117:3,21 118:20  122:22  <b>strickland's</b> 94:19  <b>strict</b> 174:12  254:13  <b>strike</b> 74:5 222:19  <b>strikes</b> 71:8  <b>string</b> 254:15</p>	<p><b>stringent</b> 255:22  <b>strong</b> 5:11 21:17  33:18 50:22 189:8  <b>strongly</b> 21:20  25:6 29:16 35:15  52:13 188:16  <b>structure</b> 48:5  100:17 161:1  164:1 176:15  177:16  <b>structured</b> 58:17  <b>struggle</b> 198:8  199:7  <b>struggles</b> 112:10  <b>struggling</b> 210:17  <b>stuck</b> 16:21  <b>studied</b> 141:17  <b>study</b> 18:11,15  44:4 228:5  <b>stuff</b> 179:1  <b>style</b> 13:16  <b>stymie</b> 224:20  <b>subaru</b> 19:8  <b>subject</b> 51:18  111:8 186:11  188:14  <b>submission</b> 26:15  <b>submit</b> 45:7 71:13  <b>submitted</b> 43:17  <b>subsequent</b> 111:8  249:5  <b>subsetting</b> 86:8  <b>substance</b> 225:4,7  <b>substances</b> 238:18  <b>substantially</b>  76:19  <b>substantive</b>  184:18  <b>substitute</b> 35:11  <b>subtext</b> 232:22  <b>success</b> 52:8 218:6  <b>successful</b> 102:20  135:17</p>
---	--	---	--

<p><b>sudden</b> 195:17  <b>sued</b> 205:14,15  <b>sufficient</b> 11:1  83:15 115:5 197:8  <b>sufficiently</b> 36:2,8  <b>suggest</b> 54:12  55:17 240:22  <b>suggested</b> 24:7  166:21  <b>suggesting</b> 35:5  53:22 211:7  <b>suggestion</b> 18:18  44:2  <b>suggestions</b> 13:12  14:8 22:4  <b>suggests</b> 10:6  18:15 33:8 43:13  <b>suitably</b> 26:2  <b>suited</b> 56:6  <b>summary</b> 44:7  <b>summing</b> 224:12  <b>sunset</b> 241:20  242:3 243:6 244:8  244:17 245:11,20  246:4 248:7  249:12,17  <b>sunsets</b> 241:3  242:4 243:8  244:11 245:16  <b>super</b> 156:13  <b>superior</b> 73:9  <b>supplant</b> 78:17  <b>supplement</b> 19:11  190:17  <b>supplemented</b>  11:7  <b>supplier</b> 31:21  <b>suppliers</b> 19:6  27:16 28:1 162:9  166:22 167:1  <b>support</b> 17:17  18:19 20:17 33:14  44:8 45:1 50:4  62:12,13 90:9</p>	<p>231:14,22  <b>supported</b> 130:17  <b>supportive</b> 26:10  129:12  <b>supports</b> 28:5  44:2  <b>suppose</b> 235:1  <b>sure</b> 15:10 46:13  81:1 86:7,18 88:3  89:12 105:9,9  113:12 114:11,14  119:1 120:3  123:12,17 130:6  130:10 139:17  146:6 155:9 170:2  175:20 179:18  182:15 191:11  198:2 207:5  236:16 249:3,15  250:4  <b>surgeons</b> 213:19  <b>surgery</b> 213:15,16  214:1,1  <b>surprise</b> 78:13  126:1  <b>surrounding</b> 13:9  <b>surveillance</b> 204:5  222:21 227:2  <b>susceptible</b> 225:20  229:4  <b>suspect</b> 50:18 81:5  90:15  <b>sustainability</b>  19:21  <b>sutcliffe</b> 227:14  <b>suture</b> 214:2  <b>swath</b> 53:15  <b>sweet</b> 2:17 15:1  88:13 89:4,10  117:13 145:11  153:17 185:6,11  258:14  <b>swiss</b> 68:7</p>	<p><b>switched</b> 216:8  <b>system</b> 6:16 22:16  30:21,22 55:8  80:21 97:2,5  100:7 107:16  111:22 115:1  122:4,6 123:1,2  152:16 154:15  155:1 169:1 174:6  176:11 179:18  180:6 190:7  232:18 245:1,7,9  245:15 247:3,18  249:20 255:21  <b>systems</b> 9:3 23:10  27:18,21 29:7  30:12 31:22 36:22  37:3,13,18 55:7  68:22 69:10 89:13  93:21 97:4 108:14  115:22 116:3  121:12 135:16,20  144:6 147:16  158:16,17 187:11  187:17,21 188:1,9  188:11 190:2,21  191:18 206:2  237:11,21 240:3  240:15 249:2  254:22</p>	<p>104:19 106:20  125:6,14 127:12  128:21 133:14  134:19 135:12  144:3 147:1  148:11 149:17  156:21 163:11  168:22 170:2  176:11,13 177:3,9  180:3 201:13  204:7 228:13,21  235:3 241:12  254:1,3 255:5,6  <b>taken</b> 12:16 19:17  69:16 73:21 75:18  75:20 76:16 83:12  114:13 123:18  157:1 259:3,9  <b>takes</b> 30:15 77:11  148:19 154:4  174:12 175:12  241:13 257:1  <b>talent</b> 151:5 153:3  153:4  <b>talented</b> 152:6  <b>talk</b> 46:8 60:12  73:1,18 77:18  91:18 94:15  101:18 105:12,13  118:2 125:10  127:10 129:18  130:6 132:1 163:3  163:9 171:4  177:14 192:4  214:4 226:2  <b>talked</b> 48:2 72:1  122:18 145:13  148:20 150:22  151:2 162:7 178:3  183:8 191:13  210:19 213:19  218:13 235:18  252:20 253:2</p>
		<p><b>t</b></p>	
		<p><b>t</b> 3:1,1  <b>table</b> 15:8 153:5  <b>tagged</b> 99:17,19  <b>tailor</b> 242:19  <b>takata</b> 131:8  142:3  <b>takata's</b> 142:4  <b>take</b> 9:11 14:22  30:13 34:6 35:15  36:18 44:17 49:3  50:22 55:4 59:20  79:3 85:19 89:22  91:1 92:4 93:16</p>	

<b>talking</b> 70:4 75:22 101:3,11 102:14 105:7 107:14 108:21 112:10 151:18,19 152:14 161:12 166:4 170:11 171:9 172:3 176:20 177:5 179:1 191:12 193:1 197:8 207:16 208:20,22 215:2 215:19 218:15 225:3 226:4 229:21 230:16 232:17,20,21 234:2,5 246:21 256:10	23:11 27:21 28:2 28:10,20 35:5,10 39:2 50:6 52:8 53:17 62:7 65:9 74:4 90:2 102:5 102:18 107:19 116:7 135:13 137:5,7 141:10 142:6 190:20 191:3 198:10 203:9,18,21 204:18 205:4 210:6 237:11 242:5 247:12,13 248:21 253:4	227:5 230:3 242:7 242:18 247:21 <b>technology's</b> 76:9 123:8 235:20 <b>telephones</b> 133:20 <b>tell</b> 58:16 100:4,5 <b>telling</b> 230:19 <b>tells</b> 230:15 <b>temperature</b> 120:1 <b>template</b> 26:9 <b>temporal</b> 184:13 184:13,19 <b>temporally</b> 161:17 <b>temporarily</b> 23:20 <b>temporary</b> 138:19 <b>ten</b> 73:22 74:2 76:16 <b>tenable</b> 34:20 <b>tended</b> 237:9 <b>term</b> 20:8 26:1 39:12 40:15 102:15 114:11 138:19 245:4 <b>termination</b> 122:5 <b>terms</b> 43:6 44:14 46:12 48:10 92:10 92:11 101:10 110:18 114:9 155:13 161:10 168:7 170:8 171:7 171:15 173:14 178:9 184:3 187:20 204:11 208:2 218:14 219:1 233:11 235:18 245:2	113:19 114:10 165:16 166:9,16 169:4 173:9 176:5 178:16,18,19 180:3,3,7,8 181:20 182:3 206:15 228:20 229:2,5,8,12 235:4,6,14 236:3 236:7,12,15 237:2 237:5,18,19,22 238:6,6,19 239:2 239:3,5,13 252:11 254:8 255:5,6 256:5,12 <b>testament</b> 132:21 141:4 <b>tested</b> 29:8 30:2 34:14 44:9 72:5 173:5 255:7 <b>testers</b> 30:19 <b>testified</b> 126:18 <b>testimony</b> 126:20 166:15 <b>testing</b> 9:7 10:8 13:1 14:9 21:19 22:5,7,8,11,19 23:9,14 24:20 26:16 28:19 29:20 32:1,4 36:13 40:1 43:18 44:15 52:15 56:2 60:21 61:4 118:21 121:22 161:15 162:4 165:8,20 166:11 166:17 169:4,5 170:17,20 173:11 176:8 221:7 222:4 228:14,15 229:10 230:1 235:2 236:18,21 237:12 239:21 240:20 251:19 255:13
<b>talks</b> 123:3 <b>tandem</b> 39:22 41:10 <b>tap</b> 186:4 <b>targeted</b> 137:22 <b>targets</b> 21:10,13 <b>task</b> 43:1 113:17 224:9 <b>tasks</b> 103:17 <b>taxi</b> 138:3 <b>teach</b> 189:22 <b>teaching</b> 96:1 <b>team</b> 4:9,10 15:1 158:21 258:13,13 <b>tease</b> 64:6 191:2 <b>tech</b> 84:19 <b>technical</b> 13:18 15:20 16:11 34:18 35:12 54:5 57:15 77:1 164:19 169:21 250:8 <b>technically</b> 139:19 <b>techno</b> 226:21 <b>technologies</b> 6:13 7:4,5,13,19 10:18 11:12 13:2 21:22	<b>technology</b> 12:3 19:14 21:15 26:5 29:3 33:3 38:20 39:21 40:18 41:10 44:16,19 45:3 48:16 49:21 52:3 64:13 65:12,14 71:1 73:2 76:2 77:19 78:2,3,11 81:9,10,11,22 85:8 95:16,21 102:12 108:5,7,8 108:11 110:7,13 112:15,18 123:13 138:20 139:1 140:12 156:3 158:14 161:3 163:18 167:19 172:22 174:16,17 174:19 190:10,12 191:1 195:21 196:4,4,13,22 197:4 199:9 200:9 200:11 203:5 205:9 207:9 209:20 212:9 215:11 216:5 218:20 223:1 225:13 226:22	<b>tesla</b> 27:10 57:9 202:16 <b>test</b> 5:19 21:14 22:21 23:4,6 29:12,22 30:6,7,9 30:11,20 31:4,9 32:6,11 72:5	



<p><b>tests</b> 72:6 78:1,8,9 113:8 178:21 235:13 237:8 238:3 255:8</p> <p><b>texas</b> 50:16</p> <p><b>text</b> 7:1</p> <p><b>thank</b> 4:12 5:21 12:9,12,13 18:21 18:22 27:7,9 32:13 37:21 38:4 38:5 45:4,8 49:10 49:11,14 52:18,21 52:22 53:18 56:20 58:1 70:13 71:17 90:5,6 93:13 97:9 97:11 103:1 104:16,22 117:9 124:5,11 125:8 127:19 130:8,10 132:7 134:13 136:20 156:18,22 160:19 185:3,12 189:20 197:22 198:3,3 208:18 223:7 229:16,16 234:22 240:18 253:18 258:7,17</p> <p><b>thanks</b> 5:6 12:11 32:20 44:21 56:22 60:5 65:18 69:19 76:12 83:5 92:19 92:20 97:16,16 124:12 127:19 157:13 159:6 228:10,11 250:10 256:7 257:8,9,13 257:15</p> <p><b>that'll</b> 125:4 180:1 186:4</p> <p><b>that's</b> 4:7 6:3,21 6:22 8:11 57:3 61:2,7,9 65:8 69:8 69:15 70:3 71:19 72:17,22 76:5</p>	<p>77:19 78:13,14 80:15 81:5 82:19 82:22 85:14,15 88:8 89:7,17,18 92:10 96:6 97:9 98:19 100:8 102:1 104:5,13,15 106:6 107:5,18 111:9 113:19 114:7,17 114:18 115:18 116:4 118:2 119:15 122:13,20 134:20 135:5 138:22 141:19 142:5 143:11 145:7,8 149:22 150:3,11 152:5 156:16 160:22 162:18 164:16 165:21 166:21 168:3 170:9,12 172:12,18 175:4 178:10,13,16,21 181:21 182:2,3,15 196:6 197:21 198:15 199:8 200:4 201:8 205:11,21 207:21 209:15 210:8,12 211:8 212:1 214:13 215:10 217:17 220:1 221:5 230:15 231:1 236:16,18 238:8 243:8,19 246:12,19 248:2,2 249:11,14,21 251:6 252:2 255:7</p> <p><b>theirs</b> 195:14</p> <p><b>there's</b> 6:19 15:18 15:21 25:13 42:7 67:18 68:5,8 71:17 73:7 74:15 75:11 77:8,11</p>	<p>81:1 82:9 83:18 83:21 85:7 86:13 90:18 92:1,16,17 108:7 113:7,18 116:18 121:10 131:2 135:7 137:2 140:19 148:3 155:9,10 156:9 159:12 161:16 166:3,17 167:3,17 192:12 194:21 195:8 197:3 198:22 200:20 202:10 205:8 207:14 210:5,14 210:22 211:13 219:9 222:8 231:2 231:7 232:11 233:19 236:17 240:5 242:22 245:5 246:5,12 248:22 249:16 253:12,13 256:20</p> <p><b>they'd</b> 88:11</p> <p><b>they'll</b> 121:11 185:21</p> <p><b>they're</b> 15:6,17 47:4 48:8 59:2 61:6 72:8 78:3,20 80:9 82:3 86:8,21 90:4 112:22 118:13 133:5 145:5 147:18 148:5 161:15 163:18 166:9 169:15 196:17,20 200:6 201:22 204:19 212:16 213:11 225:20 255:7</p> <p><b>they've</b> 71:18 133:18 134:3 179:21 204:17 209:18 213:7</p>	<p>217:3</p> <p><b>thierer</b> 2:9 190:9 190:18 198:2 203:4 209:15 214:20 217:13</p> <p><b>thing</b> 70:4 72:18 76:5 81:18 85:1 102:4 116:9 119:14 121:9 128:4 142:20 146:7 151:3 159:15 169:19 174:14 178:2 182:21 189:12 191:22 194:14 199:19 200:19 201:8 205:5,11,21 209:16 241:11 245:16 248:16</p> <p><b>things</b> 49:4 60:14 66:7 67:4 69:20 73:17 77:13,20 81:8,12 90:11,13 92:17 93:12 98:21 101:14,14 104:9 104:10 110:5,21 111:5 113:12 116:21 120:4 122:16 123:4 130:5 131:1,11,16 131:20 135:4,11 137:9 141:7 142:11 144:5 146:16 151:2 155:14,17 159:8 159:13 170:20 173:3,5 175:9 177:6 181:19 192:4,7,8,11 197:11 199:2,12 203:16 205:18 206:21 210:4 211:8 212:15,21 215:11 219:1</p>
---	---	--	---

221:15 224:13,17 225:15,17 226:1,6 229:3 238:4 240:12 244:7 250:2 257:12 <b>think</b> 26:16 39:7 47:10 48:12 49:9 55:16 59:19 61:12 63:8,16,16 64:1,2 64:7,19 65:10,21 69:22 70:8,15 71:7 73:6,12 74:1 74:9,10,15 75:1,4 75:8,10,18,19 77:13,16 78:6,14 78:19,19,20 79:9 80:1 81:16 83:3 83:17,21,21 84:1 84:4,11 85:1,13 85:14 86:3,4,11 87:3,5,15 89:6,22 91:17 92:20 96:6 96:19,22 97:2,22 100:19 101:3,16 101:16 102:1,8,20 103:14 104:3,3,13 104:16 105:2,2,3 105:4 107:21 108:13,20,20 109:2,4,4,17,18 109:22 110:6 111:12 112:13 113:2 114:7,17,18 116:6,9,15 118:22 118:22 120:1,7,12 120:19,21 121:3 123:2,11,14 124:2 125:13 128:11,22 129:9,18,20 130:2 130:11,14,20 131:2,7,20 132:1 132:5,9 133:13 134:8,21 135:1,11 135:16 136:5,17	136:22 137:2 138:21,22 139:18 139:21 140:3,15 140:17 141:7,8,12 142:7,16 143:2,18 144:19 145:9 146:8,11,15 147:4 148:3 149:3,11,21 150:6,10,12,19 151:8,21 152:8 153:1,3,11,15 154:8,16 155:17 155:20 156:8,16 156:17 159:17 160:3,5,6,18,20 162:16,21,22 163:6,12,17,22 164:3,8 165:11,14 165:14,19 167:8 167:17,21 168:8 168:13,18 169:19 170:12 171:1,3,11 172:1,12,14 173:2 173:13,16 175:10 177:13,14 179:11 179:15 180:5,9 181:14,21 182:5 182:12 183:11,18 186:14 187:4,20 188:16 190:19 191:13 193:9,10 195:22 196:5,11 197:2,3,5 198:6 201:9,22 202:9 203:7 205:21 207:12,14 208:3,8 209:5,16 210:22 211:15 212:8 213:8 214:3,14,21 215:1,14 221:5 222:16,16 224:13 225:2,18 226:9 228:17,18 230:3 231:5 232:1,1,12	234:3,14,15 235:22 236:7,11 236:13,16,17,19 237:5,7 238:5,8 239:17,19,19,22 240:10,18 241:6 241:11,20 242:17 243:2 244:12,21 245:5,7,11,17 246:16 247:10 248:1,7,7 249:8,9 249:10,20 251:6 251:18 252:18 253:2,7,14 254:20 254:21 255:12 257:11,12 <b>thinking</b> 60:14 61:12 80:11 82:17 84:1,16 98:19 110:2,3,4 150:20 156:12 161:21 171:17 178:14,15 185:3 208:2 239:18 246:18 248:3 253:11 255:3,12 <b>third</b> 68:13 115:19 124:13 127:21 170:22 214:11,16 <b>thomas</b> 2:4,8 17:4 38:1 <b>thoreau</b> 139:22 <b>thou</b> 200:1,16 201:20 <b>thought</b> 64:6 80:13 139:21 159:14 205:12 224:11 225:16 232:5 <b>thoughtful</b> 100:16 123:16 257:11 <b>thoughts</b> 64:6 83:12 130:9 150:14 236:10	255:18 <b>thousands</b> 5:14 52:3 220:18 <b>thread</b> 73:7 <b>threat</b> 209:20 <b>threats</b> 172:15,16 179:20,21 <b>three</b> 67:4 74:1 148:14 157:4,8 161:17 170:3 180:11 213:10 215:5,12 221:15 226:2 233:18 <b>thrilled</b> 157:14 160:20 <b>thrive</b> 245:8 <b>throw</b> 73:17 134:16 <b>tickets</b> 92:16 <b>ticking</b> 60:15 <b>tie</b> 103:19 175:8 175:11 214:1,1 <b>tied</b> 6:21 <b>tier</b> 167:1,1 <b>tiered</b> 154:9,15 155:1 <b>tiering</b> 156:9 <b>tim</b> 92:22 93:19 <b>time</b> 7:15,22 9:20 12:19 15:12 32:10 34:4 39:3 46:18 46:22 48:16 57:22 59:8,20 69:14 76:19 81:13 88:7 96:22 98:8 102:19 109:11 123:21 135:9,12,18 137:12 141:8,16 141:21 147:2 148:13,19 152:5,8 153:17 156:17 161:2 162:1 167:12,15,19 191:12 193:4
--	---	---	--

199:11 200:22 201:2 206:19 212:18 215:8 217:20 221:16 229:2 238:2 240:10 241:12 242:11,17 244:5,6 245:21 249:16 253:22 255:7 <b>timeline</b> 163:12 <b>timely</b> 50:11 152:18 <b>times</b> 12:22 68:17 69:17 140:21 143:9 146:2 200:7 201:11 241:18 <b>timing</b> 37:10 <b>timothy</b> 2:18 93:5 <b>tire</b> 126:17 <b>tires</b> 126:15 <b>titled</b> 43:8 <b>titling</b> 36:15 <b>toast</b> 156:5 <b>today</b> 5:7 8:4,13 9:12 10:15 11:20 12:9 14:16 15:4 16:2 18:18 27:8 32:20 38:15 53:21 53:22 56:21 60:12 94:15 106:1 119:13 163:5 164:12,21 165:5 165:10 169:8 170:9,11 174:22 177:13 181:5 182:2 195:19 198:4,7 203:21 204:9 205:6 215:9 223:16 224:10 228:10 235:19 240:9 246:13 257:11,18 258:10 <b>today's</b> 10:20 12:21 13:5 58:8	<b>told</b> 246:1 <b>tom</b> 17:8 96:4,9 97:20 105:5 117:4 <b>tombstone</b> 216:10 <b>tomorrow</b> 160:10 160:17 <b>tom's</b> 105:6 <b>tool</b> 7:14 64:3 65:5 74:11 128:20,20 130:12,13 132:17 136:14,15 138:13 141:18 142:10 148:21 155:8 159:9 228:12 231:6,7 233:10 251:8 <b>toolbox</b> 130:12 131:18 136:14,15 151:2 <b>toolkit</b> 229:20 234:14 252:19 <b>tools</b> 3:11,13 10:15,16 11:1,8 11:11,14 14:4,7 57:19 58:13,21 64:9,22 65:2 74:7 77:4,5,8 81:15 99:11,19 100:1 105:19 131:17 134:9,10 137:7 145:20 148:20 185:14 205:6 214:9,15 223:10 225:3,3,6,7 226:3 226:5 231:11,13 231:20 232:3 249:5,5 250:14,15 257:22 <b>top</b> 12:8 194:10 199:22 247:9 <b>topic</b> 86:9 157:22 186:11 190:16,17 198:7 257:15	<b>topics</b> 60:15,16 86:5 87:4 157:16 186:12 203:5 <b>tort</b> 95:20 207:20 208:2 <b>torts</b> 205:7 <b>toss</b> 136:20 144:17 144:19 248:10 <b>tossed</b> 240:8 <b>total</b> 59:2 161:18 <b>totally</b> 201:17 <b>touched</b> 70:16 110:9 <b>tough</b> 225:19 228:20 <b>toyota</b> 19:7 126:15 131:10 144:5 154:1 <b>toyota's</b> 126:5 144:3 <b>track</b> 169:5 203:22 <b>tracked</b> 43:5 <b>tracking</b> 190:3 <b>trade</b> 19:4 84:13 203:17 <b>tradeoffs</b> 215:16 217:16 219:3 240:7,14 <b>traditional</b> 9:16 17:21 51:9 64:14 81:15 124:8 173:21 174:3 199:1 201:21 203:10 247:1 248:12 <b>traditionally</b> 199:3 <b>traffic</b> 4:5,20 7:8 8:22 9:17 16:21 17:22 21:1 23:21 24:10,12 25:2 30:16 50:1 51:10 173:8 194:22	<b>train</b> 147:5 193:19 202:5 209:6,6 212:20 213:2,7 <b>trained</b> 30:8,12,15 206:3 <b>training</b> 92:15 177:7 193:18 <b>transcriber</b> 260:1 <b>transcript</b> 260:4 <b>transform</b> 6:15 <b>transformation</b> 128:10 <b>transformative</b> 143:2 <b>transition</b> 128:9 <b>transparency</b> 52:19 197:19 <b>transportation</b> 6:10,16 38:18 50:17 62:1,4,7 63:5 93:6 94:21 95:9,17 125:17 126:1 128:7 129:3 129:4 151:16 153:19 158:13,16 <b>transportation's</b> 4:15 <b>traveling</b> 153:10 163:14 171:10 <b>treat</b> 29:7 192:6 254:9 <b>tremendous</b> 170:5 <b>trend</b> 103:12 <b>trial</b> 124:22 201:16 218:1,4,8 221:7 <b>tribute</b> 12:14 <b>trolley</b> 55:16 <b>trouble</b> 231:5 <b>troubling</b> 23:1 <b>truck</b> 137:18 255:10 <b>true</b> 69:8 115:16 117:22 212:22
---	---	---	---

<p>259:6  <b>truly</b> 12:19 92:7                  101:2 149:6  <b>trust</b> 28:11 115:20                  116:3,3,5,6 122:7                  143:9 150:22                  166:4,9 235:19  <b>trusting</b> 239:20  <b>try</b> 59:15 90:14                  92:21 105:17                  128:8 130:3                  148:14 167:8,22                  169:13 173:22                  179:11 206:17,18                  216:22 217:18                  218:12 220:9                  222:22 225:17                  238:9 241:2                  246:15  <b>trying</b> 67:3 91:11                  97:18 103:5 109:7                  116:12 160:11                  163:19 169:16                  173:18 174:15                  177:15 181:22                  183:6 213:7                  222:18,19 230:14                  232:15 249:12  <b>tune</b> 30:21 91:11  <b>turn</b> 53:18 59:20                  65:19 84:12 108:3                  113:11 114:2                  157:12 176:1                  186:2 223:22                  233:7  <b>turned</b> 239:4                  244:3  <b>turning</b> 71:21  <b>twice</b> 67:18  <b>two</b> 8:13 22:16                  59:15 64:5 67:3                  80:16 81:12 103:4                  106:17 111:5                  120:11,13 129:14</p>	<p>148:4 156:4                  159:11 161:17                  163:1 165:20,22                  213:1 233:18                  236:17 237:15                  243:5  <b>type</b> 48:21 72:7                  86:22 92:13 97:6                  98:6 99:21 100:11                  103:13 104:13                  117:16 123:1                  141:15,15,16,16                  230:3 242:11  <b>types</b> 43:9 46:19                  78:4 145:16                  186:19 192:12                  201:21 202:17                  207:5 209:1,12                  210:5 219:15                  222:13 230:2  <b>typewriting</b> 259:5  <b>typically</b> 215:4</p>	<p><b>unaffordable</b>                  165:7  <b>unbundle</b> 210:13  <b>uncertainty</b> 22:12                  24:15 29:1 159:21                  241:2  <b>unclear</b> 20:1  <b>uncomfortable</b>                  212:2  <b>underlying</b> 27:5                  136:9 141:7 145:6                  147:20 230:3                  232:14 233:5,6                  257:2  <b>underpants</b>                  233:17  <b>underpinning</b>                  48:8  <b>underpinnings</b>                  47:12,14  <b>understand</b> 40:8                  86:20 103:8 116:1                  122:2 144:9 164:3                  186:20 189:3                  222:3 225:13  <b>understanding</b>                  35:4,8 47:3 48:10                  48:14 131:15                  136:10 143:22                  172:9 210:10                  233:16 251:2  <b>understands</b> 41:8                  181:10,11 200:18  <b>understate</b> 34:10  <b>understood</b> 14:1                  137:6 139:7  <b>undertake</b> 173:22  <b>underway</b> 251:12  <b>unduly</b> 33:15  <b>unfortunately</b> 6:5                  71:2 85:4 188:20                  223:16  <b>unified</b> 97:17</p>	<p><b>unilateral</b> 149:18                  149:19  <b>unintended</b> 31:20                  126:16 240:21  <b>union</b> 32:16,18,18                  97:6  <b>unique</b> 103:3,6  <b>unit</b> 257:4  <b>united</b> 25:11                  48:21 53:10 95:8                  181:13 211:18  <b>university</b> 95:13                  189:16 190:3                  228:1  <b>unknown</b> 127:21                  197:3 201:13                  207:10  <b>unlimited</b> 241:15  <b>unpredictability</b>                  245:8  <b>unregulated</b>                  146:10  <b>unsafe</b> 138:14  <b>untold</b> 7:3  <b>unwilling</b> 136:18  <b>update</b> 20:5 164:2                  186:20 191:15                  192:15 193:15,20                  194:11 201:2                  207:2 217:12  <b>updated</b> 39:9                  204:19  <b>updates</b> 58:22                  99:1 116:22                  185:15 186:12,15                  191:7 192:13                  194:2,18,19 195:3                  195:10 201:2,5,6                  201:6 202:8,13,20                  206:4 207:3,5,16                  209:1,10,21                  214:15,18 219:15                  222:20</p>
	<b>u</b>		
	<p><b>u.s.</b> 1:10 4:15 21:4                  63:3 169:20  <b>uas</b> 62:8 80:2  <b>uc</b> 158:14  <b>uh</b> 75:3  <b>ultimate</b> 91:5  <b>ultimately</b> 30:22                  65:7 81:18 101:3                  101:11 102:4                  107:21 108:10,20                  109:6 113:2,14,20                  114:2 118:20                  119:7 122:4                  123:11,15 131:3                  176:22 217:21                  234:17 244:9                  251:6  <b>unable</b> 115:21                  116:1 144:8                  235:15 256:5</p>		

<p><b>updating</b> 64:12 183:10 258:4 <b>upfront</b> 120:6 <b>uploaded</b> 204:19 <b>upset</b> 97:19 <b>upticks</b> 70:21 <b>urban</b> 62:2,6 <b>urge</b> 36:2 37:6 54:8 133:14 <b>urged</b> 29:5 <b>urges</b> 52:13 55:1 56:16 <b>urging</b> 134:1 <b>usdot</b> 159:1 <b>use</b> 5:13 26:1 61:10,19,19 62:1 65:16 77:3 82:2 82:22 83:14 85:10 116:5 129:9 131:17 132:3,19 133:19,19 134:3 136:22 137:7 140:22 142:21 144:19 145:7 170:15 171:7 212:15,18 213:2 226:5 231:8 246:7 251:18 253:3 <b>useful</b> 34:9 35:9 86:9,15 97:4 98:19 127:17 224:11 <b>user</b> 208:20 <b>users</b> 9:11 178:4 178:22 180:2 <b>usual</b> 54:20</p>	<p><b>validate</b> 202:10 <b>validation</b> 202:7 <b>valley</b> 198:11 <b>valuable</b> 104:13 233:11 <b>value</b> 143:6 174:19 201:18 202:15 215:17 224:12 <b>variability</b> 228:21 <b>variable</b> 113:5 228:14 229:12 230:1 236:7,13,15 236:18 237:12 240:2 255:9 <b>varied</b> 237:1,3,5 255:9 <b>varies</b> 215:4 <b>variety</b> 30:20 59:1 127:6 187:11 190:20 <b>various</b> 15:22 28:17 32:2 82:6 162:7,7 198:16 203:17 220:17 240:8 <b>vary</b> 134:4 206:20 <b>varying</b> 28:14 <b>veered</b> 182:6 <b>vehicle</b> 6:13 7:4 9:2,16,18,22 10:4 17:21 18:1,5 19:5 20:21 21:3,22 22:9 23:4,9,14,16 23:21 24:1 25:16 25:18,22 26:4 27:16,21,21,22 28:6,19 29:12,14 29:17,19 30:4,14 30:20 31:2,2,8 34:18 35:6,18,21 36:1,8 38:13,14 38:16,19 39:16,21 40:11,18 41:10,11</p>	<p>41:15,21,22 42:1 42:9,17,19,22 43:9 44:13,15 50:12 51:4,20 53:20 56:15,18 58:19 60:22 61:15 64:12 70:2 72:7 73:19,20 74:13 88:21 94:6 110:11 113:1,9,14 114:4 114:12,14 124:10 126:4,11,14 127:2 133:16 137:1 140:12 148:6 158:18 162:5 163:4,8 167:9 169:7 170:17,18 170:19,21 171:19 171:21,21 172:7,8 172:13,19 173:4,7 173:20,21 175:20 176:15,18,21 177:3,9,11 178:20 179:2,4 181:17 186:9,13 188:15 188:16 192:17,22 193:6,15,18 194:17 195:7,18 211:10 229:6,13 231:8 233:1 237:1 248:15 256:19 <b>vehicles</b> 1:3 4:10 4:16 5:11 6:14 7:9 7:18 8:18,20 9:6,8 9:11,12 10:11,21 11:2 13:9 14:10 18:4 19:20 21:7,9 21:20,22 22:7,21 24:4,8,21 25:21 27:19 28:4 29:7 29:22 30:6,7,11 30:18 31:4,5,9,14 32:5,7,22 33:5,20 36:15,16 37:12,16</p>	<p>38:17 40:10 41:7 41:8,9,13,15 43:4 44:9 46:16 47:8 47:18 48:18 50:4 50:18 53:12 54:21 58:9 61:5,13,18 62:8 66:1 70:18 72:21,21 73:2 75:14,22 76:4,11 77:17 88:5,8,19 93:22 94:12 95:5 97:3,5 112:17 138:9 139:2 147:6 147:9,16 159:4,11 159:15 160:2,16 161:13 162:2 164:15,21 165:16 165:16 166:7 170:15 172:15,17 172:22 174:2,3 176:5,20 177:6 178:4,5,6,15,16 180:19,20 186:22 187:18 194:1,5,10 195:1,5 196:1,15 202:8,11 213:21 221:11,13 227:9 228:15,22 230:2 231:12 233:13 234:3,5 235:15 236:1,4 237:13 240:2,17 246:5,9 246:20 251:21 253:10 256:19 <b>vehicle's</b> 9:3 72:5 <b>venable</b> 49:13 95:2 <b>venable's</b> 94:20 <b>venture</b> 198:11 <b>verified</b> 35:18 <b>verifying</b> 239:20 239:21 <b>verses</b> 115:11</p>
<b>v</b>			
<p><b>v</b> 3:11 <b>v2i</b> 159:5 <b>v2v</b> 159:4 <b>vacuum</b> 211:17 215:18 <b>valid</b> 156:16 163:4 169:22</p>			

<p><b>version</b> 35:19 109:14 <b>versions</b> 105:15 <b>versus</b> 85:10 110:4 209:13 210:14 <b>veto</b> 98:14 <b>vi</b> 3:13 <b>view</b> 7:14 12:5 69:5 77:2 81:16 108:18 144:12 182:17 188:13 <b>views</b> 63:9 83:10 <b>violate</b> 23:20 54:19 68:4 <b>violation</b> 132:14 133:11 <b>violations</b> 42:19 54:6 <b>violative</b> 119:11 150:9 <b>virginia</b> 1:12 259:18 <b>virtually</b> 16:3 172:18 173:8 <b>visibility</b> 55:17 <b>vision</b> 115:6,8 <b>visions</b> 111:18 <b>volkswagen</b> 117:20 <b>volume</b> 184:14,20 <b>voluntary</b> 28:15 28:18 33:22 37:19 45:6 67:8,16 68:14 70:6,7,10 71:6,9,10 73:8,9 75:11 77:4 79:2 82:14 90:19 134:1 145:17 146:21,22 196:19,20 <b>volunteers</b> 59:11 <b>vulnerable</b> 172:7 178:4,22</p>	<p><b>w</b> <b>walker</b> 2:19 95:11 <b>wallace</b> 2:7 32:15 32:17,18 <b>want</b> 12:13 14:22 46:13 58:5 59:9 77:14 78:1,9 86:18 89:12,17 90:17 93:4 96:19 97:1 99:13 107:15 115:21 117:11 119:14 121:7 124:21 128:6 142:22 150:2,3,4 160:1 163:17,18 165:11 170:2 171:20 175:11 176:13,14 184:8 184:10,12,12,14 194:10,11,14 198:1 204:22 208:10 220:3,7 223:14,21 233:4 244:10 249:6 258:7 <b>wanted</b> 4:12 16:11 105:4 128:2 129:15 150:20 156:18 159:8 178:2 207:8 234:12 245:18 250:11 <b>warn</b> 33:11 <b>warned</b> 195:15 <b>warning</b> 141:20 <b>washington</b> 96:9 <b>wasn't</b> 208:1 <b>wasted</b> 71:18 <b>wasting</b> 244:10 <b>watching</b> 16:3 135:14 147:5 <b>way</b> 5:6 12:8 24:9 27:19 29:18 47:13 48:4 57:20 61:12</p>	<p>69:8 72:3 82:16 96:21 97:18 101:4 105:15,20 106:1 108:12 111:20 112:7 113:18 114:16 116:2 117:11 121:18 135:7,11 136:17 148:10 151:17 153:1 155:3 162:6 164:3 166:3,10 167:9,22 173:10 177:1 178:10 180:8 188:2 194:22 195:19 199:10 205:8 223:1 224:8 229:13 231:2,21 235:3 236:4 240:6 244:9,16 246:10 251:14 255:8 256:4 <b>ways</b> 11:5 16:5 100:8 105:21 106:13 107:6 111:14 118:17 122:9,19 123:9 140:2 162:7 165:20 173:6 188:5 239:20 241:9 245:14 <b>wayside</b> 67:3 <b>weather</b> 4:5 <b>web</b> 89:5 <b>webcasted</b> 16:2 <b>website</b> 190:3 <b>week</b> 50:16 118:15 <b>weigh</b> 65:4 <b>welcome</b> 3:3 5:22 16:3 19:9 56:21 58:5,5 60:9 158:10,18 <b>went</b> 117:21 124:10 134:2</p>	<p>144:10 157:2 211:11 219:2 239:9 <b>weren't</b> 58:6,13 <b>west</b> 78:21 <b>western</b> 227:12 <b>we'd</b> 235:16 243:20,21 <b>we'll</b> 15:19 59:15 60:9 63:8 65:19 76:14 109:4 130:6 158:1 160:5 168:17 179:12 200:21 225:17 227:21 249:19 254:1 258:2,4 <b>we're</b> 4:3,14 5:5 8:3,11,13 12:1,10 13:6 16:7 19:4 45:14 47:6 49:4,7 53:22 57:21 58:3 58:4,11,16,17 59:4,14 60:12 61:7,8 62:9 67:13 67:15 70:4 72:19 74:5 75:8,22 80:11 81:14 82:19 82:20 85:18,19 86:11 87:9,10,15 89:18 98:20 103:14 107:14 109:6 110:1 111:12 116:13,17 116:18 117:7,7 121:15,16 124:2 124:13 125:1,10 127:10 130:13,22 132:1 135:19 136:6,11 142:1,6 143:18 144:15,17 145:9 147:7 151:18,19,20 152:14,19 153:12 156:17,20 157:3,7</p>
---	--	---	---

160:8 161:9,12,19 161:21 162:1,2,12 163:12 167:1 170:11 172:3,5 173:16,20 174:13 176:20 177:5,15 178:8 181:2,12 182:2 186:6 196:3 197:8,9 198:6,13 200:14 201:15 203:7 206:2,11,14 207:16 211:17,21 211:22 212:8 215:17,22 217:1 221:17 222:1 223:5 225:2 226:4 226:13 229:21 230:16 232:15,17 232:20,21 234:2,4 238:4,11 240:16 241:1 243:12 246:12,21 248:19 250:10 251:22 <b>we've</b> 5:10 8:4,5 22:14 45:21 46:20 47:11 53:7 66:20 70:5,20 72:10 73:7 79:4 85:4 96:16 102:19 105:18 106:11 115:15 117:10 118:11 130:21 135:6,7,8,14 141:17 147:4 150:22 151:1,3 154:16,18 157:6 166:4 171:1,11 178:3 182:11 183:8 191:13 200:7 203:15 204:10 206:13 221:4,4 225:21 231:17 235:18 238:2 240:19	242:12 251:18 253:12 <b>whatsoever</b> 79:1,9 <b>what's</b> 46:16 81:14 86:10 91:15 116:13,13 121:15 183:14 184:3 201:11 204:5 213:20 221:19 247:11 248:3,12 251:6 <b>wheel</b> 7:1 <b>wherewithal</b> 130:18 <b>white</b> 55:13 <b>whoop</b> 64:2 <b>who's</b> 66:12 118:12 158:2 177:8 227:5 230:13 <b>who've</b> 84:19 <b>wide</b> 8:10 27:20 126:14 138:8 190:20 <b>wider</b> 146:10 <b>wild</b> 78:21 <b>wiliams</b> 224:5 <b>william</b> 2:7 32:15 32:17 <b>williams</b> 2:2 4:2,8 12:12 18:22 27:9 27:9 32:15 38:1,6 45:9 49:11 52:22 57:1,9,10,11 58:3 60:6 93:3,16 124:12,19,21 156:20 157:2 217:4 224:8 253:20 254:3,6 257:16 258:13 <b>willing</b> 15:6 250:22 <b>williams</b> 223:5	<b>wilson</b> 1:11 <b>wireless</b> 59:18 <b>wisdom</b> 218:3 <b>wisely</b> 129:9 <b>wish</b> 52:12 64:19 224:3 <b>women's</b> 15:18 <b>wonder</b> 191:5 <b>won't</b> 179:13,13 183:15 <b>wood</b> 2:7 185:16 186:8,9 189:14 190:9 191:5 195:12 197:22 202:2 205:20 207:7 208:18 212:3 222:7 <b>word</b> 7:20 143:9 203:6 <b>worded</b> 31:11 <b>words</b> 186:3 189:19 245:18 <b>work</b> 5:15,16 16:5 25:7 28:9 33:1 37:7,21 39:1,21 44:8 45:1 47:3,4 52:18 58:15 70:10 75:11 97:2 105:4 110:7 121:13 126:12 128:4 138:12 143:15 151:13 152:13 170:5 175:3 183:9 187:21 190:6 194:22 201:22 210:16 221:22 234:15 243:9 <b>workable</b> 70:9 234:15 <b>worked</b> 66:15 73:1 95:3 102:19 109:19 126:14 174:17	<b>working</b> 6:11 12:7 28:12 38:16 39:16 41:10 52:11,20 53:13 60:5,8 61:10 96:21 126:11 141:19 143:7,14 159:3 190:1 <b>workload</b> 99:18 <b>works</b> 100:20 110:6 227:7 233:14,16 <b>workshop</b> 8:8 198:5 199:15 <b>workshops</b> 8:6 52:20 199:13 <b>world</b> 7:6 31:1 32:1 66:19 99:3 151:15 153:6 198:13 200:3,4 202:1 210:10 211:14,20 217:16 218:10 219:4,5 235:15,17 247:8 <b>worlds</b> 191:4 198:9 <b>world's</b> 7:10 <b>worried</b> 110:22 233:2 <b>worries</b> 233:9 <b>worry</b> 33:9 115:12 239:19 <b>worrying</b> 154:22 <b>worse</b> 4:6 6:6 <b>worst</b> 92:3 217:20 <b>worth</b> 76:5 115:18 159:14 183:1,17 <b>worthwhile</b> 197:16 <b>worthy</b> 122:7 <b>wouldn't</b> 133:3 138:18 188:10 <b>wound</b> 214:2
---	---	---	--

<p><b>wriggle</b> 183:14  <b>write</b> 59:11 120:9  190:18  <b>writing</b> 5:10 188:3  227:17  <b>written</b> 19:11,22  24:17 161:1,3,5  175:7 190:15  211:16  <b>wrong</b> 49:2 104:5  121:7 211:11  221:1 250:20  <b>wrote</b> 113:8</p>	<p>187:9 189:11  198:16 213:8,10  213:17 215:5,8,13  239:12 241:5  247:2 249:18  <b>yep</b> 125:4  <b>yesterday</b> 195:19  <b>yield</b> 54:21  <b>yoon</b> 2:3 157:11  157:13 162:16  164:7 165:11  167:6 168:3 170:1  171:13 173:13  175:1 176:13  178:13 182:5  184:9 185:3,10  <b>young</b> 222:15  <b>you'd</b> 103:2 139:5  148:19 188:11  <b>you'll</b> 14:12 60:18  125:3 186:4 254:3  <b>you're</b> 5:22 16:15  57:11 66:3 76:9  93:17 101:3 105:7  110:10,22 113:3  125:7 131:3 137:5  137:11 141:9  150:1,6 152:10,13  156:4,5 163:19  171:17 179:7  183:20 184:5,15  186:3 189:2  193:14,14 201:4,5  206:5,6,6,12  212:14 218:16  221:11,13,14  231:5 250:13  <b>you've</b> 14:15  83:12 92:22  117:12 129:10  163:7 175:17  190:15 203:5  212:19,20 213:15  235:17 244:1</p>	<p><b>yvonne</b> 2:15</p>
<b>x</b>		
<b>x</b> 109:10 245:21		
<b>y</b>		
<p><b>yeah</b> 57:10 64:1  77:6 79:12 83:20  89:9 93:16 100:22  101:1 109:19  114:19 117:5,21  142:15 145:8  149:10 164:7,8  165:11 168:3  177:20 252:16  254:19 256:8  <b>year</b> 4:17 6:8  14:18 67:18 99:1  102:7 141:3  159:11,11 165:1  215:20 218:18,18  243:6 258:18  <b>years</b> 5:9 8:15  52:21 53:8 70:20  73:1,22,22 74:2  76:16,17 77:18  95:8 107:17  132:18,20 134:6  135:2 144:4  147:10 148:14  156:4 159:5  161:17,17,18  169:16 175:15</p>		